

**BEST AVAILABLE COPY**

**This Page Blank (uspto)**

## Page 1

(1) UNITED STATES INTERNATIONAL TRADE COMMISSION  
(2) WASHINGTON, D.C. 20436

(3)  
(4) BEFORE THE HONORABLE DEBRA MORRIS  
(5) ADMINISTRATIVE LAW JUDGE  
(6) INV. NO. 337-TA-412

(7) IN THE MATTER OF  
(8) CERTAIN VIDEO GRAPHICS DISPLAY  
(9) CONTROLLERS AND PRODUCTS  
(10) CONTAINING SAME

(11)  
(12)  
(13)  
(14)  
(15) VIDEOTAPED  
(16) ORAL DEPOSITION  
(17) OF  
(18) ROBERT M. NALLY  
(19) VOLUME I  
(20) November 2, 1998  
(21)  
(22)  
(23)  
(24)  
(25)

## Page 4

(1) INDEX  
(2) WITNESS: ROBERT M. NALLY  
(3) Examination by Mr. Cordell Page 5  
(4)

## EXHIBITS

(5)  
(6) Deposition Exhibit 1 Page 6  
(7) Deposition Exhibit 2 Page 7  
(8) Deposition Exhibit 3 Page 94  
(9) Deposition Exhibit 4 Page 114  
(10) Deposition Exhibit 5 Page 142  
(11) Deposition Exhibit 6 Page 145  
(12) Deposition Exhibit 7 Page 148  
(13) Deposition Exhibit 8 Page 153  
(14) Deposition Exhibit 9 Page 159  
(15) Deposition Exhibit 10 Page 161  
(16) Deposition Exhibit 11 Page 165  
(17) Deposition Exhibit 12 Page 169  
(18) Deposition Exhibit 13 Page 173  
(19) Deposition Exhibit 14 Page 176  
(20) Deposition Exhibit 15 Page 181  
(21) Deposition Exhibit 16 Page 190  
(22)  
(23)  
(24)  
(25)

## Page 2

(1) ANSWERS AND DEPOSITION OF ROBERT  
(2) M. NALLY, produced as a witness at the  
(3) instance of the Respondent, ATI  
(4) Technologies, Inc. taken in the above-styled  
(5) and numbered cause on the 2nd day of  
(6) November, 1998 at 9:00 a.m. before Christina  
(7) Cheatham, a Certified Shorthand Reporter in  
(8) and for the State of Texas, at the Hyatt  
(9) Regency Hotel West, located at Dallas-Fort  
(10) Worth International Airport, Room 645 in the  
(11) County of Dallas, State of Texas, in  
(12) accordance with the Federal Rules of Civil  
(13) Procedure.  
(14)  
(15)  
(16)  
(17)  
(18)  
(19)  
(20)  
(21)  
(22)  
(23)  
(24)  
(25)

## Page 5

(1)  
(2)  
(3)  
(4)  
(5)  
(6)  
(7)  
(8)  
(9)  
(10)  
(11)  
(12)  
(13)  
(14)  
(15)  
(16)  
(17) Deposition Exhibit 17 Page 210  
(18) Deposition Exhibit 18 Page 245  
(19) Deposition Exhibit 19 Page 263  
(20) Deposition Exhibit 20 Page 291  
(21) Deposition Exhibit 21 Page 292  
(22)  
(23)  
(24)  
(25)

## Page 3

## APPEARANCES

(1) MR. RUSSELL B. HILL  
(2) MR. SETH E. BROWN  
(3) Morrison & Foerster, L.L.P.  
(4) 425 Market Street  
(5) San Francisco, California 94105-2482  
(6)  
(7)  
(8)

## APPEARING FOR THE PETITIONER

(9) MR. RUFFIN B. CORDELL  
(10) MS. LINDA LIU KORDZIEL  
(11) Fish & Richardson, P.C.  
(12) 601 Thirteenth Street N.W.  
(13) Washington, D.C. 20005  
(14)

## APPEARING FOR THE RESPONDENT

(15) ALSO PRESENT: Dick Roach, Videographer  
(16)  
(17)  
(18)  
(19)  
(20)  
(21)  
(22)  
(23)  
(24)  
(25)

## Page 6

## PROCEEDINGS

(1) VIDEOGRAPHER: We're on the  
(2) video record at 9:05. The date is 11-2-98.  
(3) This is the videotaped deposition of Robert  
(4) Nally taken by the Respondent at the Hyatt  
(5) in Dallas-Fort Worth Airport. Will the  
(6) court reporter please swear in the witness.  
(7)

## ROBERT M. NALLY,

(8) the witness hereinbefore named, being of  
(9) lawful age and being first duly cautioned  
(10) and sworn in the above cause, testified on  
(11) his oath as follows:  
(12)

## EXAMINATION

## BY MR. CORDELL:

(13) Q. Good morning, Mr. Nally, my name  
(14) is Ruffin Cordell. I'm with the law firm of  
(15) Fish & Richardson. I'm here on behalf of  
(16) ATI Technologies, the Respondent in this  
(17) case. I'm wondering if the reporter would  
(18) like counsel to identify themselves for the  
(19) record.  
(20)

(21) VIDEOGRAPHER: If you want to.  
(22) MR. CORDELL: Linda, why don't  
(23) you --

(24) MS. KORDZIEL: I'm Linda Liu  
(25)

## Page 7

(1) Kordziel. I'm with the firm of Fish &  
 (2) Richardson, and we represent ATI.  
 (3) MR. HILL: Russ Hill from  
 (4) Morrison & Foerster representing Cirrus, the  
 (5) complainant in this action.  
 (6) MR. BROWN: Ed Seth Brown from  
 (7) Morrison & Foerster representing Cirrus.  
 (8) Q. (By Mr. Cordell) Mr. Nally, can  
 (9) you give us your full name and address for  
 (10) the record?  
 (11) A. Robert Marshall Nally, 203 West  
 (12) Graham, McKinney, Texas, 75069.  
 (13) Q. Are you currently employed?  
 (14) A. Yes. I am.  
 (15) Q. By whom?  
 (16) A. Texas Instruments.  
 (17) MR. CORDELL: Let me have the  
 (18) reporter mark as Nally 1 the notice of  
 (19) deposition for this morning's proceeding.  
 (20) (Deposition Exhibit 1  
 (21) was marked.)  
 (22) Q. (By Mr. Cordell) Mr. Nally, are  
 (23) you the Robert M. Nally identified on the  
 (24) face of this exhibit?  
 (25) A. Yes.

## Page 8

(1) Q. And you are appearing today in  
 (2) response to this notice; is that correct?  
 (3) A. Yes.  
 (4) Q. Now, you are a paid consultant  
 (5) to Cirrus in this case, are you not?  
 (6) A. Yes.  
 (7) MR. CORDELL: Let me have the  
 (8) reporter mark as Nally 2 a two-page document  
 (9) bearing Bates numbers CL49364 and 65.  
 (10) (Deposition Exhibit 2  
 (11) was marked.)  
 (12) Q. (By Mr. Cordell) Mr. Nally, can  
 (13) you identify what we've marked as Nally 2?  
 (14) A. Yes, a contract I signed with  
 (15) Morrison & Foerster to consult.  
 (16) Q. Do you recall the first occasion  
 (17) upon which anyone contacted you about  
 (18) consulting with Cirrus in this case?  
 (19) A. About a month ago, maybe. They  
 (20) called me -- I can't give you the exact  
 (21) month, but I was called and contacted  
 (22) earlier this year.  
 (23) Q. Certainly it was prior to  
 (24) June -- July 14, 1998, correct?  
 (25) A. Okay.

## Page 9

(1) Q. That would be the date of  
 (2) Nally 2; is that right?  
 (3) A. Is it on here? Okay. Yes.  
 (4) Q. And do you recall who contacted  
 (5) you on behalf of Cirrus?  
 (6) A. Mr. Hill.  
 (7) Q. So it was actually lawyers from  
 (8) Morrison & Foerster rather than someone  
 (9) within Cirrus?  
 (10) A. Yes.  
 (11) Q. Have you communicated with  
 (12) anyone within Cirrus about the subject  
 (13) matter of this case?  
 (14) MR. HILL: Objection to the  
 (15) extent it would call for attorney/client  
 (16) privileged information and I caution you not  
 (17) to reveal any communications with the lawyer  
 (18) for Cirrus either from my firm or in-house,  
 (19) but you can answer the question.  
 (20) THE WITNESS: I have not talked  
 (21) to anybody but people I know that worked  
 (22) there. In other words, not anything related  
 (23) to this, just friends.  
 (24) Q. (By Mr. Cordell) So you've had  
 (25) casual conversations with current employers

## Page 10

(1) of Cirrus, but nothing about this case?  
 (2) A. Right.  
 (3) Q. You are being paid for your time  
 (4) here today, are you not?  
 (5) A. Yes.  
 (6) Q. And that rate is \$120 an hour?  
 (7) A. Yes.  
 (8) Q. Was that your idea or was that  
 (9) somebody else's idea?  
 (10) A. I assumed it was a part of the  
 (11) contract.  
 (12) Q. Well, I guess my question,  
 (13) Mr. Nally, is: Did you ask to be paid for  
 (14) your time?  
 (15) A. Yes.  
 (16) Q. You did?  
 (17) A. Yes.  
 (18) Q. And it wasn't that Cirrus or  
 (19) someone on behalf of Cirrus approached you  
 (20) and said if you consult for us we will pay  
 (21) you for your time?  
 (22) A. No, I asked for the money.  
 (23) Q. Do you consult regularly?  
 (24) A. This is my first time.  
 (25) Q. You hold a BS in physics?

## Page 11

(1) A. Yes.  
 (2) Q. From what university?  
 (3) A. University of Houston.  
 (4) Q. What year was that?  
 (5) A. I think it was '81.  
 (6) Q. Do you hold any advanced  
 (7) degrees?  
 (8) A. No.  
 (9) Q. What was your first job out of  
 (10) the University of Houston?  
 (11) A. Geosource of Houston.  
 (12) Q. Are they an oil field service  
 (13) company?  
 (14) A. Yes.  
 (15) Q. What did you do after Geosource?  
 (16) A. Went to an oil company called  
 (17) Logic Sciences.  
 (18) Q. Where was that?  
 (19) A. In Houston.  
 (20) Q. What did they make?  
 (21) A. They were in the oil, seismic  
 (22) industry as well.  
 (23) Q. What did you do after Logic?  
 (24) A. Compaq.  
 (25) Q. What did you do for Compaq?

## Page 12

(1) A. Graphics controllers.  
 (2) Q. How long were you at Compaq?  
 (3) A. Five years.  
 (4) Q. Do you recall which years?  
 (5) A. I think it was about '84 to '91.  
 (6) Q. What did you do after Compaq?  
 (7) A. Cirrus Logic.  
 (8) Q. How long were you employed by  
 (9) Cirrus?  
 (10) A. Five years.  
 (11) Q. In what capacities?  
 (12) A. As an architect.  
 (13) Q. When did you leave Cirrus?  
 (14) A. Three years ago. What was that,  
 (15) about '95?  
 (16) Q. What did you do when you left  
 (17) Cirrus?  
 (18) A. I went to TI.  
 (19) Q. And that's where you are today?  
 (20) A. Yes.  
 (21) Q. Let's go back over some of your  
 (22) employment activity.  
 (23) Well, first of all, you have  
 (24) been deposed before, correct?  
 (25) A. Yes.

## Page 13

- (1) Q. How many times?  
 (2) A. Once.  
 (3) Q. And that would be in the  
 (4) Brooktree S-3 litigation?  
 (5) A. Yes.  
 (6) Q. Do you recall which party  
 (7) noticed your deposition in that case?  
 (8) A. S-3 is the one who deposed me or  
 (9) called me.  
 (10) Q. Although you have been deposed  
 (11) before, let me just say that if at any time  
 (12) one of my questions is unclear or if you  
 (13) feel like you need a break or something  
 (14) please let us know. We are just here to get  
 (15) some information.  
 (16) We certainly don't want to make  
 (17) this any more difficult than it has to be.  
 (18) Although at times the lawyers will try to  
 (19) make it as difficult as it has to be, that's  
 (20) kind of our job, but we'll try to leave you  
 (21) out of that, if we can.  
 (22) Do you recall the projects you  
 (23) worked on at Compaq?  
 (24) A. Yes.  
 (25) Q. Can you describe the first one?

## Page 14

- (1) A. The first one was EGA  
 (2) controller, which is the generation before  
 (3) VGA.  
 (4) Q. Back in the ancient hunting  
 (5) days?  
 (6) A. Yes, this is back before  
 (7) Windows. This is when it was a DOS world.  
 (8) Q. Back when the computers made  
 (9) sense?  
 (10) A. I guess.  
 (11) Q. Okay. What did you do on the  
 (12) EGA controller?  
 (13) A. I actually was the system level  
 (14) architect. I designed the board. I  
 (15) designed how it fit into the system.  
 (16) Q. What did you do after the EGA  
 (17) controller?  
 (18) A. VGA.  
 (19) Q. Was that the first VGA  
 (20) controller that Compaq had done?  
 (21) A. Yes.  
 (22) Q. Did you head a team for that?  
 (23) A. I was part of the team.  
 (24) Q. What portion of the VGA  
 (25) controller did you have responsibility?

## Page 15

- (1) A. It was, once again, the same  
 (2) thing, system level integration.  
 (3) Q. What did you do after the VGA  
 (4) controller?  
 (5) A. I put forth a proposal and did  
 (6) what they called AGS 1024 which was a high  
 (7) advance board, and that was my first patent.  
 (8) Q. Okay. The AGS 1024, was that a  
 (9) graphics-only board?  
 (10) A. Yes.  
 (11) Q. Was the AGS 1024 intended to  
 (12) address any particular market segment?  
 (13) A. Yes, the CAD CAM market and the  
 (14) new emerging Windows market.  
 (15) Q. Was the AGS 1024 ever sold as  
 (16) commercial product?  
 (17) A. Yes, it was.  
 (18) Q. And what was that called?  
 (19) A. The AGS 1024.  
 (20) Q. Catchy name.  
 (21) Now, you mentioned that was your  
 (22) first patent. Do you recall the patent  
 (23) number?  
 (24) A. No, I don't.  
 (25) Q. Do you recall the title?

## Page 16

- (1) A. It was long. I don't.  
 (2) Q. And it was assigned to Compaq?  
 (3) A. Yes.  
 (4) Q. You are listed as an inventor on  
 (5) quite a number of patents; isn't that right?  
 (6) A. Yes.  
 (7) Q. Do you happen to know how many?  
 (8) A. In excess of 12. I do not know  
 (9) the exact number.  
 (10) Q. You are pretty familiar with the  
 (11) patent process?  
 (12) A. Yes.  
 (13) Q. Tell me a little bit about the  
 (14) AGS 1024, and I don't want to get into too  
 (15) much of the minute detail because I don't  
 (16) want to get into too much of Compaq's  
 (17) confidential information, but certainly  
 (18) some - there is some level of detail that  
 (19) Compaq publishes about the AGS 1024?  
 (20) A. Yes.  
 (21) Q. So maybe you could just focus on  
 (22) that for a moment if you can. Do you recall  
 (23) whether or not the AGS 1024 had its own  
 (24) display memory?  
 (25) A. Yes.

## Page 17

- (1) Q. And was that a megabyte or  
 (2) above?  
 (3) MR. HILL: Counsel, I just want  
 (4) to have the record reflect that we want to  
 (5) designate this transcript as confidential.  
 (6) To the extent that it is, of course, we'll  
 (7) dedesignate public portions.  
 (8) THE WITNESS: It had a one meg  
 (9) frame buffer.  
 (10) Q. (By Mr. Cordell) Are you  
 (11) familiar with the term frontend processing?  
 (12) A. Yes.  
 (13) Q. Did the AGS 1024 utilize  
 (14) frontend processing?  
 (15) A. It was a frontend processor.  
 (16) Q. Did it have any backend  
 (17) processing?  
 (18) A. No.  
 (19) Q. So the data contained in the  
 (20) display memory was fed directly to the DAC  
 (21) and then out to a monitor?  
 (22) A. Right.  
 (23) Q. Did the 1024 have any video  
 (24) capability?  
 (25) A. No.

## Page 18

- (1) Q. Well, certainly there were video  
 (2) boards that could work in conjunction with  
 (3) the 1024, correct?  
 (4) A. No, we didn't have any hooks in  
 (5) it for anybody to hook anything like that  
 (6) in.  
 (7) Q. Are you aware of any application  
 (8) in which the host processor would color  
 (9) space convert video into RGB data and then  
 (10) store it in the frame buffer of the 1024?  
 (11) A. Yeah, that could be done.  
 (12) Q. But are you aware of any  
 (13) application where it was done?  
 (14) A. No.  
 (15) Q. How long did you work on the  
 (16) 1024?  
 (17) A. There was two generations. The  
 (18) first generation we worked on it for a  
 (19) year. The second generation they killed the  
 (20) program after about six months.  
 (21) Q. Do you recall sort of the  
 (22) relative time frame of those two projects?  
 (23) A. Yeah, it was about - they shut  
 (24) it down about a year before I left, which  
 (25) made it - it ran from about '88 through the

## Page 19

(1) middle of '89, maybe '90.  
 (2) Q. What did you do after the 1024?  
 (3) A. That's what I moved into video.  
 (4) They put me in the -- they gave me a team to  
 (5) go off and research multimedia.  
 (6) Q. How many people were on the  
 (7) team?  
 (8) A. Two -- three counting myself.  
 (9) Q. Who were the other two people?  
 (10) A. Gwen Gowdy and Mueller -- what  
 (11) was his first name? Curt Mueller, Curtis  
 (12) Mueller.  
 (13) Q. Can you describe the nature of  
 (14) the project?  
 (15) A. We really was looking at the  
 (16) Intel 750, which was a multimedia processor  
 (17) at the time and trying to understand how  
 (18) they was handling compressed and  
 (19) decompressing video data.  
 (20) Q. Do you recall which incarnation  
 (21) of the 750 chip you were focusing on?  
 (22) A. It was the first one. It was  
 (23) when they had two chips. It was a system --  
 (24) it was very early in their design. It was a  
 (25) two-chip system and I can't remember.

## Page 20

(1) Q. 750 DB or --  
 (2) A. Something like that. I can't  
 (3) remember.  
 (4) Q. You should come up with  
 (5) trademarks for these things, you know,  
 (6) Mustang or something.  
 (7) A. Yeah.  
 (8) Q. Did your work -- well, perhaps  
 (9) could it have been the 750 PD and PB?  
 (10) A. I can't answer that.  
 (11) Q. Did your work on multimedia for  
 (12) Compaq result in any kind of a report?  
 (13) A. No. More than anything else we  
 (14) put together a Comdex demo.  
 (15) Q. And what was the Comdex demo?  
 (16) A. It was an interactive  
 (17) multimedia.  
 (18) Q. And which show?  
 (19) A. Was it '90 or '91?  
 (20) Q. Fall or spring.  
 (21) A. Oh, it was the fall.  
 (22) Q. Fall of '91?  
 (23) A. I think it was the last year  
 (24) that Compaq went to Comdex.  
 (25) Q. They don't go to Comdex anymore?

## Page 21

(1) A. No, not that I know of.  
 (2) Q. Splitters.  
 (3) Well, can you describe the  
 (4) demo? Was it on a PC?  
 (5) A. Yes.  
 (6) Q. And did you demonstrate the  
 (7) capability of displaying both graphics and  
 (8) video?  
 (9) A. Yes.  
 (10) Q. What part did you use to  
 (11) accomplish your demo?  
 (12) A. I don't understand the question.  
 (13) Q. Well, was there a particular  
 (14) video accelerator or graphics accelerator  
 (15) that you used to put the demo together?  
 (16) A. No, the demo was strictly on the  
 (17) Intel product.  
 (18) Q. In the demo did the Intel  
 (19) product utilize a frame buffer of any kind?  
 (20) A. Yes.  
 (21) Q. And did it have a separate frame  
 (22) buffer for video and graphics?  
 (23) A. They treated them both just the  
 (24) same. It was compressed data.  
 (25) Q. Let's kind of walk through that,

## Page 22

(1) then. You say it treated them both the  
 (2) same. Did it store the compressed data in  
 (3) its native format?  
 (4) A. I don't think that -- it was  
 (5) stored in the system memory in some format  
 (6) that they called RTV and they decompressed  
 (7) RTV to RGB and it was stored in a frame  
 (8) buffer as RGB.  
 (9) Q. Well, what about the video  
 (10) signal? Was that also --  
 (11) A. That's what I'm talking about.  
 (12) There was no video signal. Video was  
 (13) precompressed. In other words, it was  
 (14) compressed data just like MPEG data and when  
 (15) its played back, it played back, you know,  
 (16) nonreal time.  
 (17) Q. Well, let's just try to get our  
 (18) definitions down. You know in this case  
 (19) there is an issue relating to something  
 (20) called video data; are you aware of that?  
 (21) A. Yes.  
 (22) Q. Can you tell us what your  
 (23) understanding of video data is?  
 (24) A. There is two kinds of video  
 (25) data, real time video data which comes from

## Page 23

(1) an external source, which cannot be  
 (2) interrupted. In other words, if you --  
 (3) something happens that you can't process all  
 (4) of the data you lose it. The other is  
 (5) playback data. Playback data is  
 (6) decompressed data. If you get behind you  
 (7) drop a frame, you know, you can recover.  
 (8) That's the difference between the two.  
 (9) Q. So an example of real time data  
 (10) would be a feed from a video camera, for  
 (11) example?  
 (12) A. Yes.  
 (13) Q. Would it also include things  
 (14) like stringed video over the Internet?  
 (15) A. If it's not compressed, and I  
 (16) don't think you can send video over the  
 (17) Internet noncompressed.  
 (18) Q. Certainly would take a long  
 (19) time?  
 (20) A. Right.  
 (21) Q. With respect to the Comdex  
 (22) demonstration you did for Compaq do you  
 (23) recall whether or not that system could play  
 (24) real time video data?  
 (25) A. No, it could not.

## Page 24

(1) Q. Do you recall whether or not  
 (2) that system could play playback video data?  
 (3) A. That's what it played.  
 (4) Q. Now, in setting forth your  
 (5) understanding of the term video data I note  
 (6) that you didn't make reference to any  
 (7) particular format for the data. Is the  
 (8) format of the data relevant in terms of its  
 (9) color space, for example?  
 (10) A. It depends on how you process  
 (11) it.  
 (12) Q. Okay. I'm just kind of trying  
 (13) to establish some definitions. And it is  
 (14) certainly possible that someone would look  
 (15) at a piece of YUV format data and identify  
 (16) it as video, would you?  
 (17) A. Yes, they would -- most people  
 (18) do associate YUV with video.  
 (19) Q. Is it your testimony that  
 (20) playback data that has been color space  
 (21) converted into RGB data by the host  
 (22) processor remains video data in the display  
 (23) system?  
 (24) A. In my opinion, yes.  
 (25) Q. How do you differentiate video

## Page 25

(1) data from graphics data?  
 (2) A. Graphics data is constructed  
 (3) from models. Video data is derived from an  
 (4) image or a picture, even if it's been  
 (5) compressed before. Once it's decompressed,  
 (6) it's video data.  
 (7) Q. So you really differentiate  
 (8) between video and graphics based on the  
 (9) source of the data?  
 (10) A. Yes.  
 (11) Q. In the case of the I-750  
 (12) demonstration you did for Compaq was it able  
 (13) to display video data?  
 (14) A. Yes.  
 (15) Q. What kind?  
 (16) A. Playback.  
 (17) Q. Playback only?  
 (18) A. We actually had to send the data  
 (19) off to Intel to get it compressed.  
 (20) Q. So the I-750 did not have a  
 (21) capability to prepare its own data?  
 (22) A. That's right.  
 (23) Q. Do you recall where the data set  
 (24) for the demonstration was stored long term?  
 (25) MR. HILL: Objection.

## Page 26

(1) Q. (By Mr. Cordell) In other  
 (2) words, was there a hard disk? Did you have  
 (3) a tape?  
 (4) A. It was on hard disk.  
 (5) Q. And this was some kind of a  
 (6) Compaq PC?  
 (7) A. Yes.  
 (8) Q. Was there a routine used to run  
 (9) the demonstration?  
 (10) A. Could you be more --  
 (11) Q. Well, I'm hoping this is still  
 (12) in the DOS time frame. Did you type in a  
 (13) command that started the demonstration?  
 (14) A. Yes.  
 (15) Q. And do you recall the routine  
 (16) that responded to that command?  
 (17) A. No.  
 (18) Q. Certainly there was some kind of  
 (19) software that ran the demo?  
 (20) A. It was the software that Intel  
 (21) provided with their chip.  
 (22) Q. Did the I-750 demonstration at  
 (23) Comdex display graphics data?  
 (24) A. Yes.  
 (25) Q. Did it display graphics data at

## Page 27

(1) the same time it was displaying video data?  
 (2) A. Yes.  
 (3) Q. Did the frame buffer for the  
 (4) I-750 demonstration at Comdex hold both  
 (5) graphics data and video data at the same  
 (6) time?  
 (7) A. Yes.  
 (8) Q. Can you tell me a little bit  
 (9) about the way the frame buffer for the I-750  
 (10) at the demonstration was organized?  
 (11) MR. HILL: Object to form.  
 (12) THE WITNESS: All we knew it by  
 (13) was RTV and that was the compressed form.  
 (14) It was an RGB space. In other words, the  
 (15) video was RGB data as far as we knew and  
 (16) once it was decompressed and put in a frame  
 (17) buffer it was just like any other Window, in  
 (18) my opinion.  
 (19) Q. (By Mr. Cordell) Was this a  
 (20) Windows system or was it DOS?  
 (21) A. Yes, it was. It was -- you can  
 (22) call it a Windows system. It wasn't Windows  
 (23) as we know it today. It was in the early  
 (24) ages or the early development stages of a  
 (25) Windows system. In other words, it was

## Page 28

(1) multithreaded, is the best way to describe  
 (2) it.  
 (3) Q. Multithreaded. Do you recall  
 (4) the year? I think you told us, but I have  
 (5) just forgotten. Was it '91?  
 (6) A. It had to be before '91, '89 or  
 (7) '90, somewhere in there.  
 (8) Q. In the display demonstration at  
 (9) the Comdex show did the system have a  
 (10) primary display surface?  
 (11) A. It only had one display surface  
 (12) and that would be primary.  
 (13) Q. I think you mentioned that there  
 (14) were multiple Windows; is that true?  
 (15) A. Yes.  
 (16) Q. Would you characterize any of  
 (17) the Windows as a secondary display surface?  
 (18) A. I don't think you could. I'm  
 (19) not that familiar with their architecture to  
 (20) be able to do that.  
 (21) Q. Well, I'm just trying to get an  
 (22) understanding of the way the frame buffer  
 (23) relates to the display. Do you recall how  
 (24) large the display was in terms of its memory  
 (25) requirements for the Intel?

## Page 29

(1) A. No.  
 (2) Q. Do you recall whether or not the  
 (3) frame buffer provided for the Comdex  
 (4) demonstration system exceeded the capacity  
 (5) required to produce a display?  
 (6) A. Yes, they did because they  
 (7) stored all of their code. Remember that was  
 (8) a processor. They had theirs stored and  
 (9) everything. Really you could say that  
 (10) everything was stored there. They -- that  
 (11) was a processor and it had its code and  
 (12) everything stored in the same memory.  
 (13) Q. So given that the I-750 was in  
 (14) effect a microprocessor it had to have  
 (15) software instructions in order to operate  
 (16) and those software instructions were also  
 (17) stored in the frame buffer?  
 (18) A. Right.  
 (19) Q. Do you recall whether or not  
 (20) additional display information was stored in  
 (21) the frame buffer but was not being displayed  
 (22) on the screen itself?  
 (23) A. You could put fonts and stuff  
 (24) like that off screen.  
 (25) Q. Did this frame buffer have a

## Page 30

(1) structure that you would call on-screen  
 (2) memory?  
 (3) A. Yes.  
 (4) Q. Can you describe that on-screen  
 (5) memory?  
 (6) A. On-screen memory was what was  
 (7) actually a portion of the memory that was  
 (8) rastered out to the display device.  
 (9) Q. Was there a register or some  
 (10) other physical delineation between on-screen  
 (11) and off-screen memory?  
 (12) A. I don't recall. I don't think  
 (13) there -- I mean, it was just before you set  
 (14) the pointers, and I can't remember.  
 (15) Q. So in the I-750 you had a  
 (16) pointer that indicated the beginning of the  
 (17) on-screen memory and a either a counter or  
 (18) another pointer that indicated the end of  
 (19) the on-screen memory?  
 (20) A. Right.  
 (21) Q. In fact, that's a pretty  
 (22) standard practice, isn't it?  
 (23) A. Yes.  
 (24) Q. Did the I-750 have the ability  
 (25) to pan around a display data set?

## Page 31

(1) A. I can't recall. I don't think  
 (2) so.  
 (3) Q. Well, I won't demand a minute  
 (4) level of detail, but can you describe for us  
 (5) what was being shown on the demo?  
 (6) A. Yeah, what we did is we put a  
 (7) graph up showing the TI - I mean the Compaq  
 (8) growth curve and we put little buttons on  
 (9) there. It was what you call a kiosk system,  
 (10) and the little buttons if they clicked the  
 (11) button, a video window popped up and John  
 (12) Cletus - if you remember the John Cletus  
 (13) commercials, John Cletus came up and did one  
 (14) of his commercials for that time period.  
 (15) Q. Those marketing guys, I tell  
 (16) you.  
 (17) A. Yeah.  
 (18) Q. Well, would you characterize the  
 (19) commercials as a video window?  
 (20) A. Yes.  
 (21) Q. Do you recall whether or not -  
 (22) well, let me strike that.  
 (23) Do you recall how that was  
 (24) accomplished?  
 (25) A. It was - they had a frontend

## Page 32

(1) processor that decompressed the data and put  
 (2) it in the window and what it amounts to is  
 (3) that they had - the frontend processor was  
 (4) a processor. It had the compressed data,  
 (5) the code for decompressing the data and  
 (6) everything off screen. It would take the  
 (7) compressed data and decompress it and put it  
 (8) in the video window.  
 (9) Q. When you say put it in the video  
 (10) window, did it place it within the fields  
 (11) that we previously defined as on-screen  
 (12) memory?  
 (13) A. Yeah, it's a double buffered  
 (14) type thing. We had to keep two images. And  
 (15) every time you construct the image you had  
 (16) to flip the buffers.  
 (17) Q. Did you use a BLT operation to  
 (18) do that?  
 (19) A. I wouldn't call it so much as a  
 (20) BLT operation as I would a decompress  
 (21) operation.  
 (22) Q. Well, you just described a dual  
 (23) buffering, and I guess my question is really  
 (24) whether or not you were creating two  
 (25) complete active display buffer sections or

## Page 33

(1) were you simply constructing the video  
 (2) portion and swapping it with what had  
 (3) previously been graphics?  
 (4) A. If I remember right we actually  
 (5) had two full frames and every frame we  
 (6) flipped them and they were identical, all of  
 (7) the graphics in both of them were identical.  
 (8) Q. What sometimes people will call  
 (9) a ping pong buffering scheme?  
 (10) A. Yeah, other people call it a  
 (11) double buffering scheme.  
 (12) Q. And the idea there is that you  
 (13) are able to update the inactive buffer while  
 (14) the active buffer is being rastered out?  
 (15) A. Right.  
 (16) Q. So if I'm understanding you  
 (17) correctly the way that video was placed into  
 (18) the active display is that it would be  
 (19) written into the inactive buffer in place of  
 (20) the graphics, correct?  
 (21) A. In place of the graphics?  
 (22) Q. Right.  
 (23) A. I don't understand the question.  
 (24) Q. Well, certainly in the example  
 (25) you described when someone clicked on the

## Page 34

(1) box and the video window would pop up, it  
 (2) would pop up at a particular set of pixel  
 (3) locations on the display?  
 (4) A. Right.  
 (5) Q. And those pixel locations  
 (6) corresponded to particular locations within  
 (7) the active buffer, correct?  
 (8) A. Right.  
 (9) Q. And my question is really how  
 (10) did - what happened to the graphics that  
 (11) were in that range of Pixels?  
 (12) A. Okay. It was destroyed and when  
 (13) the window went away it had to be  
 (14) reconstructed.  
 (15) Q. You didn't transfer those or  
 (16) transfer that pixel data to an off-screen  
 (17) location for future reference or anything  
 (18) like that?  
 (19) A. I'm not sure if my programmers  
 (20) cut and passed or just obliterated and  
 (21) reconstructed. I can't say which way - you  
 (22) can do it either way.  
 (23) Q. Now, with respect to the video  
 (24) window itself you described that the I-750  
 (25) would perform this decompression operation.

## Page 35

(1) correct? So the user comes up and punches  
 (2) on the little box and the processor then  
 (3) grabs whatever video data was associated  
 (4) with that box and begins the decompression  
 (5) operation?  
 (6) A. Right.  
 (7) Q. Where does it go to get the  
 (8) video data, the compressed video data?  
 (9) A. Where does it go?  
 (10) Q. Where is it stored?  
 (11) A. It's stored in the hard disk.  
 (12) Q. Stored in the hard disk?  
 (13) A. Yes.  
 (14) Q. It's transferred from the hard  
 (15) disk, then, to the frame buffer?  
 (16) A. Yes.  
 (17) Q. And it's transferred from the  
 (18) hard disk to the frame buffer in compressed  
 (19) form, correct?  
 (20) A. Yes.  
 (21) Q. Then the I-750 processor  
 (22) decompresses it, correct?  
 (23) A. Right.  
 (24) Q. Well, when it's stored from the  
 (25) hard disk to the frame buffer in compressed

## Page 36

(1) form where physically within the frame  
 (2) buffer is it stored?  
 (3) A. Off screen.  
 (4) Q. So in off-screen memory?  
 (5) A. Right.  
 (6) Q. After the I-750 processor  
 (7) decompresses the video data where is it  
 (8) stored?  
 (9) A. On screen.  
 (10) Q. So it goes from off screen  
 (11) through the processor for decompression and  
 (12) then it's put into the inactive buffer in  
 (13) the on-screen memory, correct?  
 (14) A. Right.  
 (15) Q. Can you describe for us the  
 (16) elements that lay between the frame buffer  
 (17) and the display monitor in the demonstration  
 (18) system at the Comdex show?  
 (19) A. It was just a CRT controller.  
 (20) Q. Did that CRT controller -  
 (21) A. And DAC.  
 (22) Q. Well, physically was there an  
 (23) element downstream of the frame buffer to  
 (24) collect data that was being pipelined out to  
 (25) the CRT?



## Page 37

- (1) A. I considered that part of the  
(2) CRT controller.  
(3) Q. Did that CRT controller, then,  
(4) include a display FIFO?  
(5) A. I'm pretty sure it did. This  
(6) is -- we're talking about Intel proprietary  
(7) information. I mean, is there a hardware?  
(8) I do not know exactly what they did inside  
(9) their chip.  
(10) Q. Okay.  
(11) A. I'm just assuming.  
(12) Q. Okay. Well, it's important that  
(13) you tell us that. I'll certainly appreciate  
(14) any information you can help us with. But  
(15) if it's something you're not sure about, be  
(16) sure to point that out.  
(17) A. All right.  
(18) Q. I take it the CRT controller  
(19) included some kind of color look up table?  
(20) A. I would assume.  
(21) Q. And I take it the CRT controller  
(22) worked with the Intel I-750 in choosing what  
(23) Pixels would be displayed on the screen,  
(24) correct?  
(25) A. It was part of this I-750. They

## Page 38

- (1) Had two chips; one is a frontend chip and  
(2) the other one is a backend chip at that  
(3) time. The backend chip handled all the  
(4) rastering to the screen.  
(5) Q. Did the backend chip issue  
(6) memory requests for retrieval of data out of  
(7) the frame buffer?  
(8) A. That was based on a VRAM  
(9) architecture, so it was a row drop  
(10) technique, if you're familiar with it.  
(11) Q. And by that you mean that an  
(12) initial address is specified and the data is  
(13) simply rastered up continuously following  
(14) that address?  
(15) A. Right.  
(16) Q. And in fact, repeats until some  
(17) additional instruction comes in to change  
(18) the sequence?  
(19) A. Right.  
(20) Q. And what was the term you used  
(21) to define the VRAM architecture?  
(22) A. A row drop. You have your  
(23) memory and what you do is you drop a whole  
(24) raster line into your output buffer and you  
(25) raster out of the output buffer with just

## Page 39

- (1) the clock.  
(2) Q. I see. So an entire row  
(3) advances into the output buffer with each  
(4) new, I guess, row count?  
(5) A. Right.  
(6) Q. Now, you identified the two  
(7) parts and we may have some documentation to  
(8) help us with this, but do you recall any  
(9) particular names that have been given to the  
(10) two parts?  
(11) A. That was a long time ago.  
(12) Q. Understood. Can we call them  
(13) the frontend part and the backend part?  
(14) A. It was definitely a frontend  
(15) processor and a backend processor.  
(16) Q. Do you recall what elements the  
(17) backend processor included in terms of its  
(18) data handling capability?  
(19) A. Yeah, in that time frame it was  
(20) pretty much just a RAM DAC color look up  
(21) table and the interface to the VRAM serial  
(22) port, and I can't remember -- there was some  
(23) communications between the two processors.  
(24) I don't know what that was, some clocking  
(25) information. They had to know what each

## Page 40

- (1) other were doing. And that's all I can  
(2) remember at this time.  
(3) Q. Now, the display that you  
(4) created there at the Comdex show was seen by  
(5) a number of people, correct?  
(6) A. Yes.  
(7) Q. Can you estimate how many?  
(8) A. I guess millions. I don't  
(9) know. How many people go to Comdex?  
(10) Q. Seems like millions.  
(11) A. Right, it was thousands of  
(12) people.  
(13) Q. And the purpose of this display  
(14) was to sell Compaq computers, correct?  
(15) A. Really the purpose of this  
(16) display was to demonstrate Compaq's -- this  
(17) particular display was to demonstrate  
(18) Compaq's leadership in technology.  
(19) Q. Well, but I mean Compaq is in it  
(20) for the money, correct?  
(21) A. They're in it for the money.  
(22) Q. And they're looking to sell  
(23) product?  
(24) A. Right.  
(25) Q. I mean, this wasn't some

## Page 41

- (1) altruistic display they were putting on?  
(2) A. No.  
(3) Q. Did the Compaq system in  
(4) question have a particular name or  
(5) trademark?  
(6) A. We just used the standard Compaq  
(7) desk pro at the time.  
(8) Q. I was really speaking with  
(9) respect to the display system you  
(10) constructed. Did you call it the Compaq  
(11) superduper video --  
(12) A. No, we didn't call it anything  
(13) because really we bought the boards from  
(14) Intel. We used their boards. We didn't --  
(15) no hardware at all. We just bought their  
(16) boards, their software, put the demo  
(17) together just so we could learn what it took  
(18) to put a demo together, how to use that kind  
(19) of technology. We didn't -- Compaq didn't  
(20) do anything but put the demo together.  
(21) Q. Did what Intel told you to do?  
(22) A. Basically.  
(23) Q. Okay. Do you recall what your  
(24) next project at Compaq was?  
(25) A. I left Compaq at that -- during

## Page 42

- (1) that time.  
(2) Q. Did you conclude out of your  
(3) work with Compaq that multimedia was here to  
(4) stay?  
(5) A. That's why I left.  
(6) Q. Did you not think Compaq had the  
(7) capability to pursue multimedia?  
(8) A. I saw where the money was going  
(9) to be made, so I went to where I thought the  
(10) money was going to be made.  
(11) Q. Where did you think the money  
(12) was going to be made?  
(13) A. By the chip manufacturers.  
(14) Q. Was there any particular fact  
(15) that led you to that conclusion?  
(16) A. Yeah, because at that time those  
(17) kind of systems were too expensive and you  
(18) had to incorporate the system into the  
(19) chips.  
(20) Q. Well, what kinds of systems  
(21) existed at the time you left Compaq?  
(22) A. There were really board level  
(23) products. Most of them were what I call gin  
(24) lock. Video was demonstrated -- video was  
(25) overlayed with gin locking techniques.

## Page 43

multiple frame buffers were always employed. Usually it was a board that clutched in on top of the existing graphic system.

Q. Can you recall any particular vendors that existed at the time you left Compaq?

A. Mediagraphics. Viewlogic -- is it Viewlogic, I think was the name of the company, out of England. And of course Intel was trying to get into that business at the time. That's the only ones I can really remember.

Q. Have you ever heard of a system called a video toaster?

A. Yes, video toaster came later. It was in the Mac world.

Q. It was in the Mac world? Didn't run into it in the old Amega systems?

A. Was it Amega or Mac? It was one of those. I thought it was Mac.

Q. I have been looking for one.

So you left Compaq because you concluded that the money was in the chips and you chose Pixel?

## Page 44

A. Yes.

Q. Why did you choose Pixel?

A. Because they just bought this company called -- well, Cirrus just bought Pixel. Cirrus hired me to go to Pixel to be their architect. They had a lot of talented people there, but they didn't have the system level expertise.

Q. You began your employment with Pixel in 19 --

A. I think '91.

Q. What was your first project through Pixel?

A. 2070.

Q. What was the 2070?

A. It was a video processor.

Q. What was your next project?

A. The 2085.

Q. What was the 2085?

A. That was the media DAC, which went with the 2070, and once again you are talking about a frontend processor, backend processor.

Q. Sort of akin to the Intel frontend and backend chips?

## Page 45

A. Yes.

Q. What did you do after the 2085?

A. That's when I started to work on the 5440.

Q. And the 5440 is the part that resulted in the 525 patent, correct?

A. Yes.

Q. What did you do after the 5440?

A. I went to work on putting together something called VPM, which was the ground work for what is Direct X today.

Q. What did VPM stand for?

A. Video port manager.

Q. Was this some kind of a specification?

A. What it was it was software that fit into the Microsoft motif that allowed you to control the features of the 5440. In other words, the real time data. At that point no software out there other than the people who sold the boards designed their own hardware and sold it as a package. At that point you couldn't buy any shrinkwrap software to actually control video coming in through a video port in a

## Page 46

windowing system.

Q. And when did you do the VPM?

A. That was while Schafer was actually working on the 5440 and I was working parallel with him.

Q. Can you give us a relative time frame? '92? '93? '97?

A. I think it was during '94.

Q. What did you do after the VPM?

A. After that I did a lot of marketing work, promoting these products, trying to pull the Cirrus Logic product families together. I started working with the portable guys to try to get them to use this technology. I was working with the Cirrus Logic Media Crystal people trying to mix video and audio into systems.

Q. When did you begin your marketing work?

A. I actually began that at the same time I was doing the VPM. They were kind of concurrent, so as soon as I finished the spec on the 5440 and we had something to go out and show people, I was actually doing marketing work.

## Page 47

Q. Okay. At the conclusion of your marketing work did you do anything else for Cirrus?

A. At that point I was more or less just -- you could say an in-house advisor. I was involved in a lot of decisions in the area of video and multimedia.

Q. And when did you leave Cirrus?

A. I think it was '95 or '96. I can't remember exactly. I can tell you what day, but I can't tell you what year.

Q. What day?

A. March 11th, the day of my birthday.

Q. I was about to ask you --

MR. HILL: That's my son's birthday.

Q. (By Mr. Cordell) Was there anything that lead you to leave Cirrus?

A. I don't really know if I should answer that. I just saw trouble coming for the company.

Q. I don't mean to make you uncomfortable, and if need be you can secure the protections of the protective order as

## Page 48

well and we can designate a portion of this transcript confidential so that Cirrus' people can't see it, just like ATTs people can't see it. Can you tell me sort of what the trouble related to?

A. I would rather not.

Q. Okay. Well, can you tell me was it something personal or was it something financial?

A. Oh, no. It was -- let's say I didn't agree with upper management. I think upper management made some mistakes.

Q. And these mistakes were related to the management of the business, correct?

A. Right.

Q. And given Cirrus' current state of affairs in the graphics business, maybe you were right, is that a fair statement?

A. You could say that, I guess.

Q. All right. I would like to go through your sort of products here at Cirrus in a little more detail. Can you give us an idea of the market that the 2070 was intended to address?

A. It was designed for the

## Page 49

(1) teleconferencing industry.  
 (2) Q. Did it have a particular feature  
 (3) set that made it suitable for  
 (4) teleconferencing?  
 (5) A. Yes.  
 (6) Q. What were those features?  
 (7) A. Multiple video windows.  
 (8) Q. How many?  
 (9) A. Four.  
 (10) Q. Did the 2070 utilize a single  
 (11) frame buffer to support all four windows?  
 (12) A. Yes.  
 (13) Q. Did the 2070 have text messaging  
 (14) capability?  
 (15) A. No.  
 (16) Q. Did it have any graphics  
 (17) processing at all?  
 (18) A. No.  
 (19) Q. So what the 2070 did is that it  
 (20) took multiple video streams, processed them,  
 (21) and prepared them for display?  
 (22) A. Yes.  
 (23) Q. Now, if memory serves, the 2070  
 (24) could actually handle up to eight  
 (25) simultaneous windows in the buffer?

## Page 50

(1) A. It had the ability to manage --  
 (2) it could only take -- handle four windows.  
 (3) It can only take two streams. It only had  
 (4) two stream inputs, but it had the ability  
 (5) because of the eight buffers, to process  
 (6) that stream eight different ways in a  
 (7) pipeline fashion.  
 (8) Q. But the maximum that it could  
 (9) output were four windows, correct?  
 (10) A. Yes.  
 (11) Q. Now, was each window processed  
 (12) independently?  
 (13) A. Yes.  
 (14) Q. And by independently do you mean  
 (15) to suggest that there were four separate  
 (16) pipelines, as I think you described,  
 (17) operating at the same time?  
 (18) A. The best way to describe it was  
 (19) four windowing systems operating at the same  
 (20) time.  
 (21) Q. But when you say at the same  
 (22) time do you mean that there were duplicative  
 (23) processing engines present in the 2070?  
 (24) A. What do you mean by  
 (25) duplicative?

## Page 51

(1) Q. More than one or did they take  
 (2) turns in a time division multiplexing?  
 (3) A. No, there were actually four.  
 (4) Q. There were actually four  
 (5) engines?  
 (6) A. Yes.  
 (7) Q. Did the 2070 have the ability to  
 (8) interpolate between pixels?  
 (9) A. Yes.  
 (10) Q. Did it utilize a dual frame --  
 (11) strike that.  
 (12) Did it utilize a dual FIFO  
 (13) structure to conduct an interpolation?  
 (14) A. The thing to remember is there  
 (15) was two different ways of interpolation;  
 (16) decimation and zooming. On the backend it  
 (17) could only zoom and it could not zoom by  
 (18) itself. It had to have the assistance of  
 (19) the other processor.  
 (20) Q. Was the 2070 a frontend or a  
 (21) backend part?  
 (22) A. It was a frontend.  
 (23) Q. Okay. Well, let's take them  
 (24) each in turn. With respect to decimation  
 (25) did the 2070 utilize a dual FIFO approach?

## Page 52

(1) A. What do you mean by dual FIFO?  
 (2) Q. I have certainly seen a number  
 (3) of systems wherein two adjacent lines of  
 (4) data are stored in dual FIFOs.  
 (5) A. Okay.  
 (6) Q. And then interpolation is  
 (7) conducted between the data sets and those  
 (8) two FIFOs. Is that the way the 2070 worked?  
 (9) A. On the back -- you are talking  
 (10) about actually backend, not frontend.  
 (11) Q. Yes.  
 (12) A. It's a little bit different than  
 (13) that because the actual interpolation was  
 (14) done in the 2085, okay. And the FIFO was  
 (15) actually in the 2085 and there was only one  
 (16) FIFO.  
 (17) Q. So the 2070 was really there to  
 (18) receive and synchronize the various video  
 (19) streams?  
 (20) A. It actually sent across the  
 (21) interface what we call a code word, four bit  
 (22) codes, telling the other processor how to  
 (23) interpolate or what to do with that data.  
 (24) MR. HILL: Counsel, we've been  
 (25) going on for an hour now. Could you speed

## Page 53

(1) along to something relevant to the --  
 (2) MR. CORDELL: Counsel, I  
 (3) certainly have been, and if you find this  
 (4) irrelevant, that's all the better.  
 (5) Q. (By Mr. Cordell) Mr. Nally, can  
 (6) you describe the memory requirements of the  
 (7) 2070?  
 (8) A. It was VRAM.  
 (9) Q. What size was the frame buffer?  
 (10) A. I think we could support -- I  
 (11) can't recall. It was -- minimum was one  
 (12) meg. I can't remember what the max was.  
 (13) Q. You discussed two different  
 (14) kinds of video data earlier today, and I  
 (15) believe the distinctions were playback  
 (16) versus live video. Do you recall that?  
 (17) A. Uh-huh.  
 (18) Q. Did the 2070 have the capability  
 (19) of handling playback video data?  
 (20) A. No.  
 (21) Q. So it was strictly a live video  
 (22) device?  
 (23) A. Yes.  
 (24) Q. The 2070 was not utilized with a  
 (25) PCI bus interface?

## Page 54

(1) A. Well, I think it was a PCI.  
 (2) Q. It was a PCI.  
 (3) Was there any concept of PCI  
 (4) apertures used with the 2070?  
 (5) A. No.  
 (6) Q. And again that's because it's a  
 (7) live video feed?  
 (8) A. Yes.  
 (9) Q. How were addresses assigned to  
 (10) the live video feed in the 2070?  
 (11) A. You had -- on the frontend you  
 (12) had the four buffers, as you call them four  
 (13) buffers, and really what those four  
 (14) buffers -- not four but eight, there were  
 (15) eight input windowing schemes, four output  
 (16) windowing schemes.  
 (17) What you do is coming from the  
 (18) frontend processor, which was the  
 (19) interpolator, we had a little like a cross  
 (20) bar switch that would connect that process  
 (21) center to an input window. The input window  
 (22) would determine where it went to in the  
 (23) frame buffer.  
 (24) Q. Okay. You say the input window  
 (25) would, but I understood the input window to

## Page 55

(1) be something like a frame buffer, sort of a  
 (2) mini frame buffer.  
 (3) A. The input window was to -- what  
 (4) you called while ago eight buffers --  
 (5) Q. Okay.  
 (6) A. -- there's eight windowing  
 (7) schemes for putting -- in other words,  
 (8) there's eight little mini raster engines for  
 (9) rastering the video into the frame buffer.  
 (10) Q. And these mini video engines  
 (11) would assign an address for each word of  
 (12) data in the frame buffer?  
 (13) A. You program them up for the  
 (14) window. You program the start X to start Y  
 (15) to Delta X and Delta Y and they did the  
 (16) rest.  
 (17) Q. Okay. And they would come up  
 (18) with addresses based on those initial  
 (19) starting positions?  
 (20) A. Right.  
 (21) Q. Was the 2070 actually sold?  
 (22) A. Yes.  
 (23) Q. In what years?  
 (24) A. As far as I know it's still  
 (25) being sold.

## Page 56

(1) Q. When was the first sale of the  
 (2) 2070?  
 (3) A. I guess '93 or -- I would have  
 (4) to say the best recollection I have is maybe  
 (5) some of them was sold in '92, I don't know.  
 (6) Q. Certainly before 1994?  
 (7) A. I'm trying to remember when I  
 (8) saw my first demo from a customer's  
 (9) product. I can't remember what Comdex that  
 (10) was. I'm pretty sure it was '83 -- '93  
 (11) because I don't think I went to any Comdex  
 (12) after '93.  
 (13) Q. Now, I believe you said the 2085  
 (14) was a backend processor; is that right?  
 (15) A. Yes.  
 (16) Q. Were there any particular market  
 (17) segments that the 2085 was meant to address?  
 (18) A. Yes.  
 (19) Q. Which ones?  
 (20) A. The actual mixing of video and  
 (21) graphics.  
 (22) Q. Were there any particular  
 (23) feature sets provided in the 2085 that made  
 (24) it suitable for this application?  
 (25) A. Yes.

## Page 57

(1) Q. What were they?  
 (2) A. It had a graphics port, a video  
 (3) port, and a buffer for storing the video and  
 (4) the interpolation engine and a color space  
 (5) converter because it was fed YUV data on the  
 (6) video port.  
 (7) Q. Did the 2085 support both live  
 (8) and playback video?  
 (9) A. You could actually say that you  
 (10) could -- through the graphics port you could  
 (11) actually play live video. I mean, you could  
 (12) play playback data as graphics, but it would  
 (13) have to be in RGB format.  
 (14) Q. So with respect to the video  
 (15) port in the 2085 it expected only live  
 (16) video?  
 (17) A. Live.  
 (18) Q. Now, the 2085 worked with the  
 (19) 2070, correct?  
 (20) A. Yes.  
 (21) Q. Were they sold as a pair?  
 (22) A. Yes.  
 (23) Q. Do you recall when the 2085  
 (24) first was placed on sale?  
 (25) A. Which one.

## Page 58

(1) Q. The 2085.  
 (2) A. When was it first -- it was  
 (3) actually preceded with the 2080. We got a  
 (4) contract with Compaq to make changes to it  
 (5) and it became the 2085 when we started  
 (6) adding the features to Compaq on it, and  
 (7) those features were what we called at that  
 (8) time VAFC support. In other words, we  
 (9) expanded the video port to be VAFC  
 (10) compatible as well as compatible with the  
 (11) 2070.  
 (12) Q. When was the contract with  
 (13) Compaq?  
 (14) A. '92, I think, I'm not sure.  
 (15) Q. Chances are there might be a  
 (16) contract someplace that would help you know  
 (17) exactly when that occurred?  
 (18) A. Yeah. If we could pinpoint when  
 (19) VAFC was -- when the committee, that would  
 (20) help a lot because we was fighting that  
 (21) battle in committee with Compaq to try to  
 (22) make that a standard.  
 (23) Q. Okay.  
 (24) A. So you could very easily  
 (25) pinpoint that date based on what was going

## Page 59

(1) on in the VESA committee.  
 (2) Q. Well, let's depart briefly and  
 (3) look at VESA for a moment. You are fairly  
 (4) active or were fairly active in the VESA  
 (5) committee meetings, correct?  
 (6) A. In the area of video, yes.  
 (7) Q. Do you recall when you first  
 (8) became involved with VESA?  
 (9) A. Yes. When we got this contract  
 (10) with Compaq part of the contract said we had  
 (11) to make this a standard, and I didn't  
 (12) delegate that off to a minor subordinate. I  
 (13) took the responsibility of making it happen  
 (14) myself.  
 (15) Q. So when you got the contract  
 (16) from Compaq you began attending VESA  
 (17) meetings?  
 (18) A. Yes.  
 (19) Q. Was there any particular  
 (20) subcommittee that you focused on?  
 (21) A. Yes, the VAFC.  
 (22) Q. Tell us what went on at the VAFC  
 (23) meetings?  
 (24) A. It was really a battle between  
 (25) Cirrus and Brooktree. We was trying to get

## Page 60

(1) the 885 to be the foundation. They was  
 (2) trying to get their -- we was trying to get  
 (3) our 2085 and they was trying to get their  
 (4) 885. Very similar architectures. Only  
 (5) difference was the clocking scheme, which  
 (6) was enough to really make a difference on  
 (7) the interface.  
 (8) Q. Do you recall when this battle  
 (9) took place? What year?  
 (10) A. It was either '92 or '93, and I  
 (11) can't remember which one. I think it was  
 (12) '92.  
 (13) Q. Who chaired the VAFC  
 (14) subcommittee?  
 (15) A. Michael -- it starts with an E,  
 (16) he's from Brooktree. I want to say Engles,  
 (17) but I don't think that's right.  
 (18) Q. Could it be Eskm?  
 (19) A. That sounds right.  
 (20) Q. So these VAFC committee meetings  
 (21) consisted of people from the video and  
 (22) graphics industry?  
 (23) A. Yes.  
 (24) Q. And they would collect up  
 (25) usually in Silicone Valley every couple of

## Page 61

- (1) months? Was that about how frequently or  
(2) was it --  
(3) A. About every month.  
(4) Q. And would you actually  
(5) physically travel out there to attend the  
(6) meetings?  
(7) A. Yes.  
(8) Q. And you would get in a hotel  
(9) conference room or something like this and  
(10) talk about video standards?  
(11) A. We actually did at the VAFC --  
(12) at the VESA headquarters. We actually had  
(13) one meeting at Compaq.  
(14) Q. Was there usually an agenda  
(15) produced for the VESA meetings?  
(16) A. I guess you could -- agenda,  
(17) action items, go do list.  
(18) Q. And there was a chair and he  
(19) would run through the action items for that  
(20) meeting?  
(21) A. Yes.  
(22) Q. People often made presentations  
(23) at the VAFC subcommittee meetings, correct?  
(24) A. Yes.  
(25) Q. Someone would get up and talk

## Page 62

- (1) about a particular technical aspect of a  
(2) display controller, for example, correct?  
(3) A. Yes.  
(4) Q. Did you ever make any  
(5) presentations to the VAFC subcommittee?  
(6) A. Yes.  
(7) Q. Can you recall when?  
(8) A. It was too long ago. I can't  
(9) remember which meeting it was in or which  
(10) day it was in.  
(11) Q. Do you recall making particular  
(12) presentations?  
(13) A. Yes, about clocking schemes.  
(14) The battle was over clocking.  
(15) Q. Do you recall distributing a  
(16) paper or slide show or anything like that?  
(17) A. I don't recall.  
(18) Q. It's certainly possible that you  
(19) did?  
(20) A. It's very possible.  
(21) MR. HILL: Objection. Calls for  
(22) speculation.  
(23) Q. (By Mr. Cordell) Well, a moment  
(24) ago you said the 2085 and the 885 had  
(25) somewhat similar architectures. Can you

## Page 63

- (1) describe the similarities between the two  
(2) parts?  
(3) A. Yeah. They both had two ports,  
(4) graphic port and video port. They both had  
(5) buffers, big FIFO buffers. They both had  
(6) color space converters. They both had  
(7) scaling engines.  
(8) Q. You would characterize both as  
(9) having backend pipeline processing?  
(10) A. Yes.  
(11) Q. You said both had big FIFOs.  
(12) Can you describe the FIFOs?  
(13) A. Yeah. You had to be able to  
(14) store up a line in advance, at least a line  
(15) in advance in order to synchronize the audio  
(16) and the video and especially if you were  
(17) zooming, and that's what the big FIFOs was  
(18) for, was to store the video, to prefetch it  
(19) and store it and wait for the flag to come  
(20) to start displaying.  
(21) Q. That was so that the video  
(22) didn't outpace or lag behind the audio  
(23) information, correct?  
(24) A. Mainly that's because the video  
(25) is like live and you can't say hold

## Page 64

- (1) everything I've got to go get the video. It  
(2) had to be there waiting.  
(3) Q. Were these FIFOs used for  
(4) interpolation?  
(5) A. They were actually part of  
(6) the -- I mean they were -- the data was  
(7) stored there before interpolation began.  
(8) Q. Did either the 885 or the 2085  
(9) have two video FIFOs that were used for  
(10) interpolation between adjacent lines?  
(11) A. I don't think either one of them  
(12) did vertical interpolation. Maybe. If they  
(13) had enough memory to store a whole line,  
(14) they could store a line and a half. I'm not  
(15) familiar with the 885.  
(16) Q. Okay.  
(17) A. I can't remember -- I can't  
(18) remember if we did interpolation -- Y  
(19) interpolation on the 85 or not, the 2085.  
(20) Q. But obviously there would be a  
(21) document somewhere that would help you  
(22) recall whether or not that would be true?  
(23) A. Yes.  
(24) Q. How did the -- well, let me just  
(25) finish out for a moment the battle, as you

## Page 65

- (1) described it in the VAFC committee meeting.  
(2) Can you describe the clocking scheme dispute  
(3) that seemed to be ongoing?  
(4) A. Yeah. The biggest problem was  
(5) that the 2085 was able to handle multiple  
(6) video windows. The Brooktree 885 could only  
(7) handle one video window and the way the 2085  
(8) was able to handle more windows was because  
(9) it sent a clock with a code -- the 2070  
(10) generated a clock and coordinated the code  
(11) and the clock together, whereas the 885  
(12) looked at it from the other side that says  
(13) my video -- my graphics is ready. I will  
(14) send a processor clock and he will give me  
(15) data. So one was a requesting clock and one  
(16) was a driving clock.  
(17) Q. By requesting clock you mean  
(18) that the 885 would send a request for data  
(19) and for the timing of that data to the  
(20) central processor?  
(21) A. Yes.  
(22) Q. What was its stake in this  
(23) battle?  
(24) MR. HILL: Objection.  
(25) Ambiguous.

## Page 66

- (1) THE WITNESS: The stake for  
(2) Cirrus was the Compaq contract.  
(3) Q. (By Mr. Cordell) So if Cirrus  
(4) was not successful in having the VAFC  
(5) committee adopt the 2085 clocking scheme as  
(6) the standard, then it risked losing the  
(7) Compaq contract?  
(8) A. Yes.  
(9) Q. What was its stake for  
(10) Brooktree, do you know?  
(11) THE WITNESS: Could I take a  
(12) break?  
(13) MR. CORDELL: Certainly.  
(14) (A recess was taken.)  
(15) THE WITNESS: We're on the video  
(16) record at 10:28.  
(17) (The reporter read back the  
(18) requested text.)  
(19) Q. (By Mr. Cordell) And I think  
(20) the following question was: Do you know  
(21) what Brooktree had at stake in the VAFC  
(22) battle?  
(23) MR. HILL: Objection. Calls for  
(24) speculation.  
(25) THE WITNESS: No.

## Page 67

(1) Q. (By Mr. Cordell) How long were  
(2) you involved with VAFC subcommittee?  
(3) A. For the duration of this  
(4) battle. I think it was about four months,  
(5) I'm not sure.  
(6) Q. Did others at Cirrus attend the  
(7) VESA meetings?  
(8) A. Yes.  
(9) Q. Was there an established VESA  
(10) team at Cirrus?  
(11) A. I wouldn't say so.  
(12) Q. Do you know who within Cirrus  
(13) was active with the VESA?  
(14) A. Yes.  
(15) Q. Who?  
(16) A. Bo Erickson actually chaired the  
(17) video subcommittee or the video committee.  
(18) He was Cirrus Logic.  
(19) Q. Anybody else?  
(20) A. Nobody was there full time. I  
(21) think different people went at different  
(22) times depending on what was being done.  
(23) Q. Mr. Schafer attended some of the  
(24) VESA meetings, correct?  
(25) A. He attended the first one.

## Page 68

(1) Q. And by the first one you mean  
(2) the first you attended?  
(3) A. No, he went to the first one and  
(4) he came back and we had a meeting and I  
(5) decided then that I should attend the rest  
(6) of them.  
(7) Q. I'm just trying to define it a  
(8) little bit. By the first one do you mean  
(9) the first VAFC subcommittee meeting?  
(10) A. Yes.  
(11) Q. Do you remember anyone from ATI  
(12) attending the VAFC subcommittee meetings?  
(13) A. Ed Calloway.  
(14) Q. Do you know Mr. Calloway  
(15) personally?  
(16) A. Professionally.  
(17) Q. Have you seen him around in  
(18) display circles for a few years?  
(19) A. Last time I went to Winheck I  
(20) saw him and we said hi to each other.  
(21) Q. Before we jumped off of on VAFC  
(22) line we were talking about the 2085, and I  
(23) believe you said that the 2080 came first.  
(24) Can you tell us what the differences are  
(25) between the 2080 and the 2085?

## Page 69

(1) A. Basically just the ability to  
(2) adapt that interface to handle more than  
(3) just the 2070, you know, to accommodate  
(4) whatever came out of the VAFC committee.  
(5) Q. Well, let's start with the  
(6) 2080. Can you describe the features and  
(7) functionality of the 2080?  
(8) MR. HILL: Objection. Calls for  
(9) a narrative.  
(10) THE WITNESS: What I described  
(11) earlier. Well, really it's already been  
(12) described.  
(13) Q. (By Mr. Cordell) So then pretty  
(14) much the same as the 2085?  
(15) A. Yes.  
(16) Q. And you said that the  
(17) differences were that you adapted the  
(18) interface. Can you tell us the nature of  
(19) those adaptations?  
(20) A. We had to be able to kill the  
(21) four code. Remember I said the difference  
(22) between the two was that we drove data and  
(23) we drove code with the data telling the 2080  
(24) how to process that code. We had to come up  
(25) with scheme in a VAFC interface which you

## Page 70

(1) only had one window. The code was not  
(2) required.  
(3) Q. So you lost the VAFC battle?  
(4) A. We -- well, you can say we won  
(5) we say we lost, we got the contract.  
(6) Q. That would be the important  
(7) thing.  
(8) A. Yes.  
(9) Q. But in terms of maintaining the  
(10) scheme you had proposed to VAFC it sounds  
(11) like they didn't go your way?  
(12) A. No, we didn't win completely.  
(13) It was a compromise.  
(14) Q. Now, you just mentioned a VAFC  
(15) window. Can you describe the VAFC window  
(16) for us?  
(17) A. VAFC window? Just data coming  
(18) across that interface, how it's formatted.  
(19) Q. Are there particular attributes  
(20) that the data has to conform to?  
(21) A. It's framed. It's framed just  
(22) like it was -- it has two sinks, a clock,  
(23) data, and a couple of other signals, but  
(24) what I mean by VAFC window is that the data  
(25) is rastered through that port. It's not

## Page 71

(1) like any other interface you see on a  
(2) computer.  
(3) Q. And VESA set forth the  
(4) specification defining that VAFC interface,  
(5) correct?  
(6) A. That's right.  
(7) Q. Do you recall the date of the  
(8) VAFC specification?  
(9) A. I can't give you a date.  
(10) Q. Can you give us a year?  
(11) A. I think it was voted on at  
(12) Comdex, I don't know if it was '82 or '83.  
(13) I think it was '83.  
(14) Q. You mean '93?  
(15) A. '93, excuse me.  
(16) Q. And certainly prior to its being  
(17) voted on there were preliminary  
(18) specifications that were circulated among  
(19) the VAFC committee members, correct?  
(20) A. The way it works the committee  
(21) puts together a proposal and presents it to  
(22) the main body for a vote and only one  
(23) proposal is presented. It's either accepted  
(24) or rejected.  
(25) Q. Now, I take it the 2085 was the

## Page 72

(1) only part that was actually produced,  
(2) correct?  
(3) A. Meaning?  
(4) Q. As opposed to the 2080?  
(5) A. The 2080 was built and produced.  
(6) Q. Oh, it was?  
(7) When was the 2080 sold?  
(8) A. It was sold in the same time the  
(9) 2070 was.  
(10) Q. Certainly by 1993?  
(11) A. Yes.  
(12) Q. Was the 2080 promoted for sale  
(13) with parts other than the 2070?  
(14) A. Not to my recollection.  
(15) Q. What about the 2085, was it sold  
(16) in parts other than the 2070?  
(17) A. It was actually sold by itself.  
(18) Q. Then some of your customers may  
(19) mate it with a different kind of video  
(20) controller?  
(21) A. Yeah, because it was VAFC  
(22) compatible. Anybody can build any kind of  
(23) VAFC device to take advantage of it.  
(24) Q. Now, let's focus on the 2085.  
(25) Take me through the -- sort of day in the

## Page 73

(1) life of a pixel in the 2085. Where does a  
 (2) pixel of graphics data come from that is  
 (3) input to the 2085?  
 (4) A. You have what's called a  
 (5) graphics port that's a -- it can come in as  
 (6) either eight bit data or it can come in at  
 (7) 16 bit graphics data or it can come in as 24  
 (8) bits graphics data. The eight bit data you  
 (9) go through the look-up table and get  
 (10) converted to 24 bit data. The 16 bit data  
 (11) would be shifted and formatted to fit the 24  
 (12) bit data format. The 24 bit data would go  
 (13) as 24 bits.  
 (14) Q. Is 24 bit data something you  
 (15) would call true color data?  
 (16) A. Yes.  
 (17) Q. So the 24 bit data is just used  
 (18) to drive the RAM DAC?  
 (19) A. Before it gets -- well, the RAM  
 (20) DAC is the device. It drives the DAC.  
 (21) Q. The DAC, sorry?  
 (22) A. The RAM is actually the look-up  
 (23) table. Before it gets between the RAM and  
 (24) the DAC what made these devices unique was  
 (25) that they had a look-up table or a

## Page 74

(1) multiplexer that would merge two streams, so  
 (2) it would come from the point I just  
 (3) described to this MUX and then to the DAC.  
 (4) Q. And when you say it can merge  
 (5) two streams you mean that it could arbitrate  
 (6) between graphics and video data streams?  
 (7) A. Yes.  
 (8) Q. What elements controlled the  
 (9) multiplexer?  
 (10) A. In this device or what can  
 (11) control it?  
 (12) Q. Well, what controlled it in the  
 (13) 2085?  
 (14) A. Well, there was color key  
 (15) capability. There was a window capability,  
 (16) and I can't remember -- I don't think we had  
 (17) a chroma key capability.  
 (18) Q. Are you aware of any system in  
 (19) which the 2085 was implemented that did  
 (20) create a chroma key capability?  
 (21) A. It would have had to be in  
 (22) the -- I mean, nobody could add that feature  
 (23) to it. It had to be in the chip or else you  
 (24) couldn't do it.  
 (25) Q. No keying off of the video

## Page 75

(1) segment?  
 (2) A. I can't remember -- yeah. I  
 (3) can't remember. I don't -- I can't recall.  
 (4) We had the technology -- I mean it was just  
 (5) a matter of cost.  
 (6) Q. Was there a register or some  
 (7) other mechanism whereby the mode of the  
 (8) multiplexer was set to color key or window  
 (9) key?  
 (10) MR. HILL: Objection. Compound.  
 (11) THE WITNESS: In other words, a  
 (12) register -- an overlay control register?  
 (13) Q. (By Mr. Cordell) Exactly.  
 (14) A. Yes.  
 (15) Q. I mean, how did the device know  
 (16) whether it should operate in the color key  
 (17) mode?  
 (18) A. Yeah, there was register. It  
 (19) was register controlled.  
 (20) Q. Some control bits that were set  
 (21) that told the multiplexer how to operate?  
 (22) A. Yeah.  
 (23) Q. Now, with respect to the color  
 (24) key can you tell us what the color key is?  
 (25) A. The color key is a mechanism

## Page 76

(1) that looks at the graphics data in advance  
 (2) before it gets to this MUX and decide if  
 (3) that color is transparent or not. If it's  
 (4) transparent it selects the video stream... If  
 (5) it's not transparent, it selects the  
 (6) graphics stream.  
 (7) Q. By transparent you mean that  
 (8) it's just a predetermined color, correct,  
 (9) it's usually magenta or something strange  
 (10) like that?  
 (11) A. Whatever.  
 (12) Q. You don't necessarily mean that  
 (13) it's a clear color?  
 (14) A. No, it's usually a very ugly  
 (15) color.  
 (16) Q. Usually you pick one nobody  
 (17) would ever want to use?  
 (18) A. That's right.  
 (19) Q. And the idea is that whenever  
 (20) you want to tell the system to display video  
 (21) information what you do is you load that  
 (22) ugly color into a graphic stream?  
 (23) A. Right.  
 (24) Q. Tell us about the window  
 (25) capability of the 2085 with respect to the

## Page 77

(1) multiplexer.  
 (2) MR. HILL: Objection. Calls for  
 (3) a narrative.  
 (4) THE WITNESS: Once again, it's  
 (5) an XY windowing scheme. You have a start  
 (6) position and Delta count in both X and Y.  
 (7) Q. (By Mr. Cordell) And is it fair  
 (8) to say, then, that the multiplexer outputs  
 (9) video data whenever the raster address is  
 (10) within the XY range you just described?  
 (11) A. Yes.  
 (12) Q. That's how the windowing  
 (13) capability works?  
 (14) A. Yes.  
 (15) Q. And whenever the raster is  
 (16) outside of that address field, the window  
 (17) address field, it outputs -- the multiplexer  
 (18) outputs graphics data, correct?  
 (19) A. Yes, sir.  
 (20) Q. Now, would you describe -- well,  
 (21) we have done the graphics side. Can you  
 (22) take us through a day in the life of a video  
 (23) pixel as it enters the 2085?  
 (24) MR. HILL: Objection. Calls for  
 (25) a narrative.

## Page 78

(1) Q. (By Mr. Cordell) You can  
 (2) answer.  
 (3) A. Comes through the port and goes  
 (4) into a FIFO, a big storage area, and it sits  
 (5) there until the backend coordinates and  
 (6) pulls it out, and when it's pulled out it  
 (7) goes through a color converter and if  
 (8) there's interpolation involved it goes to  
 (9) the interpolation engine and those two can  
 (10) be in either order. I can't remember what  
 (11) order they were in in the 2085, and then it  
 (12) goes to the MUX.  
 (13) Q. Now, would you describe the FIFO  
 (14) color space converter and interpolator as a  
 (15) video pipeline?  
 (16) A. Repeat those components.  
 (17) Q. I believe you identified a  
 (18) storage FIFO, a color space converter, and  
 (19) an interpolator in the backend of the video  
 (20) system, correct?  
 (21) A. Right.  
 (22) Q. Would you call that a video  
 (23) pipeline?  
 (24) A. Yes.  
 (25) Q. Is data always moving through

## Page 79

1 the video pipeline of the 2085 when it is in  
2 operation?  
3 A. What do you mean by when it's in  
4 operation, when you end the window or do you  
5 consider it outside the windowing  
6 operation?  
7 Q. Well, that's kind of where I'm  
8 going with that. Can you tell me when data  
9 is moving through that video pipeline, under  
10 what circumstances?  
11 A. Under one or two conditions.  
12 Well, only under one condition. When the  
13 backend retrieves it. Remember I said it  
14 was a synchronization mechanism.  
15 Q. Uh-huh.  
16 A. That's what the big FIFO is  
17 for. The big FIFO is to put the data there  
18 and when it comes time for the backend to  
19 pull it out and coordinate it with the  
20 video -- with the graphics data, then it  
21 moves. And the two things that control that  
22 is if you are color keying, the color key  
23 will trigger that. The other thing that  
24 will trigger that will be the windowing  
25 system.

## Page 80

1 Q. So is it fair to say, then, that  
2 data moves through the video pipeline in the  
3 2085 only when the output multiplexer is  
4 passing video data?  
5 A. Yes.  
6 Q. And the two conditions under  
7 which that happens is when the color key is  
8 showing the transparent color you  
9 described? Is that one of them?  
10 A. Right.  
11 Q. And the other is when the raster  
12 address falls within the video window  
13 specified by the registers you identified?  
14 A. Correct.  
15 Q. And I guess there is a third  
16 condition with respect to those two  
17 conditions which is the mode of the  
18 multiplexer has to be set either to color  
19 key or window position key, correct?  
20 A. You actually have combinations  
21 there. I mean, the color key is only active  
22 in the window.  
23 Q. So you could have an operating  
24 mode in the 2085 or -- strike that.  
25 You did have an operating mode

## Page 81

1 in the 2085 where both the color key and the  
2 window positions had to be satisfied in  
3 order to pass video data?  
4 A. Yes.  
5 Q. Did you have an operating mode  
6 in the 2085 where the color key was  
7 satisfied but not the window positions and  
8 yet you passed video data?  
9 A. I think that's an invalid  
10 condition.  
11 Q. But there is certainly some  
12 overlay control register that would tell us  
13 under what conditions that video would be  
14 passed, correct?  
15 A. Yes.  
16 Q. Now, going back to the graphics  
17 portion of the 2085 I think you identified  
18 elements relating to color look-up tables  
19 and bit shifting. Do you recall that? Is  
20 that an accurate reflection of what is in  
21 the graphics?  
22 A. Formatting.  
23 Q. Formatting. Would you  
24 characterize these elements as a -- strike  
25 that.

## Page 82

1 Would you characterize these  
2 elements as a graphics pipeline?  
3 A. Yes.  
4 Q. Did the 2085 include any  
5 attribute controls in the VGA sense?  
6 A. I don't think so.  
7 Q. Did it include any of the VGA  
8 features?  
9 A. It had the basic VGA RAM DAC  
10 addresses, which was for our addresses.  
11 Q. And how were they used in the  
12 2085?  
13 A. They were the same. They were  
14 compatible with VGA or RAM DAC registers,  
15 and we actually had what were called another  
16 register, hidden register that you could use  
17 those registers for something else in  
18 another mode to extend the capability.  
19 Q. Well, were they used in either  
20 the video or graphics backend pipelines?  
21 A. Well, those four registers or  
22 that RAM DAC is considered to be in a  
23 Windows -- excuse me, a Wintel, W-i-n-t-e-r-l,  
24 system. Those are considered to be part of  
25 the graphics pipeline because that controls

## Page 83

1 the color look-up table, how you load data  
2 into the look-up table and how you -- what  
3 else? Let's see. You read, you write --  
4 there's four registers. I can't remember  
5 what they are.  
6 Q. But you specify essentially how  
7 many colors would be available to the system  
8 and things like that, the VGA specification?  
9 A. Right.  
10 Q. Now, are you aware of systems  
11 that incorporated the 2085? Do you know  
12 anything about them?  
13 A. This was a few out there. What  
14 do you want to know?  
15 Q. I'm just interested in whether  
16 or not you were aware of any system wherein  
17 the 2085 was utilized in conjunction with a  
18 single frame buffer.  
19 A. It was impossible.  
20 Q. It was impossible. Why?  
21 A. It was impossible to get both  
22 video and graphics in a single frame buffer.  
23 Q. Why is that?  
24 A. Because it had two ports; one  
25 for video and one for graphics.

## Page 84

1 Q. Well, does that make it  
2 impossible or does that mean you just need  
3 to pass the video and graphics to the  
4 respective ports?  
5 A. Let me put it to you this way.  
6 You could put a graphics controller, marry  
7 it to the 2085 and it would act like any  
8 other graphics PC device. In other words,  
9 to get video you had to add another device  
10 with its own frame buffer.  
11 Q. Well, I'm just trying to  
12 understand your testimony. Are you saying  
13 that it's impossible because the 2085 simply  
14 couldn't accept video and graphics data out  
15 of the same frame buffer or are you saying  
16 that you just don't know of a part that did  
17 that?  
18 A. You are getting down to some  
19 nitty gritty here. I do not know of any  
20 device that was built that had what I refer  
21 to as a split frame buffer. That was the  
22 only way a single device could have done, is  
23 to have a split frame buffer, half the frame  
24 buffer dedicated to one port and half  
25 dedicated to the other port, never could the



## Page 85

- (1) data be mixed.  
 (2) Q. Okay. Is there some hardware  
 (3) limitation in the 2085?  
 (4) A. Yes.  
 (5) Q. What is that?  
 (6) A. The VRAM. It was designed to  
 (7) work with the VRAM.  
 (8) Q. And the VRAM was incapable of --  
 (9) well, what is it about the VRAM that  
 (10) prevents the mixing video?  
 (11) A. It is because in the VRAM you  
 (12) are dropping a row. If you drop a row  
 (13) you've got to take the whole row. I mean,  
 (14) you can't drop a row and then halfway  
 (15) through it drop another row of a different  
 (16) kind of data. All of the data you drop has  
 (17) got to be the same.  
 (18) Q. Well, is there any limitation,  
 (19) then, on there being a number of rows of  
 (20) graphics, a number of rows of video followed  
 (21) by a number of rows of graphics within the  
 (22) same display?  
 (23) A. Speculating, I guess, for some  
 (24) reason if they wanted to, they could  
 (25) possibly do that.

## Page 86

- (1) Q. I don't want you to speculate.  
 (2) I'm wondering whether there's a limitation  
 (3) in the 2085?  
 (4) A. That's not a practical thing to  
 (5) do, but the limitation is -- to raster data,  
 (6) the limitation is to B RAM. Whatever you  
 (7) can do with B RAM, you can do with this  
 (8) chip. That's the bottom line. B RAM has --  
 (9) the limitation is it takes time to drop a  
 (10) row. You drop your row in the blanking  
 (11) interval -- in the refreshed period -- I  
 (12) mean, you know, when the retrace period --  
 (13) are you familiar with the term?  
 (14) Q. Yes.  
 (15) A. When you are retracing back to  
 (16) the next line, you drop a row. If you try  
 (17) to drop a row out in the middle it's going  
 (18) to take time and that -- going to be pixels  
 (19) missed. So pixel is lost. They would be  
 (20) dropped. They would not make it to the  
 (21) display.  
 (22) Q. Well, was there any particular  
 (23) requirement in the 2085 that it be used with  
 (24) VRAM only?  
 (25) A. Yes.

## Page 87

- (1) Q. What was that requirement?  
 (2) A. The port interface.  
 (3) Q. The port interface accepted only  
 (4) a single row of data at a time?  
 (5) A. Yeah, in raster format. And  
 (6) then when the VAFC port was added that was a  
 (7) single formatted of data. In other words,  
 (8) if you go back and look at the VAFC spec,  
 (9) you're rastering data in through that port.  
 (10) Okay? One of those ports was either, A, a  
 (11) VAFC interface or, two, a VRAM interface.  
 (12) The other interface was just a standard RAM  
 (13) DAC interface to the graphics chip.  
 (14) Q. Okay. So it sounds like the  
 (15) video port at least had two choices,  
 (16) correct?  
 (17) A. Right.  
 (18) Q. Now, let's stay with the VAFC  
 (19) interface for a moment. Did the single row  
 (20) limitation you described a moment ago also  
 (21) apply to the VAFC interface?  
 (22) A. Indirectly, because remember it  
 (23) rastered data based on the synchs.  
 (24) Q. Okay.  
 (25) A. If you are going to mix data

## Page 88

- (1) you've got to have a way of determining  
 (2) where you are at. You understand what I'm  
 (3) saying?  
 (4) Q. Uh-huh.  
 (5) A. You have to be able to pinpoint  
 (6) a single pixel in the display.  
 (7) Q. Are you familiar with the data  
 (8) interfaces on the Brooktree 885 part?  
 (9) A. Vaguely.  
 (10) Q. Do you know of any similarities  
 (11) or differences between the 885 data ports  
 (12) and the 2085 data ports?  
 (13) A. The only difference between them  
 (14) was the clocking scheme. They both depended  
 (15) on a VRAM interface.  
 (16) Q. Are you familiar with an oak  
 (17) part called the Spitfire?  
 (18) A. I have only heard of it  
 (19) recently.  
 (20) Q. How recently?  
 (21) A. Just in the last week or so.  
 (22) Q. Is that something that you've  
 (23) been examining as part of your work in this  
 (24) case?  
 (25) A. I have been told about it, yes.

## Page 89

- (1) Q. Okay. And I take it you have  
 (2) been told by counsel?  
 (3) A. Yes.  
 (4) MR. CORDELL: Mr. Hill, can you  
 (5) tell us what your relationship is with  
 (6) respect to the witness? Are you  
 (7) representing him? Are you representing  
 (8) Cirrus? Are you -- what is your  
 (9) relationship with this witness?  
 (10) MR. HILL: We are representing  
 (11) the witness in his personal capacity here  
 (12) today as well as we represent Cirrus Logic.  
 (13) MR. CORDELL: I take it you're  
 (14) going to maintain a privilege over any  
 (15) communications you've had with this  
 (16) witness?  
 (17) MR. HILL: Absolutely.  
 (18) MR. CORDELL: Does it bother you  
 (19) at all that he's a fact witness?  
 (20) MR. HILL: No.  
 (21) MR. CORDELL: And that he is no  
 (22) longer employed by Cirrus?  
 (23) MR. HILL: Right.  
 (24) MR. CORDELL: So you are going  
 (25) to maintain a privilege even in the face of

## Page 90

- (1) his somewhat tangential relationship to the  
 (2) dispute?  
 (3) MR. HILL: We are going to  
 (4) maintain a privilege.  
 (5) Q. (By Mr. Cordell) Well, let me  
 (6) go through the exercise, if you don't mind.  
 (7) Can you tell me what your lawyers told you  
 (8) about -- well, strike that.  
 (9) Can you tell me what -- well,  
 (10) first of all, can you tell me who discussed  
 (11) the oak part with you?  
 (12) MR. HILL: Objection. Calls for  
 (13) attorney-client privileged information and  
 (14) work product.  
 (15) Q. (By Mr. Cordell) All I'm asking  
 (16) for is a name.  
 (17) MR. HILL: And you can give the  
 (18) name.  
 (19) THE WITNESS: Russ Hill.  
 (20) Q. (By Mr. Cordell) I suspected  
 (21) that might be true. And can you tell me  
 (22) what Mr. Hill said to you with respect to  
 (23) the oak part?  
 (24) MR. HILL: Objection. Calls for  
 (25) attorney-client privileged information or

## Page 91

(1) work product, and I instruct you not to  
 (2) answer that question.  
 (3) Q. (By Mr. Cordell) Mr. Nally, you  
 (4) are not employed by Cirrus any more,  
 (5) correct?  
 (6) A. That's right.  
 (7) Q. And you're certainly not part of  
 (8) their management team; isn't that true?  
 (9) A. That's true.  
 (10) Q. Probably lots of reasons why you  
 (11) might not want to be part of their  
 (12) management team?  
 (13) MR. CORDELL: Counsel, again,  
 (14) this is a fact witness that's been gone from  
 (15) the company for at least two years, and I  
 (16) ask that you reconsider your instruction.  
 (17) MR. HILL: Counsel, he has a  
 (18) consulting agreement with us and he's  
 (19) helping us in the case. And to the extent  
 (20) that it's not related to the facts of the  
 (21) invention or whatever, it is work product.  
 (22) It's attorney work product, and we're not  
 (23) going to allow him to talk about that today.  
 (24) Q. (By Mr. Cordell) Mr. Nally,  
 (25) based on your review of the oak 107 part

## Page 92

(1) could you answer questions about its  
 (2) features and functionality?  
 (3) A. I have not reviewed it.  
 (4) Q. You have not reviewed it?  
 (5) A. I just know of its existence.  
 (6) Q. So you only know what counsel  
 (7) has told you about it?  
 (8) A. Yes.  
 (9) Q. You actually met with Brooktree  
 (10) concerning its 885 part, didn't you?  
 (11) A. Yes.  
 (12) Q. Can you describe that turn of  
 (13) events?  
 (14) A. It was a long time ago. Really  
 (15) Cirrus Logic did not want to do the 2085 and  
 (16) I was sent out there to approach Brooktree  
 (17) to try to get them to do it.  
 (18) Q. Do you know why Cirrus didn't  
 (19) want to do the 2085?  
 (20) A. It was a manpower crunch.  
 (21) Q. Who did you meet with at  
 (22) Brooktree?  
 (23) A. Dale Rourke.  
 (24) Q. Do you recall when?  
 (25) A. All I could tell you was that I

## Page 93

(1) was there at C Graph in California and I  
 (2) drove from Anaheim down to Brooktree on that  
 (3) trip. I can't remember what year or what  
 (4) month.  
 (5) Q. Okay. What did you talk about  
 (6) with Mr. Rourke?  
 (7) A. Basically I gave them a very  
 (8) high-level description of the 2070 and by  
 (9) that I just gave them the bullet list, what  
 (10) it could do, that kind of stuff.  
 (11) Q. Of the 2070?  
 (12) A. 2070. Which we were designing  
 (13) at the time.  
 (14) Q. Did you discuss anything else  
 (15) with them?  
 (16) A. Not that I can recall.  
 (17) Q. You don't recall talking to them  
 (18) about the 2085?  
 (19) A. They never mentioned that to me.  
 (20) Q. This is your part, the 2085?  
 (21) A. The 2085? Oh, the 2085 - no,  
 (22) we actually - at that time they did not  
 (23) know - at that time 2085 was not on the  
 (24) books to be done. I mean, when I came back  
 (25) from that trip is when we decided to do the

## Page 94

(1) 2085.  
 (2) Q. So you went to Brooktree and  
 (3) described the 2070 and told them about your  
 (4) plans to create a part that ultimately  
 (5) became the 2085?  
 (6) A. Right.  
 (7) Q. Did they describe the 885 to  
 (8) you?  
 (9) A. No, they did not. At the time I  
 (10) was not aware. Going into that meeting I  
 (11) was not aware of the fact that they had  
 (12) anything like that in design. Coming out of  
 (13) the meeting I was kind of wondering what was  
 (14) going on.  
 (15) Q. What did they say to you that  
 (16) gave you reason for pause?  
 (17) A. Somebody said it sounded like  
 (18) something we were working on.  
 (19) Q. Do you remember who said it?  
 (20) A. No.  
 (21) Q. Do you remember the first  
 (22) occasion on which you learned about the  
 (23) Brooktree 885?  
 (24) A. I can't recall when I knew for  
 (25) sure anything was going on.

## Page 95

(1) Q. Brooktree has a patent on the  
 (2) 885. Are you aware of that?  
 (3) A. Yes.  
 (4) MR. CORDELL: I would ask the  
 (5) reporter to mark as Nally 3 United States  
 (6) Patent No. 5,406,306.  
 (7) (Deposition Exhibit 3  
 (8) was marked.)  
 (9) Q. (By Mr. Cordell) Mr. Nally, can  
 (10) you identify what we've marked as Exhibit 3?  
 (11) A. It's a patent. I see a video  
 (12) pipeline. I'm looking at the drawing, and  
 (13) I - I'm assuming this is the patent we are  
 (14) talking about, the Brooktree patent.  
 (15) Q. Well, I was about to ask you  
 (16) that.  
 (17) A. And it's got the Brooktree  
 (18) Corporation on it, and it looks to be, you  
 (19) know, very much like a dual pipeline  
 (20) system. It's been a while since I  
 (21) reviewed - since I looked at this patent,  
 (22) but I think this is it.  
 (23) Q. Do you recall the first occasion  
 (24) on which you became aware of this patent's  
 (25) existence?

## Page 96

(1) A. I think that this is one of the  
 (2) patents we researched in this - this patent  
 (3) that we have here.  
 (4) Q. By this patent do you mean the  
 (5) 525 patent?  
 (6) A. Yes.  
 (7) Q. Let me place before you what  
 (8) we've previously marked in this case as  
 (9) Respondent's Exhibit 2 and ask you to  
 (10) identify it, if you can.  
 (11) A. Yes, this is the patent that we  
 (12) are in litigation over, right.  
 (13) Q. This is your patent, the 525  
 (14) patent?  
 (15) A. Yes.  
 (16) Q. And when you say that you first  
 (17) became aware of the patent we've marked as  
 (18) Nally 3, the Siann patent, if we can call it  
 (19) that. I believe you said that you first  
 (20) became aware of it during the prosecution of  
 (21) the 525 patent, correct?  
 (22) A. To the best of my knowledge, if  
 (23) my memory serves me.  
 (24) Q. Can you describe the  
 (25) circumstances under which you became aware

## Page 97

(1) of the Siann patent?  
 (2) A. We went and did a -- I gave the  
 (3) lawyer who was working in this case some  
 (4) company names that I knew of people working  
 (5) in this area.  
 (6) Q. Do you recall who the lawyer  
 (7) was?  
 (8) A. Murphy.  
 (9) Q. James Murphy?  
 (10) A. James Murphy.  
 (11) Q. Do you recall what names you  
 (12) gave him?  
 (13) A. Brooktree and Resterop is the  
 (14) only two I can remember.  
 (15) Q. Brooktree and Resterop?  
 (16) A. Yes.  
 (17) Q. Do you recall why those names --  
 (18) A. Because there was information  
 (19) out there in the marketplace that these two  
 (20) guys were marketing products that I was  
 (21) worried about, 885 was one of them.  
 (22) MR. HILL: I just want to  
 (23) caution the witness not to reveal your  
 (24) communications with the patent attorney at  
 (25) the time. It's work product when you worked

## Page 100

(1) reference you knew about them because of  
 (2) your VAFC meetings?  
 (3) A. Yes.  
 (4) Q. And because you saw things in  
 (5) the press?  
 (6) A. Right.  
 (7) Q. Did you recall -- I mean, we've  
 (8) talked about VAFC meetings and they were  
 (9) there and they were fighting over the  
 (10) standard just like you were, correct?  
 (11) A. Right.  
 (12) Q. And you knew the stakes that if  
 (13) they won the standard they may take a take a  
 (14) piece of your business?  
 (15) A. Right.  
 (16) Q. But do you recall anything in  
 (17) the press that may have raised them on your  
 (18) radar screen?  
 (19) A. Yes, it was stuff in the press.  
 (20) Q. Do you remember anything in  
 (21) particular?  
 (22) A. No, just typical hype.  
 (23) Q. Companies wouldn't actually  
 (24) advertise a part before they had it  
 (25) available, would they?

## Page 98

(1) for Cirrus.  
 (2) THE WITNESS: Excuse me?  
 (3) MR. HILL: I would caution you  
 (4) not to reveal your communications with the  
 (5) patent attorney at the time, however, your  
 (6) awareness of certain facts, of course is --  
 (7) THE WITNESS: Okay.  
 (8) MR. HILL: -- may be  
 (9) discoverable, but the content of your  
 (10) communications with the patent attorney at  
 (11) the time, you shouldn't reveal he was --  
 (12) this is work product for Cirrus.  
 (13) THE WITNESS: Okay. I follow  
 (14) you.  
 (15) MR. CORDELL: Well, Counsel, I'm  
 (16) not so sure it's work product.  
 (17) MR. HILL: Attorney-client  
 (18) privilege.  
 (19) MR. CORDELL: Well, even that, I  
 (20) mean this is prosecution, after all.  
 (21) MR. HILL: Right.  
 (22) MR. CORDELL: Are you taking the  
 (23) position that any communication between the  
 (24) inventor and his attorneys would be  
 (25) privileged?

## Page 101

(1) A. Do it all the time.  
 (2) Q. That's what marketing is for,  
 (3) isn't it?  
 (4) A. Yeah.  
 (5) Q. Tell me about Supermac, what  
 (6) part or system were you concerned with with  
 (7) respect to them?  
 (8) A. I can't remember at this time.  
 (9) Q. You just know that they were a  
 (10) company on your radar screen?  
 (11) A. Yeah.  
 (12) Q. Now, did you give Mr. Murphy  
 (13) anything in writing?  
 (14) A. No.  
 (15) Q. Did you talk to him on the  
 (16) phone?  
 (17) A. I can't remember how.  
 (18) Q. You just know that you  
 (19) communicated something to him that raised  
 (20) these companies in -- at least that you were  
 (21) concerned about?  
 (22) A. Right.  
 (23) Q. Now, did you perform any kind of  
 (24) a search for these company's products?  
 (25) MR. HILL: I instruct the

## Page 99

(1) MR. HILL: Certainly it falls  
 (2) within the privilege if it's legal advice.  
 (3) MR. CORDELL: Let's find out if  
 (4) we run into those areas and you can make a  
 (5) proper instruction if you can find a basis  
 (6) to do so.  
 (7) Q. (By Mr. Cordell) What  
 (8) information in the marketplace were you  
 (9) worried about?  
 (10) A. Well, obviously we was in all  
 (11) this battle with Brooktree, okay. I knew  
 (12) that they had some very similar  
 (13) architecture. I had never seen any of their  
 (14) literature at that point, so that's -- you  
 (15) know, I knew about Brooktree's parts because  
 (16) I had been interacting with them and stuff  
 (17) like that. There was also press  
 (18) information, seeing press information where  
 (19) they were selling their stuff. Resterop,  
 (20) they had been in the business a long time.  
 (21) They were Supermac, I believe, is what they  
 (22) were before then or Supermac bought them.  
 (23) It's just that that was one of the routine  
 (24) companies that you did a search on.  
 (25) Q. So with respect to the Brooktree

## Page 102

(1) witness if you were doing that under  
 (2) counsel's guidance I don't want you to  
 (3) reveal that.  
 (4) THE WITNESS: Okay. How do I  
 (5) answer that?  
 (6) Q. (By Mr. Cordell) Well, I think  
 (7) you can answer that yes or no. Did you,  
 (8) Mr. Nally, do a search?  
 (9) A. Did I do a search? No.  
 (10) Q. Okay. Did you ask anybody else  
 (11) to do a search?  
 (12) A. I think I was asked who should  
 (13) we search.  
 (14) Q. Okay. So Mr. Murphy asked you  
 (15) rather than the other way around?  
 (16) A. Yeah.  
 (17) Q. Do you know if Mr. Murphy did a  
 (18) search?  
 (19) A. Yes.  
 (20) Q. And did he report the results of  
 (21) that search to you?  
 (22) A. That's the results right there.  
 (23) Q. So he sent you one or more  
 (24) patents to look at?  
 (25) A. Yes.

## Page 103

(1) Q. What did you do in response to  
(2) that?  
(3) A. I looked them over to make sure  
(4) that we weren't in violation.  
(5) Q. So you were more concerned about  
(6) violating somebody else's patent rights?  
(7) A. Yes.  
(8) Q. Did you also look at them to see  
(9) whether or not your patent included some of  
(10) the features that were set forth in those  
(11) other patents?  
(12) A. No.  
(13) Q. Did you review the Siann patent  
(14) we've marked as Nally 3 at that time?  
(15) A. Yes.  
(16) Q. Can you give us a time frame for  
(17) when this exchange took place with  
(18) Mr. Murphy?  
(19) A. No.  
(20) Q. We'll get to the file wrapper  
(21) and that may help you there.  
(22) A. Yeah.  
(23) Q. Turning to the third page, which  
(24) is entitled figure three, kind of turn it  
(25) sideways here in Nally 3. Can you tell us

## Page 104

(1) what elements, if any, were included in the  
(2) 2085 that you do not see here in figure  
(3) three?  
(4) A. In the 2085?  
(5) MR. HILL: Objection. Calls for  
(6) speculation.  
(7) THE WITNESS: Yeah, it would  
(8) be --  
(9) MR. HILL: Mr. Nally, if you  
(10) need time to review this patent you should  
(11) ask for it.  
(12) MR. CORDELL: We'll be happy to  
(13) let you take a break. In fact, I think the  
(14) reporter needs to change the tape, so why  
(15) don't we take a five-minute break?  
(16) VIDEOGRAPHER: We're off the  
(17) video record at 11:12.  
(18) (A recess was taken.)  
(19) Q. (By Mr. Cordell) Mr. Nally, I  
(20) believe there was a question pending. Do  
(21) you recall what it was?  
(22) A. Looking at figure three could I  
(23) say what is in the 2085 that is not in this  
(24) drawing.  
(25) Q. That's right.

## Page 105

(1) MR. HILL: I'm going to object  
(2) that it calls for a legal conclusion as to  
(3) the contents of the patent, but you can go  
(4) ahead and answer.  
(5) THE WITNESS: Okay. Just  
(6) looking at it the only thing that strikes me  
(7) is the video window logic. There was no  
(8) need for video window logic in the 2085. We  
(9) did not have a hardware register set  
(10) controlling the windowing.  
(11) Remember I said there was a code  
(12) that was sent across the interface. All of  
(13) the windowing and zooming and scaling was  
(14) done according to that code and that was  
(15) done so that we could do four video  
(16) windows. And that's the big difference  
(17) here. There may be other differences, but  
(18) that's the one that jumps at me.  
(19) Q. (By Mr. Cordell) Mr. Nally, did  
(20) you discuss this patent during the break  
(21) with counsel?  
(22) A. No.  
(23) Q. I probably should have pointed  
(24) it out earlier in the deposition, but while  
(25) you are under oath the judge has a rule that

## Page 106

(1) says that you can't talk to anybody about  
(2) your testimony so I know during the break  
(3) it's natural to get up and walk around and  
(4) talk to people.  
(5) A. Yes.  
(6) Q. But -- and I'm -- just try to  
(7) keep that in mind, if you can.  
(8) A. Sure.  
(9) Q. I know you wouldn't do it, but  
(10) sometimes these things can come bubbling  
(11) up.  
(12) How is the video window logic  
(13) shown here in figure three distinct from the  
(14) overlay control that I believe you described  
(15) with respect to the 2085?  
(16) MR. HILL: Objection. Calls for  
(17) a legal conclusion as to what's inside the  
(18) patent, but you can answer the question.  
(19) THE WITNESS: I'm making the  
(20) assumption that they are using registers to  
(21) control the window, and when I talked to you  
(22) earlier I didn't specify how you are  
(23) controlling the window.  
(24) There is more than one way of  
(25) doing that with the XY windowing -- really

## Page 107

(1) you've got XY windowing over in the 2070,  
(2) but you've got four of them. What we did in  
(3) 27 we took those four windows controlled and  
(4) we generated a code determining if you are  
(5) in a window, out of a window, zooming,  
(6) scaling, whatever, and sent it over.  
(7) So the window control here is an  
(8) XY windowing scheme that is fixed inside  
(9) this chip, okay, who says it can only do one  
(10) video window. Whereas with a code like  
(11) Cirrus Logic did, you can handle more than  
(12) video windowing and you can actually overlay  
(13) video windows on top of each other.  
(14) Q. (By Mr. Cordell) So I guess  
(15) what you're saying is that the 2085 had  
(16) additional flexibility that you don't see  
(17) here in figure three?  
(18) A. That's what I'm trying to say.  
(19) Q. Let me ask it a different way,  
(20) then. Do you see anything here in figure  
(21) three that was not also provided in the  
(22) 2085?  
(23) MR. HILL: Same objection, also  
(24) to the extent it's calling for expert  
(25) testimony, object on that basis also.

## Page 108

(1) THE WITNESS: I don't see  
(2) anything.  
(3) Q. (By Mr. Cordell) I note that  
(4) there's a display memory there at the  
(5) left-hand portion of the diagram. The 2085  
(6) did not include a display memory itself,  
(7) correct?  
(8) A. Well, this is misleading. Are  
(9) you saying that this thing has a display  
(10) memory inside the chip?  
(11) Q. No. I guess what I'm -- I think  
(12) you and I are on the same page on this. The  
(13) display memory is not part of this chip,  
(14) correct?  
(15) A. No.  
(16) Q. They just show the connections  
(17) to the display memory, correct?  
(18) MR. HILL: What chip are you  
(19) referring to?  
(20) MR. CORDELL: That would be the  
(21) figure three chip.  
(22) Q. (By Mr. Cordell) And by the  
(23) same token the 2085 isn't sold with a  
(24) display memory, correct?  
(25) A. Correct.

## Page 109

(1) Q. And that's up to the system  
(2) integrator to add the display memory to the  
(3) chip, correct?  
(4) A. True.  
(5) Q. Did you ever provide any  
(6) analysis to anyone of the Siann patent, the  
(7) figure three patent - I'm sorry, Exhibit 3  
(8) patent?  
(9) MR. HILL: Well, I'll object if  
(10) this is going to call for attorney-client  
(11) privileged information.  
(12) MR. CORDELL: It's just a yes or  
(13) no.  
(14) MR. HILL: You can answer yes or  
(15) no.  
(16) THE WITNESS: I never really  
(17) provided any information, but I did have a  
(18) brief conversation with a Cirrus lawyer.  
(19) Q. (By Mr. Cordell) With a Cirrus  
(20) lawyer? Do you recall who that was?  
(21) A. No. The question was: What do  
(22) you think, are we violating our patent. No.  
(23) Q. I see. So again, you were  
(24) looking at it from the perspective of an  
(25) infringement?

## Page 110

(1) A. Yes.  
(2) Q. Who else worked on the 2085?  
(3) A. Shared Hirsharma.  
(4) Q. I'm sorry, Mr. Nally, the  
(5) reporter is going to start beating you and I  
(6) up if she can't hear us.  
(7) A. Okay.  
(8) Q. She looks like she can - she  
(9) means it, too.  
(10) A. Shared Hirsharma. Reinhart - I  
(11) can't remember his first name. Bruce  
(12) Doyle.  
(13) Q. Do you know if any of these  
(14) individuals are still employed by Cirrus?  
(15) A. No.  
(16) Q. No, you don't know or no, they  
(17) are not employed?  
(18) A. No, they are not.  
(19) Q. Is anyone from your old Pixel  
(20) group left at Cirrus?  
(21) A. Not of this group. Not of the  
(22) group in this time frame.  
(23) Q. Well, we'll try to cover the  
(24) other guys as we move forward.  
(25) What did you work on after the

## Page 111

(1) 2085? I believe you said it was the 5440?  
(2) A. Yes.  
(3) Q. Now, there were some video  
(4) products that were - at least had some  
(5) common elements with the - I'm sorry,  
(6) strike that.  
(7) There were some graphics  
(8) products that preceded the 5440 that had  
(9) some common elements with the 5440; isn't  
(10) that right? 5300 series graphics  
(11) controllers?  
(12) A. I remember programs by names but  
(13) not by numbers. There was an effort in a  
(14) portable group to do something similar to  
(15) this.  
(16) Q. Okay. The portable group is  
(17) known as the Laguna line?  
(18) A. No. That was the Viking Nordic  
(19) line. Laguna came along after this.  
(20) Q. And the 5440 was part of the  
(21) Alpine family?  
(22) A. Yes. Well, 5430 was the  
(23) Alpine. 5440 was derived from the 5430.  
(24) Q. Okay. Did you work on the 5430  
(25) at all?

## Page 112

(1) A. No.  
(2) Q. So you came in and essentially  
(3) added the video features to the 5430 to  
(4) create the 5440?  
(5) A. Yes.  
(6) Q. Do you know who had the original  
(7) thought to create the 5440?  
(8) A. I believe I did, to the best of  
(9) my - I don't - I was in Dallas. I don't  
(10) know what people in Fremont were thinking.  
(11) I can't say if somebody preceded me in  
(12) thoughts here or not.  
(13) Q. Well, what lead you to the idea  
(14) of creating the 5440?  
(15) A. I needed something to do.  
(16) Q. The que was empty?  
(17) A. Yes.  
(18) Q. Well, was there a particular  
(19) market that you intended to address?  
(20) A. Yeah, I saw a need and an  
(21) opportunity here, and I did write a proposal  
(22) or - and I'm not sure how - how it grew  
(23) up. It was a change between me and  
(24) Fremont. Maybe it evolved from a number of  
(25) people. I'm not sure.

## Page 113

(1) Q. What was the market that you  
(2) intended to address?  
(3) A. There was a market emerging at  
(4) the time called playback market and I wanted  
(5) to take it further. I wanted to take it to  
(6) a video - live video market as well because  
(7) they had so many common elements that if you  
(8) did one it didn't make sense to me to do one  
(9) without the other.  
(10) Q. The idea there is that because  
(11) they had common elements you could  
(12) accomplish dual functionality with the same  
(13) parts?  
(14) A. Right.  
(15) Q. Save a little money?  
(16) A. Save some money.  
(17) Q. Now, a moment ago you said you  
(18) wrote a proposal. Do you recall when that  
(19) proposal was written?  
(20) A. No.  
(21) Q. We have seen reference to a  
(22) September 1993 document. Are you aware of  
(23) any September of 1993 document?  
(24) A. When?  
(25) Q. September of '93?

## Page 114

(1) A. That might have been a second  
(2) proposal. I think I presented a - the  
(3) first proposal I got negative feedback, it  
(4) was going to be too expensive, so I don't  
(5) know which one that is. I came back with a  
(6) second proposal. I can't remember which  
(7) one - which one do you have?  
(8) Q. Well, the problem is I don't  
(9) think I have either the first or the second,  
(10) so I was hoping you could tell me a little  
(11) bit about it.  
(12) A. The first proposal I wanted to  
(13) do chroma keying. I felt like we needed to  
(14) do two windows because I was really trying  
(15) to capture some of the conferencing,  
(16) teleconferencing market. Then I dropped  
(17) those two features in my second proposal.  
(18) Q. Can you tell us about when the  
(19) first proposal came in?  
(20) A. I really don't know.  
(21) Q. Who did you give it to?  
(22) A. A number of people. I think I  
(23) gave Brent Winchess a copy. He was the only  
(24) one - I gave it to some marketing people.  
(25) Bob Carnes, I think I gave him a copy.

## Page 115

(1) That's the only ones I can remember.  
 (2) Q. Was it 10 pages? 20 pages?  
 (3) A. It was more like about - 1  
 (4) think it was about less than 10, maybe less  
 (5) than 10. I'm digging deep for memories  
 (6) here.  
 (7) Q. Let me see what I have that  
 (8) might help you.  
 (9) MR. CORDELL: Let me have marked  
 (10) as Nally 4 a multipage document bearing  
 (11) Bates Number CLO0611 through 14.  
 (12) (Deposition Exhibit 4  
 (13) was marked.)  
 (14) Q. (By Mr. Cordell) Mr. Nally, can  
 (15) you identify what we have marked as Nally 4?  
 (16) A. It looks like the second  
 (17) proposal.  
 (18) Q. So I take it we can safely  
 (19) assume that the first proposal was prior to  
 (20) November 12, 1993?  
 (21) A. Yes.  
 (22) Q. And is this a document you  
 (23) produced as part of your job at Cirrus?  
 (24) A. Yes.  
 (25) Q. And something you maintained in

## Page 116

(1) your files thereafter as part of your  
 (2) business records?  
 (3) A. Not necessarily.  
 (4) Q. Okay. Obviously somebody had it  
 (5) in their files.  
 (6) A. I keep it - when I left, yeah.  
 (7) I left my computer with all of the stuff on  
 (8) it. What they did with it, I don't know.  
 (9) Q. Okay. But I take it this was  
 (10) not a personal document?  
 (11) A. No.  
 (12) Q. Was the first proposal similar  
 (13) to this except that it included the dual  
 (14) windowing features you just described?  
 (15) A. Yes.  
 (16) Q. So this was derived from the  
 (17) first proposal?  
 (18) A. Yes.  
 (19) MR. CORDELL: Counsel, we would  
 (20) obviously be very interested in the first  
 (21) proposal.  
 (22) MR. HILL: So would we.  
 (23) MR. CORDELL: Have you made an  
 (24) effort to locate it?  
 (25) MR. HILL: Absolutely.

## Page 117

(1) Q. (By Mr. Cordell) Mr. Nally, can  
 (2) you describe the computer that you had when  
 (3) you worked at Cirrus?  
 (4) A. 283 Compaq.  
 (5) Q. Some kind of barter transaction  
 (6) there?  
 (7) A. What?  
 (8) Q. Do they give you computers for  
 (9) graphics boards?  
 (10) A. What do you mean?  
 (11) Q. I'm being facetious, I'm sorry.  
 (12) So it was a Compaq 283 and you  
 (13) left it in Plano when you left the employ of  
 (14) Cirrus?  
 (15) A. Yes.  
 (16) MR. CORDELL: Counsel, has  
 (17) Mr. Nally's computer been located?  
 (18) MR. HILL: Not to my knowledge.  
 (19) MR. CORDELL: Has an effort been  
 (20) undertaken to locate it?  
 (21) MR. HILL: Yes.  
 (22) Q. (By Mr. Cordell) Mr. Nally, may  
 (23) we safely assume that your first proposal  
 (24) was circulated to the list that we see on  
 (25) the cover here of Nally 4?

## Page 118

(1) A. Pretty much the same list.  
 (2) MR. CORDELL: Counsel, do you  
 (3) know if each of these individuals have -  
 (4) files have been examined to determine  
 (5) whether or not the first proposal exists?  
 (6) MR. HILL: I don't know.  
 (7) MR. CORDELL: We would ask that  
 (8) that be done.  
 (9) MR. HILL: We'll take that under  
 (10) advisement.  
 (11) Q. (By Mr. Cordell) Did you get  
 (12) any feedback from the memo we marked as  
 (13) Nally 4?  
 (14) A. Yes, it was pretty much agreed  
 (15) to go with it.  
 (16) Q. Can you tell what differences,  
 (17) if any, existed between the proposal here in  
 (18) Nally 4 and what you had done previously in  
 (19) the 2085?  
 (20) A. The big difference here is that  
 (21) we was working with DRAM instead of VRAM.  
 (22) Brand new game.  
 (23) Q. Why is that?  
 (24) A. Because we didn't have the  
 (25) luxury of a backend row drop transparent.

## Page 119

(1) We had to now worry about collisions. We  
 (2) had to worry about losing data because you  
 (3) ran out of band width. Remember it was a  
 (4) single port, the VRAM is dual ported, we got  
 (5) a serial port, an I/O port. The serial port  
 (6) is all your rasterization that's done out of  
 (7) the serial port in VRAM. With that missing,  
 (8) all of that load is transferred to the I/O  
 (9) port, so that means everything has now got  
 (10) to go through that one port and it's a lot  
 (11) more hairy.  
 (12) Q. So now you have to handle both  
 (13) instructions and data flow through that  
 (14) single I/O port, correct?  
 (15) A. That's memory I/O, there's no  
 (16) instructions just data.  
 (17) Q. Good point. You have to handle  
 (18) both addressing and data through that single  
 (19) I/O port?  
 (20) A. Right. You really have to  
 (21) handle both I/O data in and out of the frame  
 (22) buffer and raster data going to the display  
 (23) out of that same memory interface.  
 (24) Q. Okay. And it is your view that  
 (25) the use of DRAM complicated the circuit that

## Page 120

(1) had previously existed with respect to the  
 (2) 2085?  
 (3) A. Yes.  
 (4) Q. And this required you to use a  
 (5) memory sequencer?  
 (6) A. No, the memory sequencer was  
 (7) already there. We just modified it.  
 (8) Q. You had to make the memory  
 (9) sequencer more sophisticated?  
 (10) A. Yes.  
 (11) Q. Can you tell us what changes had  
 (12) to be made to the memory sequencer of the  
 (13) 2085 in order to create the Nally 4 system?  
 (14) A. Yes, because now you had to have  
 (15) really two rastering operations instead of  
 (16) one. Remember before all you rastered was  
 (17) graphics data. You got another pipeline.  
 (18) Now you just double the raster  
 (19) requirements. Now you've got to raster data  
 (20) here and make a decision. You raster your  
 (21) graphics pipe as normal then you have to  
 (22) make a decision as when to raster the video  
 (23) data.  
 (24) Q. Okay. I'm going to have to take  
 (25) that apart a little bit. You said you

## Page 121

- (1) raster the graphics data as normal. Can you  
(2) describe that for us?  
(3) A. Normal graphics display. You  
(4) get your vertical synchs. You get your  
(5) horizontal synchs. It tells you when to  
(6) start a new line and you get your FIFO, you  
(7) know, and you keep your FIFO full and then  
(8) steady stream of rastering comes out of the  
(9) back in, that sequencer loads to the front  
(10) of the FIFO.  
(11) Q. So during display operations the  
(12) graphics pipeline is always outputting  
(13) graphics data, correct?  
(14) A. Correct.  
(15) Q. And just so I'm clear we are  
(16) speaking with respect to Nally 4, correct?  
(17) A. Yes.  
(18) Q. Was that different in the 2085?  
(19) A. There was no such device in the  
(20) 2085.  
(21) Q. There was no such device to do  
(22) what?  
(23) A. To raster data out of the  
(24) memory. Remember 2085 was a row drop, and  
(25) what happened was that the vertical synchs,

## Page 124

- (1) Q. And so the distinction here is  
(2) really on how the data gets from the memory  
(3) to the pipeline rather than what happens  
(4) downstream?  
(5) A. Right.  
(6) Q. The proposal of Exhibit 4  
(7) ultimately resulted in the 5440, correct?  
(8) A. Yes.  
(9) Q. Can you identify any differences  
(10) between the proposal here at Nally 4 and  
(11) what ultimately was marketed as the 5440?  
(12) MR. HILL: I'll make an  
(13) objection that the documents speak for  
(14) themselves here.  
(15) Q. (By Mr. Cordell) You can  
(16) answer.  
(17) A. It's the same.  
(18) Q. It's the same? Okay. Now,  
(19) turning to the second page of Nally 4  
(20) there's a section entitled playback and  
(21) overlay controls. Do you see that?  
(22) A. Yes.  
(23) Q. And the first mode, if we can  
(24) call it that, is entitled playback. Can you  
(25) describe the playback mode for us?

## Page 122

- (1) you get a new vertical synch dropping your  
(2) row you just clock it until the data is  
(3) gone. You get a new horizontal synch, you  
(4) go and you drop the first row. So VRAM you  
(5) just trigger a row drop and then you just  
(6) serially clock the data out.  
(7) Q. So it's your testimony that the  
(8) 2085 didn't raster data out of the memory?  
(9) A. No --  
(10) Q. It row dropped --  
(11) A. But it's still called rastering.  
(12) Q. They still call that rastering?  
(13) A. Because rastering is what you're  
(14) doing on the display.  
(15) Q. Right. So I'm just trying to be  
(16) clear.  
(17) A. Right.  
(18) Q. How would you distinguish, then,  
(19) the memory operations of Nally 4 from the  
(20) memory operations of the 2085?  
(21) A. Okay. In the 2085 when you  
(22) start a new line, while you was waiting --  
(23) when you was in your vertical blanking  
(24) interval you would drop a whole row of  
(25) pixels and then you have a serial port on

## Page 125

- (1) A. That is when the processor is  
(2) putting information into the frame buffer  
(3) through the interface to the host port, the  
(4) PCI bus in the sense is -- the host  
(5) processor is putting data --  
(6) Q. So this refers to the case  
(7) wherein the controller is obtaining data  
(8) from the host computer?  
(9) A. Yes.  
(10) Q. And the host processor is  
(11) perhaps monkeying around with the data, it's  
(12) getting it someplace, probably from  
(13) permanent storage and is passing it to the  
(14) card whenever it feels like it?  
(15) A. Yes, have to decompress it.  
(16) Q. Now, it says the data will be  
(17) stored in, quote, on-screen memory. Do you  
(18) see that?  
(19) A. Right. Yes.  
(20) Q. What is on-screen memory with  
(21) respect to the 5440?  
(22) A. In relation to the graphics data  
(23) it is -- you create a window and you  
(24) actually put the video in that location.  
(25) Q. Are you familiar with the

## Page 123

- (1) the VRAM, and you give it a clock, a serial  
(2) clock, it gives you the data, you give it a  
(3) clock, it gives you data, you give it a  
(4) clock, give it data. There is no bend width  
(5) consumption of the memory because you  
(6) dropped a whole row in a single clock  
(7) cycle. In the DRAM to get that same data  
(8) out, you've got to suspend any I/O operation  
(9) and do a massive hit through that I/O port  
(10) and say give me data address A, give me  
(11) address B, address -- you have to issue an  
(12) address for each byte or each block of data  
(13) you get, you've got to issue all these  
(14) addresses, you're consuming the address bus,  
(15) you're consuming the data bus, the I/O data  
(16) bus, and the whole time nothing else can go  
(17) on inside that memory. No other operation  
(18) can take place. So your rastering operation  
(19) or your display operation is consuming a  
(20) huge amount of your memory band width.  
(21) Q. But I think my original question  
(22) was whether or not the graphics pipeline in  
(23) the 2085 was always outputting graphics data  
(24) during active display operations?  
(25) A. Yes.

## Page 126

- (1) Microsoft DCI specification?  
(2) A. Yes.  
(3) Q. Are you familiar with the term  
(4) they use primary display surface?  
(5) A. Yes.  
(6) Q. Is on-screen memory here the  
(7) primary display surface?  
(8) A. Primary display surface can be  
(9) on screen or off screen. Primary when  
(10) you -- in DCI you generate a primary display  
(11) surface, you are allocating a window. That  
(12) is not necessarily -- the graphics window is  
(13) not a primary surface. That is the GUI  
(14) interface. The primary surface is a window  
(15) that you allocate for the DCI interface to  
(16) use, and that is usually considered to be  
(17) what is displayed on the screen. It doesn't  
(18) necessarily have to be in the -- it could be  
(19) allocated as a frontend process or allocated  
(20) as a backend process. Do you understand  
(21) what I'm saying?  
(22) Q. Let me try it a different way.  
(23) How do you define on-screen memory for the  
(24) 5440?  
(25) A. On-screen memory is the memory

## Page 127

that is being rastered out to the display.  
 Q. So is it your testimony, then,  
 that whatever is being displayed in any  
 instant must be resident in on-screen  
 memory?  
 A. Yes.  
 Q. In the 5440 frame buffer isn't  
 it true that you have a register that  
 defines the upper left-hand corner pixel of  
 the active display?  
 A. I believe there -- yes.  
 Q. And then there's an extent  
 register that defines how far the active  
 display goes within the frame buffer,  
 correct?  
 A. Best of my knowledge.  
 Q. Isn't that the on-screen memory  
 for the 5440?  
 A. On-screen memory is a part of  
 that.  
 Q. So you would include as well  
 any, for example, video information that is  
 being rastered out of a different portion of  
 memory on to the display?  
 MR. HILL: Maybe it would be

## Page 130

on-screen or off-screen memory?  
 A. Can I speak generically?  
 Q. Absolutely.  
 A. I'm not necessarily -- I'm not  
 saying this is the way this product works.  
 Q. Sure.  
 A. Okay. Because there's lots of  
 different ways you can do it.  
 MR. HILL: It's generic.  
 Mr. Nally, and I'll have to object to those  
 calling for a legal conclusion as far as the  
 patent goes, but talking about specifics of  
 these products, that's fine.  
 THE WITNESS: Okay. Talking  
 about specifics of this product, I do not  
 know exactly -- I can't remember the exact  
 mechanism, what register did this that and  
 the other, but the software, the CPU has to  
 know where that memory is. The driver takes  
 care of that. The only thing the hardware  
 has to know is where to raster from. So you  
 have to coordinate, you know, in a Windows  
 environment, in the environment this thing  
 was designed for. You have to -- the  
 software has to coordinate that backend

## Page 128

helpful if he had a chance to look at the  
 5440 spec at the same time.  
 Q. (By Mr. Cordell) I can restrict  
 any questions to Nally 4.  
 A. I don't understand the question.  
 Q. I'm trying to get your  
 definition of on-screen memory down.  
 A. Okay. Now, the pointer and the  
 extent, that defines the total frame buffer  
 in CPU space. The CPU has to have an  
 address range in order to talk to this  
 memory. That memory -- some of that memory  
 is on screen, some of that memory is off  
 screen.  
 Q. I see. Well, is there a pointer  
 and extent that tells the CPU what the  
 active display size of this particular  
 monitor is?  
 A. Yeah. To what -- in the drivers  
 and stuff like that, way down in the bowels  
 of Windows --  
 Q. Uh-huh.  
 A. -- yeah, there would have to be  
 some way of identifying the first pixel and  
 how many pixels you are going to raster.

## Page 131

control with what the front -- what the  
 processor sees as on-screen memory. So  
 there is -- really you can't say that there  
 is absolutely a register somewhere that  
 tells this thing this is the start of your  
 window and you just throw data through this  
 port and it will go there. The CPU has --  
 that's what the primary surface is. The  
 primary surface is when the CPU allocates  
 memory and he knows by the allocation of  
 that memory where that window is. There's  
 no registers that he goes and says give me  
 these registers, tell me where this window  
 is. There is a start position and an X and  
 a Y dimension and a pitch -- what you call a  
 pitch that tells you how many --  
 Q. How long the line is?  
 A. Okay. How long the line is.  
 And that's all managed in the software on  
 the frontend side. Only on the backend do  
 you have to have physical hardware telling  
 you where the address starts.  
 Q. Okay. Again, and I don't mean  
 to belabor it, but what the sentence says is  
 the data will be stored in on-screen

## Page 129

Q. I'm not trying to do anything  
 except understand what on-screen memory  
 means.  
 A. Oh, okay.  
 Q. So if there's -- we can -- and  
 I'll take whatever definition you agree  
 with, but I've got to have one.  
 A. Okay. Well, if --  
 MR. HILL: Let me interject. If  
 we are talking about the specific device  
 that you were designing here and what  
 on-screen meant for this device.  
 THE WITNESS: That's what I'm  
 confused about. You keep -- they register  
 this and they register that. I can't at  
 this point tell you, yes, this register does  
 that and that register does this. I just  
 know there's a mechanism for doing it and I  
 can't tell you exactly which register and  
 how it's done.  
 Q. (By Mr. Cordell) Okay. I don't  
 really care about the mechanism. What I'm  
 looking for is I've got a piece of data here  
 in the frame buffer shown on the first page  
 of Nally 4. How do I know whether it's an

## Page 132

memory.  
 A. Right.  
 Q. And I guess what I'm looking for  
 is some definition of what that is so that  
 we can tell whether or not the data is  
 stored in on-screen memory.  
 A. Talking about using the  
 rastering registers. The registers that  
 tells the rastering engine where to pick up  
 the first pixel, how many pixels to pick up,  
 and how many lines to pick up to CRT  
 controller.  
 Q. Okay.  
 A. The CRT controller frames with  
 this B synchs and A synch and B synch the  
 on-screen memory.  
 Q. Okay. Now, given that  
 definition does the system of Nally 4 place  
 video information into that on-screen memory  
 rectangle?  
 A. Yes.  
 Q. And it does so in PackJR format?  
 A. Yes.  
 Q. Does the system of Nally 4 also  
 place video data into the off-screen portion



## Page 133

(1) of the frame buffer?  
 (2) A. It can do either one of them.  
 (3) Q. In the same paragraph that we  
 (4) were talking about a moment ago on the  
 (5) second paragraph the Nally 4 the sentence  
 (6) reads: The overlay selector will be  
 (7) directing the data through the graphics  
 (8) pipeline whenever the CRTC is rastering  
 (9) outside the video display window and will be  
 (10) directing the data through the video  
 (11) pipeline when the CRTC is rastering inside  
 (12) the VDW. Do you see that?  
 (13) A. Yes.  
 (14) Q. Now, does that mean that the  
 (15) progress of the data through the graphics  
 (16) pipeline stops when the CRTC is rastering  
 (17) inside the video display window?  
 (18) A. Which one stops?  
 (19) Q. The graphics pipeline?  
 (20) A. If you are not using the color  
 (21) key, you want to stop it to save band width.  
 (22) Q. So physically the memory fetches  
 (23) out of the DRAM cease whenever --  
 (24) A. No, you're still fetching --  
 (25) you're now instead of fetching graphics data

## Page 134

(1) you're fetching video data.  
 (2) Q. But you are not also fetching  
 (3) graphics data to keep the graphics pipeline  
 (4) full?  
 (5) A. It's designed so that you could  
 (6) do it either way. Like I said, there is no  
 (7) reason to fetch graphics data if you are not  
 (8) color keying because if there is no  
 (9) transparency you know that all of the pixels  
 (10) in that rectangle is video data. So why  
 (11) waste memory band width fetching data that  
 (12) you're never going to display. So what you  
 (13) do is you stop fetching the graphics data  
 (14) and then when you know you're getting close  
 (15) to the windows end, you start fetching  
 (16) the -- you reprime your graphics line again,  
 (17) okay. But now if you're doing color keying  
 (18) you've got to keep fetching that graphics  
 (19) data all the time, and we was able to do  
 (20) that.  
 (21) Q. So in the window control mode,  
 (22) if we can call it that, the system in  
 (23) Nally 4 would stop fetching graphics data to  
 (24) save some band width when inside a video  
 (25) window?

## Page 135

(1) A. If the color key is turned off.  
 (2) Q. Is it also true that the system  
 (3) of Nally 4 would retrieve video data only  
 (4) when the CRTC is rastering inside the video  
 (5) display window?  
 (6) A. Yes.  
 (7) Q. There is another element here  
 (8) entitled digital video overlay. Can you  
 (9) tell us what the function of the digital  
 (10) video overlay is?  
 (11) A. That's what we was talking about  
 (12) where it's real live data coming in and  
 (13) you're storing real live data off screen and  
 (14) then to display it you have to make that  
 (15) contact switch as you're rastering.  
 (16) Q. Well, now is the digital -- I'm  
 (17) sorry. Is the digital video overlay the  
 (18) element that directs the memory controller  
 (19) to begin to raster out video data or is the  
 (20) video -- digital video overlay the element  
 (21) that elects to display data out of the video  
 (22) pipeline instead of the graphics pipeline?  
 (23) MR. HILL: Object to the form.  
 (24) THE WITNESS: Repeat that  
 (25) question.

## Page 136

(1) Q. (By Mr. Cordell) It's a tough  
 (2) one. I just want to make sure that --  
 (3) A. I'm not sure what you asked me.  
 (4) Q. Well, where in the system does  
 (5) the digital video overlay sit? Let's try it  
 (6) there.  
 (7) A. The first question is: What do  
 (8) you mean by digital video overlay. I'm  
 (9) making sure I understand that we're talking  
 (10) about the same thing here.  
 (11) Q. That's what I'm afraid of.  
 (12) That's what the document says, so I'm kind  
 (13) of constrained.  
 (14) A. Playback -- remember playback is --  
 (15) host processor feeding data through the host  
 (16) port. Digital video overlay is when you've  
 (17) got live video coming in through the video  
 (18) port. This is real time data.  
 (19) Q. Okay.  
 (20) A. Okay. Now what is the  
 (21) question?  
 (22) Q. Well, let's try the overlay  
 (23) selector.  
 (24) A. Okay.  
 (25) Q. Is that a distinct element

## Page 137

(1) within the system of Nally 4?  
 (2) A. Yes.  
 (3) Q. Where is it located?  
 (4) A. Going back to the drawing.  
 (5) Okay. That's located in the video display  
 (6) window.  
 (7) Q. So that would be in the VDW  
 (8) element on page one of Nally 4?  
 (9) A. Yes.  
 (10) Q. So the overlay selector is  
 (11) utilized to develop a control signal that is  
 (12) then fed to the overlay controls to toggle  
 (13) between the graphics and video pipelines?  
 (14) A. Right, and that arrow you see  
 (15) coming out of the bottom going to the  
 (16) overlay controller. I need new glasses.  
 (17) Q. The end of that paragraph then  
 (18) says: The overlay color key will be the  
 (19) only means of controlling the overlay. What  
 (20) does that mean?  
 (21) A. What was I thinking? Let me  
 (22) think. Okay. By that I mean it will be the  
 (23) only way of controlling the overlay inside  
 (24) the window.  
 (25) Q. I see. Because the video is

## Page 138

(1) turned on inside the window?  
 (2) A. Yes.  
 (3) Q. And if you want additional  
 (4) control you have to resort to the color key?  
 (5) A. That's right.  
 (6) Q. Now, is it possible to bring up  
 (7) a bit of graphics in the middle of the video  
 (8) window in the system of Nally 4?  
 (9) A. Yes, but remember if you've got  
 (10) the overlay -- wait a minute. Let's go  
 (11) back. Are you talking about digital video  
 (12) overlay or are you talking about --  
 (13) Q. Well, I'm really getting at  
 (14) overlay color key.  
 (15) A. Yeah. Okay. If the color key  
 (16) is turned on, then you have to continuously  
 (17) fetch graphics data.  
 (18) Q. I see.  
 (19) A. And remember that was an option  
 (20) to save band width. The programmer knows  
 (21) what he wants to do. If he's wanting to do  
 (22) a transparency then he knows he's got to  
 (23) sacrifice band width to do it.  
 (24) Q. And that's a mode that you tell  
 (25) the programmers about and encourage them to

## Page 139

(1) use if they want to?  
 (2) A. Right.  
 (3) Q. How big a frame buffer did the  
 (4) system of Nally 4 require?  
 (5) A. We targeted 1024 by 768, which  
 (6) was one meg. I think that we could actually  
 (7) get by with a half a meg, I'm not sure. It  
 (8) all depends on how many chips it takes to  
 (9) fill up a 32 bit wide frame buffer. I think  
 (10) we designed this thing so that we could  
 (11) advertise half meg in the 600 by 800.  
 (12) Q. You would simply drive the  
 (13) resolution of the display downward if you  
 (14) had only half meg?  
 (15) A. That was the reason of having  
 (16) the on-screen video, that you could do video  
 (17) without the additional memory.  
 (18) Q. I see. So for low memory  
 (19) applications you really had no choice but to  
 (20) put the video in the on-screen portion?  
 (21) A. That's right. That's what that  
 (22) was for was a very degenerated mode that --  
 (23) a cheap -- cheap application.  
 (24) Q. So if you want to save a few  
 (25) bucks you could get a very low capability

## Page 140

(1) memory structure and still achieve video?  
 (2) A. Right.  
 (3) Q. But in general for full  
 (4) resolution of a 1024 by 768 display you need  
 (5) roughly 750K of display memory?  
 (6) A. Right. Your memory is either  
 (7) half meg, meg, meg and a half, two meg, you  
 (8) know, in half meg increments.  
 (9) Q. But when you had an entire meg  
 (10) frame buffer, then you had roughly 250K  
 (11) available for off-screen memory?  
 (12) A. Yes. If you was running eight  
 (13) bit mode.  
 (14) Q. Obviously if you were running 16  
 (15) bit mode, then you needed a meg and a half  
 (16) to achieve a single buffer, correct, single  
 (17) on-screen buffer?  
 (18) A. Uh-huh.  
 (19) Q. And it's really a product of the  
 (20) number of pixels --  
 (21) A. Right.  
 (22) Q. -- times the number of bits of  
 (23) resolution that you have?  
 (24) A. Right.  
 (25) Q. And Since I know Mr. Hill is a

## Page 141

(1) math wizard -- I mean, the way I got to 750K  
 (2) was by multiplying 1024 times 768?  
 (3) A. That's right.  
 (4) Q. Times eight.  
 (5) Can you recall what of the  
 (6) precursor parts made by Pixel you used in  
 (7) the 5440 design?  
 (8) A. Just the 5430.  
 (9) Q. Just a 5430. Did you use  
 (10) anything out of the 2085?  
 (11) A. No, we really redesigned all of  
 (12) that.  
 (13) Q. Did the 2085 anticipate a 32 bit  
 (14) bus out of memory?  
 (15) A. Yes, if I recall.  
 (16) Q. So you launched the memo of  
 (17) Nally 4 and you got some kind of response  
 (18) from Cirrus, yes?  
 (19) A. Yes.  
 (20) Q. And it sounded like that was a  
 (21) pretty positive response, correct?  
 (22) A. Yes.  
 (23) Q. What did you do next?  
 (24) A. Shortly after this Schafer got  
 (25) involved, and by the time January 1st rolled

## Page 142

(1) around Schafer was --  
 (2) MR. CORDELL: Do you need to  
 (3) take a break?  
 (4) THE WITNESS: I've got to call  
 (5) work.  
 (6) MR. CORDELL: Well, it's now  
 (7) 12:10. Do you want to take a break for  
 (8) lunch?  
 (9) VIDEOGRAPHER: We're off the  
 (10) video record at 12:13.  
 (11) (A lunch recess was taken.)  
 (12) Q. (By Mr. Cordell) Mr. Nally,  
 (13) before we broke for lunch I believe you were  
 (14) going to describe how Mr. Schafer got  
 (15) involved in the 5440 project. Could you  
 (16) continue with your answer?  
 (17) A. About the turn of the year, when  
 (18) the year rolled over, Schafer was actually  
 (19) the design manager of this program and it  
 (20) was his program.  
 (21) Q. Meaning that he managed the  
 (22) engineers that were actually doing the  
 (23) design work on the 5440?  
 (24) A. Yes.  
 (25) Q. So you pretty much set the

## Page 143

(1) architecture and then you it to Mr. Schafer  
 (2) to implement that architecture?  
 (3) A. Right.  
 (4) MR. CORDELL: Let me have marked  
 (5) as Nally 5 a multipage document bearing  
 (6) Bates numbers CL10384 through 87.  
 (7) (Deposition Exhibit 5  
 (8) was marked.)  
 (9) Q. (By Mr. Cordell) Mr. Nally, can  
 (10) you identify what we have marked as Nally 5?  
 (11) A. Looks like this is the  
 (12) original -- the first proposal I presented.  
 (13) Q. Okay. This would be the first  
 (14) proposal to the second proposal of Nally 4?  
 (15) A. Yes.  
 (16) Q. Okay. Now, I notice there is no  
 (17) circulation list as with Nally 4. Do you  
 (18) recall who you gave Nally 5 to?  
 (19) A. No. This right here is  
 (20) apparently before I mailed it out or maybe  
 (21) it was a copy of what I mailed out.  
 (22) Q. Is this a draft?  
 (23) A. Because it don't have the to and  
 (24) from stuff on it. I usually did that only  
 (25) when I distributed it.

## Page 144

(1) Q. Now, I believe earlier today you  
 (2) identified the so-called first proposal as  
 (3) including two video windows. Do you  
 (4) remember that?  
 (5) A. Yes.  
 (6) Q. Do you see two video windows  
 (7) here?  
 (8) A. Yes.  
 (9) Q. VDW1 and VDW2?  
 (10) A. Yes.  
 (11) Q. I thought you also said that  
 (12) this system had chroma keying; am I wrong?  
 (13) A. Yes, if I remember right I did  
 (14) have chroma keying.  
 (15) Q. I don't see any chroma keying.  
 (16) Well, there does seem to be an  
 (17) input from the video pipeline into the  
 (18) overlay key, correct?  
 (19) A. Yes.  
 (20) Q. Does that represent the chroma  
 (21) key?  
 (22) A. It's been a long time since I've  
 (23) seen this, so I'm assuming -- well, I  
 (24) can't --  
 (25) MR. HILL: Take your time to

## Page 145

(1) review it.  
 (2) THE WITNESS: Well, I can't say  
 (3) that this is the final document or not  
 (4) because the drawing indicates that there  
 (5) is -- the drawing on page one indicates that  
 (6) there is a control coming from the video  
 (7) window, which -- no, coming from the video  
 (8) FIFO. Really the one coming from the video  
 (9) FIFO would be the chroma key going into the  
 (10) overlay controls, but over here in the back  
 (11) I don't mention anything about the video  
 (12) input. So I can't really tell if this is a  
 (13) complete document because it doesn't have  
 (14) the addressing information on it or not, or  
 (15) the who to list.  
 (16) Q. (By Mr. Cordell) chroma keying  
 (17) is a fairly difficult feature to implement  
 (18) technologically, isn't that true?  
 (19) A. Not difficult, just expensive.  
 (20) Q. That's because chroma data is  
 (21) derived rather than created?  
 (22) A. No, you are looking for a range.  
 (23) Q. So rather than be able to use a  
 (24) simple register, as in the case of color  
 (25) keying, you have to use some masking and

## Page 148

(1) Q. Are you familiar with those  
 (2) software calls?  
 (3) A. At one point I was.  
 (4) Q. Suffice it to say that those  
 (5) calls relate to the creation and management  
 (6) of more than one display surface on the  
 (7) physical display, correct?  
 (8) A. Yes.  
 (9) Q. And there is this reference to a  
 (10) primary display surface in the DCI spec,  
 (11) correct?  
 (12) A. Right.  
 (13) Q. And in the document we have  
 (14) marked as Nally 6 -- well, first of all, can  
 (15) you tell me whether you have ever seen this  
 (16) before?  
 (17) A. I can't recall. Chances are I  
 (18) have. Something like this at Cirrus Logic  
 (19) would cross my desk.  
 (20) Q. At page 795 there is a  
 (21) distinction drawn between the primary  
 (22) surface, off-screen surface, and overlay  
 (23) surfaces running on to page 796. Do you see  
 (24) that?  
 (25) A. Yes.

## Page 148

(1) some additional --  
 (2) A. You have to use them -- you have  
 (3) to look for if it's the color above this  
 (4) point or is it below that point.  
 (5) Q. The chroma?  
 (6) A. You are looking for a chroma  
 (7) range.  
 (8) Q. And that requires additional  
 (9) hardware elements implemented?  
 (10) A. Yes.  
 (11) Q. And that would be additional  
 (12) with respect to, say, a color key?  
 (13) A. Yes.  
 (14) Q. Let me have marked as Nally 6 a  
 (15) multipage document bearing Bates number  
 (16) 00794 through 97.  
 (17) (Deposition Exhibit 6  
 (18) was marked.)  
 (19) Q. (By Mr. Cordell) Mr. Nally, can  
 (20) you identify what we've marked as Nally 6?  
 (21) A. It looks like a definition of  
 (22) DCI. Let me look at it a little bit more.  
 (23) Okay. It's some kind of  
 (24) agreement between -- or it's some kind of  
 (25) definition of what Cirrus Logic and

## Page 149

(1) Q. Does primary surface here equate  
 (2) to on-screen memory?  
 (3) MR. HILL: Again, I object that  
 (4) he doesn't have personal knowledge of this.  
 (5) THE WITNESS: Okay. Now that I  
 (6) have read it, what is the question?  
 (7) Q. (By Mr. Cordell) The question  
 (8) is: Does the primary surface equate to  
 (9) on-screen memory?  
 (10) A. From what I can tell, yes.  
 (11) Q. And does off-screen surface  
 (12) equate to off-screen memory?  
 (13) A. Yes.  
 (14) Q. Did the 5440 comply with the DCI  
 (15) specification?  
 (16) A. Yes, it could handle this.  
 (17) MR. CORDELL: Let me have the  
 (18) reporter mark as Nally 7 a multipage  
 (19) document bearing Bates numbers 3640 through  
 (20) 3651.  
 (21) (Deposition Exhibit 7  
 (22) was marked.)  
 (23) Q. (By Mr. Cordell) Mr. Nally, can  
 (24) you identify what we have marked as  
 (25) Exhibit 7?

## Page 147

(1) Microsoft will have to do to get a DCI  
 (2) implementation in place.  
 (3) Q. First of all, what is a DCI  
 (4) implementation?  
 (5) A. That is a forerunner of Direct  
 (6) X, it's direct something or another  
 (7) interface or in active -- what it is. It is  
 (8) a way of bypassing the GUI interface to  
 (9) access the frame buffer directly.  
 (10) Q. Doesn't the DCI specification  
 (11) also set up a series of software calls that  
 (12) are used to manage Windows in a display  
 (13) environment?  
 (14) MR. HILL: I'll just make an  
 (15) objection that it doesn't appear that  
 (16) Mr. Nally has seen this document before, so  
 (17) he lacks personal knowledge.  
 (18) Q. (By Mr. Cordell) My question is  
 (19) not limited to the document, sir. Do you  
 (20) understand that?  
 (21) A. Yeah. Repeat the question.  
 (22) Q. Doesn't the DCI spec set up a  
 (23) series of software calls that are used to  
 (24) manage Windows in the display environment?  
 (25) A. Yes.

## Page 150

(1) A. This is apparently -- and I'm  
 (2) trying to recall here. As Schafer went off  
 (3) and started working on the hardware, I  
 (4) started putting together the software  
 (5) package that would go with the hardware.  
 (6) And this looks like somebody -- I'm not sure  
 (7) if it's my work or somebody else's work, to  
 (8) define what the software requirements were  
 (9) going to be. I don't think I wrote this  
 (10) document. I'm not sure.  
 (11) Q. Do you recall ever seeing it  
 (12) before?  
 (13) A. No.  
 (14) Q. Tell me this: Is the Alpine CDX  
 (15) another name for the 5440?  
 (16) A. I'm trying to remember what CDX  
 (17) stands for. I can't recall. We just play  
 (18) with names in that day.  
 (19) Q. Well, let me ask you this: With  
 (20) respect to the 5440, and I'm reading the  
 (21) first bullet point. Did the video data  
 (22) exist in the same frame buffer as the  
 (23) graphics data?  
 (24) A. Which video data, playback?  
 (25) Because this talks about playback data.

## Page 151

(1) Q. Okay.  
 (2) A. That's all it talks about is  
 (3) playback.  
 (4) Q. Okay. Well, let me ask you  
 (5) that. Did playback data exist in the same  
 (6) frame buffer as video data?  
 (7) A. Yes.  
 (8) Q. I'm sorry, as graphics data?  
 (9) A. Yes.  
 (10) Q. Would your answer be different  
 (11) for live video?  
 (12) A. Well, no, it wouldn't because it  
 (13) was a one frame buffer design.  
 (14) Q. The next sentence reads: When  
 (15) possible the video data resides in place --  
 (16) A. What --  
 (17) Q. I'm reading from the first  
 (18) bullet point on Nally 7.  
 (19) MR. HILL: Again, he lacks  
 (20) personal knowledge regarding this document.  
 (21) Q. (By Mr. Cordell) I'm just  
 (22) asking the question. The next sentence  
 (23) reads: When possible the video data resides  
 (24) in place, meaning that the area used to  
 (25) store the RGB frame data is used to store

## Page 152

(1) the video data. Do you see that?  
 (2) A. Are you on page one.  
 (3) Q. Yes. Do you recall that being  
 (4) true about the 5440?  
 (5) MR. HILL: Object to form.  
 (6) THE WITNESS: The 5440 had the  
 (7) capability of doing on-screen video.  
 (8) Q. (By Mr. Cordell) And in fact,  
 (9) that was one of its operation modes when it  
 (10) had a small frame buffer, right?  
 (11) A. Yes.  
 (12) Q. Who did the software for the  
 (13) 5440?  
 (14) A. Which piece?  
 (15) Q. Okay. Let's start with the  
 (16) drivers.  
 (17) A. All of the graphics drivers and  
 (18) the GUI drivers were done in Bellevue.  
 (19) Q. Do you know by whom?  
 (20) A. The people up there. I'm not --  
 (21) I can't tell you who did it, but the people  
 (22) up there at the time was Dave Pyke and Mike  
 (23) McEntire.  
 (24) Q. What other software did the 5440  
 (25) require?

## Page 153

(1) A. We had to have a DCI driver.  
 (2) You would have bios. And there was some  
 (3) other drivers that we had supply like  
 (4) presentation manager, some of these others  
 (5) that we contracted out to outside houses  
 (6) that weren't important, but you had to have  
 (7) them.  
 (8) Q. Part of the suite that you had  
 (9) to have?  
 (10) A. Yes.  
 (11) Q. Well, who did the DCI driver for  
 (12) the 5440?  
 (13) A. That was done under my direction  
 (14) by one of my engineers.  
 (15) Q. Who was that?  
 (16) A. Scott McDonald.  
 (17) Q. Who did the bios?  
 (18) A. That was done in Fremont, and I  
 (19) do not know the individual's name.  
 (20) Q. What about the presentation  
 (21) manager?  
 (22) A. I think that was contracted out.  
 (23) MR. CORDELL: I'll now ask the  
 (24) reporter to mark as Exhibit 8 a document  
 (25) bearing Bates number CL17724 through 30.

## Page 154

(1) (Deposition Exhibit 8  
 (2) was marked.)  
 (3) Q. (By Mr. Cordell) Mr. Nally, can  
 (4) you identify what we've marked as Exhibit 8?  
 (5) A. It's a -- what we call a  
 (6) marketing requirement or marketing spec for  
 (7) a product.  
 (8) Q. Sorry. Go ahead.  
 (9) A. For a product.  
 (10) Q. So the idea here is that you  
 (11) summarize all of the features from a  
 (12) marketing prospect that the product has to  
 (13) have and then the engineers know what  
 (14) they've got to build?  
 (15) MR. HILL: Object to form.  
 (16) THE WITNESS: Will you repeat  
 (17) that because it's --  
 (18) Q. (By Mr. Cordell) I'm just  
 (19) wondering what the function of this document  
 (20) is.  
 (21) A. Really it's the other way  
 (22) around. Some engineers get the marketing  
 (23) people to go sell things without --  
 (24) Q. Well, but don't the marketing  
 (25) folks have some input as to what goes into

## Page 155

(1) the chip?  
 (2) A. Yeah, this document is really  
 (3) generated by the architect. Our marketing  
 (4) architect relationship, shape respect would  
 (5) come from this. This actually defines what  
 (6) the product has got to be at a very high  
 (7) level.  
 (8) Q. Who puts this together?  
 (9) A. At Cirrus Logic it's a potpourri  
 (10) of people.  
 (11) Q. Well, let me ask it a different  
 (12) way. Who put this one together?  
 (13) A. Let me look at it. Well,  
 (14) there's a clue down at the bottom.  
 (15) Q. I wasn't going to lead you.  
 (16) A. Yes.  
 (17) Q. Does that mean Mr. Schafer  
 (18) prepared this document?  
 (19) A. Yes.  
 (20) Q. Do you recall having any input  
 (21) into it?  
 (22) A. Yes. Like I said, it's a  
 (23) potpourri of people, input from my spec went  
 (24) into this and input from David Keene went  
 (25) into this, input from some marketing people

## Page 156

(1) went into this, because it was at this point  
 (2) Schafer's program. He was responsible to  
 (3) define for upper management exactly what it  
 (4) was he was fixing to build.  
 (5) Q. Now, under the Alpine CDX  
 (6) features the first one says graphics  
 (7) features same as 5430. Do you see that?  
 (8) A. Yes.  
 (9) Q. Is that accurate? Were the  
 (10) graphics features for the 5440 the same as  
 (11) the 5430?  
 (12) MR. HILL: Object to form.  
 (13) THE WITNESS: I would have to  
 (14) say it was a cut and paste type thing. I  
 (15) wasn't involve in the graphics. I was just  
 (16) involved in the video.  
 (17) Q. (By Mr. Cordell) But your  
 (18) understanding is that they cut the graphics  
 (19) features out of the 5430 and pasted them  
 (20) into the 5440?  
 (21) A. I'm just saying this is probably  
 (22) what happened. I'm not saying this is what  
 (23) happened, because the 5430 was the baseline  
 (24) product.  
 (25) Q. The 5430 existed prior to the

## Page 157

- (1) 5440, correct?  
 (2) A. Yes.  
 (3) Q. Do you know for how long?  
 (4) A. I'm not sure, but I think that  
 (5) we was actually working on the 40 before the  
 (6) 30 shipped.  
 (7) Q. Do you know if the 5430 shipped  
 (8) in 1993?  
 (9) A. I don't know when it shipped.  
 (10) Q. Under video playback features I  
 (11) see optional on-screen storage of video  
 (12) allows acceleration with no additional frame  
 (13) buffer memory. Do you see that?  
 (14) A. Where at?  
 (15) Q. Under video playback features on  
 (16) the first page?  
 (17) A. Yes.  
 (18) Q. Does this again refer to the  
 (19) idea that for small buffer memory sizes you  
 (20) can store video in the on-screen portion of  
 (21) the frame buffer?  
 (22) A. Yes.  
 (23) Q. Now turning back to page 726 of  
 (24) this exhibit. Under the heading video  
 (25) related changes, this again states that

## Page 158

- (1) video may be defined within the on-screen  
 (2) portion of the video -- of the frame buffer  
 (3) memory, correct?  
 (4) A. Okay. Repeat the question.  
 (5) Q. My question was: Does this set  
 (6) forth that the 5440 includes an operating  
 (7) mode wherein video data is stored within the  
 (8) on-screen portion of the frame buffer  
 (9) memory?  
 (10) MR. HILL: Objection. The  
 (11) document speaks for itself.  
 (12) THE WITNESS: The document says  
 (13) on screen or off screen.  
 (14) Q. (By Mr. Cordell) And that would  
 (15) mean to you, as a former engineer at Cirrus,  
 (16) that video can be stored in the on-screen  
 (17) portion of the frame buffer?  
 (18) A. That was a requirement.  
 (19) Q. The next paragraph down  
 (20) summarizes a video data encoding screen  
 (21) known as PackJR. Do you see that?  
 (22) A. Yes.  
 (23) Q. What is PackJR?  
 (24) A. That is a format that was  
 (25) devised by me and another engineer there at

## Page 159

- (1) Pixel called John -- what was his name? It  
 (2) started with a J. That's where the JR came  
 (3) from. Jeff Niehaus. And what it is, we  
 (4) devised a way to pack four pixels of YUV  
 (5) data in 32 bits.  
 (6) Q. And the idea was that by doing  
 (7) so you could then store the video data in  
 (8) the same pixel depth that you would store  
 (9) graphics data?  
 (10) MR. HILL: Object to form.  
 (11) Q. (By Mr. Cordell) Did you answer  
 (12) the question?  
 (13) A. In a bit mode, yes.  
 (14) Q. Okay. And this facilitated  
 (15) storage of video data in the on-screen  
 (16) portion of the frame buffer, correct?  
 (17) MR. HILL: Objection.  
 (18) Ambiguous.  
 (19) THE WITNESS: Repeat.  
 (20) Q. (By Mr. Cordell) This ability  
 (21) to pack video data into the same pixel depth  
 (22) as graphics data facilitated storage of  
 (23) video data within the on-screen portion of  
 (24) the frame buffer, correct?  
 (25) MR. HILL: Same objection.

## Page 160

- (1) THE WITNESS: Well, that's in  
 (2) the eight bit mode.  
 (3) Q. (By Mr. Cordell) Yes.  
 (4) A. In the eight bit graphics mode.  
 (5) Q. And certainly for small frame  
 (6) buffer sizes chances are you were in the  
 (7) eight bit graphics mode?  
 (8) A. Yes, sir.  
 (9) MR. CORDELL: I would now like  
 (10) to have marked as Nally 9 United States  
 (11) patent 5,506,604.  
 (12) (Deposition Exhibit 9  
 (13) was marked.)  
 (14) Q. (By Mr. Cordell) Mr. Nally, can  
 (15) you identify what we have marked as Nally 9?  
 (16) A. That's the patent to cover the  
 (17) PackJR compression format.  
 (18) Q. I note that the -- and this is  
 (19) your patent, correct?  
 (20) A. Yes.  
 (21) Q. And Mr. Schafer and Mr. Niehaus  
 (22) are also named?  
 (23) A. Yes.  
 (24) Q. I note that the figure used for  
 (25) this patent is remarkably similar to that of

## Page 161

- (1) Nally 4; am I wrong?  
 (2) MR. HILL: Objection. The  
 (3) document speaks for itself.  
 (4) Q. (By Mr. Cordell) Can you  
 (5) examine Nally 4?  
 (6) A. Yes. They are the same.  
 (7) MR. HILL: Take your time,  
 (8) Mr. Nally, and make sure.  
 (9) Q. (By Mr. Cordell) Did you  
 (10) provide --  
 (11) A. Correction. There is a  
 (12) difference.  
 (13) Q. Okay. What is that difference?  
 (14) A. You see -- in Exhibit 4 you see  
 (15) a Y zoomer and a PackJR, and in Exhibit 9  
 (16) you see an encoder and a multiplexer and we  
 (17) drew the window control showing it how it  
 (18) actually controls the different portions of  
 (19) the pipeline.  
 (20) Q. Sort of expanded the video  
 (21) pipeline elements a bit there?  
 (22) A. We drew it to make it more  
 (23) clear.  
 (24) Q. Okay. Is it your recollection,  
 (25) though, that the document of Nally 4 formed

## Page 162

- (1) the basis for the patent set forth as  
 (2) Nally 9?  
 (3) A. It defined the pipeline that was  
 (4) used.  
 (5) MR. CORDELL: I'd now like to  
 (6) have marked as Nally 10 a document bearing  
 (7) Bates CL41087 through 105.  
 (8) (Deposition Exhibit 10  
 (9) was marked.)  
 (10) Q. (By Mr. Cordell) Mr. Nally, can  
 (11) you identify Exhibit 10?  
 (12) A. It looks like John Schafer's  
 (13) design specifications for the 5440.  
 (14) Q. Can you recall whether or not  
 (15) this was the earliest specification that he  
 (16) produced?  
 (17) A. I cannot recall that, no.  
 (18) Q. He did, in fact, produce a  
 (19) number of them, correct?  
 (20) A. Yeah, there's always  
 (21) iterations. There's always drafts that  
 (22) circulated.  
 (23) Q. Now, at some point Cirrus  
 (24) decided to seek a patent on this design,  
 (25) correct?

## Page 163

(1) A. Yes.  
 (2) Q. Do you recall when that was?  
 (3) A. It was have after I went through  
 (4) all of my marketing stuff because I didn't  
 (5) start collecting stuff for the patents until  
 (6) after I finished promoting the product.  
 (7) Q. Okay. Well, the specification  
 (8) that we've marked now as Nally 10, does this  
 (9) indicate to you a particular point in the  
 (10) development process and does this suggest  
 (11) that there were prototypes built or that you  
 (12) had sufficiently flushed out the design to  
 (13) know that it would work?  
 (14) MR. HILL: Objection.  
 (15) Compound.  
 (16) THE WITNESS: The best way for  
 (17) me to describe this is to - me and Schafer  
 (18) were a team. I came up with the ideas. He  
 (19) made them work. This tells me that he  
 (20) understood exactly what I wanted.  
 (21) Q. (By Mr. Cordell) And when you  
 (22) say this, do you mean Nally 10?  
 (23) A. Yes.  
 (24) Q. Could it have been as early as  
 (25) Nally 8 that he understood exactly what you

## Page 164

(1) wanted?  
 (2) A. Nally 8? He could have, but I  
 (3) could not derive that from this information.  
 (4) MR. HILL: The objection I was  
 (5) going to make is calls for speculation.  
 (6) Q. (By Mr. Cordell) So it would be  
 (7) your testimony that Mr. Schafer would be the  
 (8) guy who could tell us when he had pretty  
 (9) much figured out to how to make this thing  
 (10) work?  
 (11) MR. HILL: Objection. Calls for  
 (12) speculation.  
 (13) THE WITNESS: What is the best  
 (14) way to answer this? If I didn't think it  
 (15) would have worked I never would have  
 (16) proposed it. Okay. It was just a matter of  
 (17) getting the cost down.  
 (18) Q. (By Mr. Cordell) So even as far  
 (19) back as Nally 4 you were confident that the  
 (20) design you set forth here would in fact  
 (21) work?  
 (22) A. Right.  
 (23) Q. And it was really just a matter  
 (24) of working out the engineering details?  
 (25) A. Yes.

## Page 165

(1) Q. I won't belabor the record by  
 (2) marking all of the design specifications,  
 (3) but I note that there are a number of them  
 (4) going forward in time. Do you recall when  
 (5) the first occasion was wherein a prototype  
 (6) of the 5440 was produced?  
 (7) A. There are no prototypes.  
 (8) There's only engineering samples.  
 (9) Q. When was the first time it was  
 (10) sampled?  
 (11) A. I can't recall.  
 (12) Q. Who created the first samples?  
 (13) A. John Schafer.  
 (14) Q. I mean what fab?  
 (15) A. I do not know.  
 (16) Q. You mentioned a moment ago that  
 (17) you began to do some marketing work. Do you  
 (18) recall when you began to do the marketing  
 (19) work?  
 (20) A. Not really.  
 (21) Q. Before we get to that point let  
 (22) me just show you a document and ask you if  
 (23) you have ever seen it before?  
 (24) A. This -  
 (25) Q. We won't mark it at this time.

## Page 166

(1) A. This looks like some  
 (2) presentation material that I put together.  
 (3) I'm not sure if this is mine or Jim  
 (4) Fontaine's version of my presentation, but  
 (5) this is a presentation that I had input into  
 (6) constructing.  
 (7) MR. CORDELL: Why don't we go  
 (8) ahead and mark it, then. We'll mark that as  
 (9) Nally 10 - it's 11.  
 (10) (Deposition Exhibit 11  
 (11) was marked.)  
 (12) MR. CORDELL: For the record  
 (13) that's Bates numbers CL3265 through 72.  
 (14) Q. (By Mr. Cordell) Mr. Nally, do  
 (15) you recall ever making this presentation?  
 (16) A. I recall being in a number of  
 (17) presentations to a number of customer. I  
 (18) can't remember the material I presented. I  
 (19) can't say this was presented. All I know is  
 (20) that I was present at a number of  
 (21) presentations.  
 (22) Q. Well, do you remember any of the  
 (23) customers?  
 (24) A. We went to Japan and showed it  
 (25) to Toshiba and I can't - IBM Japan. We

## Page 167

(1) showed it to IBM. We showed it to Intel.  
 (2) Q. Both in the U.S.?  
 (3) A. Yes.  
 (4) Q. When did you go to Japan?  
 (5) A. I can't answer that.  
 (6) Q. Before Christmas or after  
 (7) Christmas?  
 (8) A. I can't remember whether it was  
 (9) cold or hot.  
 (10) Q. Do you remember the food?  
 (11) A. I remember Bob Connor took me  
 (12) out and gave me some sampling of the local  
 (13) cuisines.  
 (14) Q. That's a game played let's see  
 (15) what we can make him eat.  
 (16) A. Yeah.  
 (17) Q. Well, do you recall what you  
 (18) presented to Toshiba?  
 (19) A. No, I can't. I can't remember  
 (20) the exact presentations at any of those.  
 (21) Each one was a little bit different.  
 (22) Q. Did you present it to the  
 (23) Toshiba laptop guys?  
 (24) A. Yes.  
 (25) Q. And what about IBM Japan, was

## Page 168

(1) that also portables?  
 (2) A. Yes.  
 (3) Q. Anybody else at Toshiba besides  
 (4) the portables?  
 (5) A. I don't think so.  
 (6) Q. And what about IBM Japan,  
 (7) anybody besides the portables?  
 (8) A. No.  
 (9) Q. Were these multiple meetings or  
 (10) was it just sort of one meeting at each  
 (11) company?  
 (12) A. One meeting with each company.  
 (13) Q. And on the U.S. side who did you  
 (14) present to at IBM?  
 (15) A. I think they were in Lexington.  
 (16) Q. Is that Kentucky or  
 (17) Pennsylvania?  
 (18) A. Kentucky.  
 (19) Q. And what about at Intel? Who  
 (20) did you present to at Intel?  
 (21) A. Their board shop.  
 (22) Q. And where is that?  
 (23) A. Hillsboro. I think that's what  
 (24) it's called.  
 (25) Q. Do you recall any individuals at

## Page 169

(1) Intel?  
 (2) A. No.  
 (3) Q. What about at IBM?  
 (4) A. Tarabaum.  
 (5) Q. I'm sorry?  
 (6) A. Tarabaum, I think is his name.  
 (7) Matt Tarabaum.  
 (8) Q. Do you remember a concept at IBM  
 (9) called the super video card?  
 (10) A. No.  
 (11) Q. Who besides yourself attended  
 (12) the meetings on behalf of Cirrus?  
 (13) A. Jim Fontaine, Bob Connor, and  
 (14) the local sales rep of each location.  
 (15) Q. So Mr. Fontaine and Connor  
 (16) attended all four meetings?  
 (17) A. I believe so.  
 (18) Q. Do you recall the local rep for  
 (19) Toshiba?  
 (20) A. No.  
 (21) Q. And what about IBM Japan?  
 (22) A. Bob - no, not Japan, I don't  
 (23) know.  
 (24) Q. Okay. What about IBM U.S.  
 (25) A. Bob Poroky.

## Page 172

(1) A. Yes.  
 (2) Q. I'm a little confused because  
 (3) the date is December 13 and it seems to set  
 (4) a meeting for December 8th?  
 (5) A. You've got me confused, too,  
 (6) because -  
 (7) Q. Well, let me ask you this: Can  
 (8) you tell whether or not this related to the  
 (9) 5440 device?  
 (10) A. Because it says Alpine I must  
 (11) assume that - and because it says video for  
 (12) Windows, I can't remember - the name  
 (13) changed quite a few times, but I can't  
 (14) remember if - I'm pretty sure you can say  
 (15) that this is related to the 5440.  
 (16) Q. Now, just so we're clear. Where  
 (17) did Chns Reinhart work at the time?  
 (18) A. He worked at Pixel.  
 (19) Q. And Gerald Weiniger, where did  
 (20) he work?  
 (21) A. He worked at Pixel.  
 (22) Q. And John Norsworthy?  
 (23) A. He worked at Pixel.  
 (24) Q. So the only guy from out of town  
 (25) here would be Mr. Fontaine?

## Page 170

(1) Q. Do you know how to spell Poroky?  
 (2) A. I don't.  
 (3) Q. And how about Intel?  
 (4) A. I can't remember the guy's name.  
 (5) Q. But suffice it to say there was  
 (6) a sales guy that went along?  
 (7) A. Yes.  
 (8) MR. CORDELL: Let me have marked  
 (9) as Nally 12 a single-page document bearing  
 (10) Bates numbers CL17768.  
 (11) (Deposition Exhibit 12  
 (12) was marked.)  
 (13) Q. (By Mr. Cordell) Mr. Nally, can  
 (14) you identify Nally 12?  
 (15) A. Okay. What is the question  
 (16) again?  
 (17) Q. Can you identify this document?  
 (18) A. It looks like a memo from Jim  
 (19) Fontaine to the staff at Cirrus Logic  
 (20) covering something called Alpine video for  
 (21) Windows program kick off meeting. I don't  
 (22) recall - I don't remember anything about  
 (23) the meeting.  
 (24) Q. Did you attend the meeting?  
 (25) A. My name is here. I'm assuming I

## Page 173

(1) A. He was at Pixel. They're all  
 (2) from Pixel.  
 (3) Q. And I take it none of these  
 (4) gentlemen other than Mr. Fontaine  
 (5) accompanied you on the four meetings we  
 (6) discussed a few moments ago?  
 (7) A. The presentation - or the  
 (8) trips?  
 (9) Q. Right.  
 (10) A. Yeah, none of these other people  
 (11) went out on those trips.  
 (12) Q. Under marketing discussions are  
 (13) target customers for product feedback. Do  
 (14) you see that?  
 (15) A. Yes.  
 (16) Q. Do you recall any target  
 (17) customers?  
 (18) A. IBM and Intel.  
 (19) Q. So the four you identified?  
 (20) A. Pardon?  
 (21) Q. The four you identified?  
 (22) A. Yes, they were some of the  
 (23) customers. Anybody that built a PC was a  
 (24) target customer.  
 (25) Q. We'll reserve some of these

## Page 171

(1) did.  
 (2) Q. Mr. Nally, did you maintain a  
 (3) calendar while employed by Cirrus?  
 (4) A. I'm very sloppy.  
 (5) Q. Did you have any kind of a  
 (6) diary?  
 (7) A. Just what I kept on my computer.  
 (8) Q. Did you have a computerized  
 (9) schedule?  
 (10) A. It was hard for me to keep a  
 (11) schedule because I would get calls like  
 (12) Sunday night or Saturday night, be in  
 (13) Fremont Monday morning. So it was hard for  
 (14) me to really keep a schedule. I was on the  
 (15) run a lot.  
 (16) Q. Did you get calls saying appear  
 (17) at a deposition?  
 (18) A. No, it was be here for a meeting  
 (19) like this where decisions would be made and  
 (20) my presence needed to be there.  
 (21) Q. This particular meeting looks  
 (22) like it was to take place in Pixel - at  
 (23) Pixel, correct?  
 (24) A. Yes.  
 (25) Q. In Texas?

## Page 174

(1) documents for Mr. Schafer. We don't want him  
 (2) to feel lonely?  
 (3) MR. CORDELL: Let me have the  
 (4) reporter mark as Nally 13 a multipage  
 (5) document bearing Bates numbers 17731 through  
 (6) 17747.  
 (7) (Deposition Exhibit 13  
 (8) was marked.)  
 (9) Q. (By Mr. Cordell) Mr. Nally, can  
 (10) you identify what we've marked as  
 (11) Exhibit 13?  
 (12) A. It looks like a presentation put  
 (13) together by somebody other than me.  
 (14) Q. Do you know who?  
 (15) A. It looks like Jim Fontaine's  
 (16) work.  
 (17) Q. Where is Mr. Fontaine employed  
 (18) now, do you know?  
 (19) A. He's self-employed, as far as I  
 (20) know.  
 (21) Q. Do you know where?  
 (22) A. In Plano. The name of the  
 (23) company?  
 (24) Q. Yes, please.  
 (25) A. I think it's called The Fontaine

## Page 175

Group.  
 Q. Is he a consultant?  
 A. I guess you could call him a venture capitalist.  
 Q. Sounds like real money. Is he still active in the electronics industry?  
 A. Yes, as far as I know. He goes out and looks for ideas and tries to get people to finance them. He finds somebody with -- he makes the connection between the engineers with the good ideas and the people with the money, or at least that's what -- last time I talked to him that's what he was trying to do.  
 Q. And how long ago was that?  
 A. I think it was about two years ago.  
 Q. Turning back to page 738. Do you see the slide entitled Alpine AVA?  
 A. Yes.  
 Q. Does this describe the 5440 product?  
 MR. HILL: Objection. The document speaks for itself.

## Page 176

I would caution you not to speculate about that. If you know it, that's fine.  
 THE WITNESS: The only thing that bothers me is the first bullet, audio video.  
 Q. (By Mr. Cordell) So with the exception of the audio acceleration do the remainder of the features on page 17738 relate to the 5440?  
 A. It's a fairly accurate description.  
 Q. Turning now back to page 17741. Can you tell us what is Alpine AVA II was?  
 A. No, probably something David Keene was working on.  
 Q. He was one of the fellows out in California?  
 A. Yes, but this is the first time I've ever seen AVA II.  
 Q. And I take it you don't know to whom this presentation was made?  
 A. No.  
 Q. The 5440 was part of the Alpine line, correct?

## Page 177

A. Yes.  
 Q. What products were in the Nordic line?  
 A. The Nordic was the desktop products -- I mean, the flat panel products that parallel Alpine.  
 MR. CORDELL: Let me have marked, then, as Nally 14 a multipage document bearing Bates number CL17825 through 17835 -- make that 36.  
 (Deposition Exhibit 14 was marked.)  
 Q. (By Mr. Cordell) Mr. Nally, can you identify Exhibit 14 for us?  
 A. It looks like a presentation for the Nordic product line.  
 Q. Have you ever seen this before?  
 A. Probably have.  
 Q. Can you tell us what product it relates to?  
 A. The Nordic product line was the 32 bit flat panel graphic controllers.  
 Q. Do you happen to know what those are by model number?  
 A. I haven't got a clue. I do not.

## Page 178

Q. Could it be the 5465?  
 MR. HILL: Objection. Calls for speculation.  
 THE WITNESS: I can't -- I just don't remember the number.  
 Q. (By Mr. Cordell) That's fine. The flat panel parts were developed out in California, correct?  
 A. Yes.  
 Q. Who was the person that was doing that work for Cirrus?  
 A. The project leader was Vlad Bril.  
 Q. Vlad Bril?  
 A. Right.  
 Q. Do you know if Mr. Bril is still employed by Cirrus?  
 A. No, he's not.  
 Q. Do you know where he's employed?  
 A. No, I do not.  
 Q. It's somewhat faint on Exhibit 14, but there appears to be on several of the slides an IBM sort of in the shadow. Do you see that on your copy?  
 A. Yes. Some of them say IBM and

## Page 179

it looks like some say Intel.  
 Q. Do you know what the significance of that information is?  
 A. Yes.  
 Q. And what is it?  
 A. It was for security reasons. We made a presentation to a company. The question this has nothing to do with -- this has something to do with the way they market --  
 THE WITNESS: Is it okay to answer? I don't know if I'm violating --  
 MR. HILL: We're under a protective order here, so --  
 THE WITNESS: Okay.  
 MR. HILL: So if it's confidential information it's going to be protected as such.  
 THE WITNESS: What it amounts to is that if they ever discover this stuff on the street, they will would look at these markings and know where it came from because they gave this out to the customers.  
 Q. (By Mr. Cordell) I see. So Cirrus' effort to track this information so

## Page 180

that if it ever shows up in the hands of a competitor you are able to go back to that customer and yell at them for giving away your information?  
 A. Yes.  
 Q. There appears to be a date here on Nally 14 of December 1993. Do you see that?  
 A. Yes.  
 Q. Can you tell us whether or not that date is accurate?  
 A. I'm pretty sure it is.  
 MR. HILL: I'll just caution you not to speculate. If you know it, say it. If you don't, you don't.  
 THE WITNESS: Okay.  
 Q. (By Mr. Cordell) Do you recall whether or not Cirrus had entered into an agreement with IBM to maintain this presentation as confidential between Cirrus and IBM?  
 A. The presentations at the time was always under NDA.  
 Q. But the presentation wasn't being made in order to educate IBM,



## Page 181

correct?  
 MR. HILL: Objection.  
 Ambiguous.  
 Q. (By Mr. Cordell) Well, why was the presentation being made, the presentation of Nally 14?  
 A. To introduce them to a new product.  
 Q. The idea was to sell the product to them, correct?  
 A. Right.  
 Q. So while you may have had the understanding that they would keep it confidential, what you hoped to do was to get a purchase order from IBM relating to these products, correct?  
 A. Yes.  
 MR. HILL: Counsel, do you mind if I take a break?  
 MR. CORDELL: Sure.  
 (A recess was taken.)  
 VIDEOGRAPHER: We're on the video record at 2:36. Tape three.  
 Q. (By Mr. Cordell) Mr. Nally, before the break we were examining Nally 14

## Page 184

that, but will you agree with me that in the early part of 1994 it was Cirrus' practice to take a design out and show it to customers to make sure that they wanted to buy it before they actually built it?  
 A. Yes.  
 Q. Pretty standard practice in the video industry?  
 A. Yes.  
 Q. It's not much use to make a product nobody wants to buy?  
 A. Yes.  
 Q. Do you recall, then, whether or not with respect to the 5440 Cirrus took it out and showed it to customers and determined whether or not there was any interest in the product before they built it?  
 MR. HILL: Object to form.  
 THE WITNESS: We did have that, you know, at Cirrus that was the practice. Every product went through that cycle.  
 Q. (By Mr. Cordell) Turning to the second page of Nally 15 there is a note under priorities that reads: Alpine CDX,

## Page 182

and I just want to ask you, sir, do you recall whether or not this presentation was made to any of the four customers you identified; Toshiba, IBM Japan, IBM U.S. or Intel?  
 A. I can't say this was the material. Bob Connor was the marketing director for the Nordic product line making presentations.  
 Q. Do you know where Mr. Connor is employed today?  
 A. I think it's Applied Materials.  
 Q. Is that out in California?  
 A. Yes.  
 MR. CORDELL: Let me have marked as Exhibit 15 a two-page document bearing Bates Number CL0227 and 8.  
 (Deposition Exhibit 15 was marked.)  
 Q. (By Mr. Cordell) Mr. Nally, can you identify what we've marked as Exhibit 15?  
 A. It looks like a document from John Schafer. I don't know what it is.  
 Q. The heading reads VLSI design

## Page 185

and then in paren, formerly Alpine AV or Alpine AVA?  
 A. Right.  
 Q. Does that refresh your recollection of the genesis of the names for these products?  
 A. Alpine CDX meant playback only. These names at this time they don't have any meaning to me. At the time of this document they were different options of which way we would go with the design effort. So I can't really tell you what each one of them meant. You know what I'm saying? You take a look at your options and you try to get as many options as you can and then you select one that looks best.  
 Q. Well, in the commercial version of the 5440 did it include live video?  
 A. Yes.  
 Q. And did it include video playback?  
 A. Yes.  
 Q. So is it your testimony, then, that the 5440 included a feature not included within the Alpine CDX?

## Page 183

weekly status. Do you see that?  
 A. Yes, but I don't really know who it's -- what it's for, is it for his team or is it for John -- well, it's to John Norsworthy, who is his boss.  
 Q. I note that you are copied on the memo. Does that refresh your recollection?  
 A. Yeah, I've got a lot of documents I didn't read. I didn't pay close attention to day-to-day activity that did not relate to me.  
 Q. Under Alpine CDX do you see the project design specification?  
 A. Yes.  
 Q. And then over on the right side under activity the final sentence says waiting on customer feedback to determine the scope of WavePort support and Alpine CDX. Do you see that?  
 A. Yes.  
 Q. Can you tell me what this customer feedback was?  
 A. I can only speculate.  
 Q. Well, I don't want you to do

## Page 186

A. What is the best way to answer this?  
 MR. HILL: Do you need to look at the documents to refresh your recollection?  
 THE WITNESS: No, I'm just trying to put this in words that doesn't make us look like a bunch of renegades. We knew what we was going to do. We were just trying to get management to buy in, so you dress it up for management buy in.  
 Q. (By Mr. Cordell) So you were -- at least with respect to management you were feeding them slowly?  
 A. Yes.  
 Q. The idea being that once you got them on board with the basic chip, you could then also include the video -- the live video feature?  
 A. Yes.  
 Q. And in fact your specification of Nally 4 way back in November of 1993 did, in fact, include the live video?  
 A. Yes.  
 Q. Now, do you recall what it was

## Page 187

(1) that you talked to customers about? Did it  
(2) include the live video or not?  
(3) MR. HILL: Object to form.  
(4) THE WITNESS: I can't answer  
(5) that. I would say no.  
(6) Q. (By Mr. Cordell) Now, what is  
(7) it that leads you to say no?  
(8) A. Because management did not know  
(9) at this time that we was going to be able to  
(10) do the video window, live video.  
(11) Q. Do you recall when you informed  
(12) management about the live video window?  
(13) A. I do not.  
(14) Q. Obviously there may be documents  
(15) that will reflect your actual presentations  
(16) to customers like Toshiba, correct?  
(17) A. Not necessarily.  
(18) Q. Why do you say not necessarily?  
(19) A. How would you present something  
(20) to a customer in front of your manager and  
(21) it be a surprise to your manager?  
(22) Q. Well, let me -- is it then safe  
(23) for me to assume that Mr. Fontaine didn't  
(24) know about the live video feature?  
(25) MR. HILL: Objection. Calls for

## Page 188

(1) speculation.  
(2) THE WITNESS: This is something  
(3) we wanted to do. We always wanted to do  
(4) it. When management finally bought into it,  
(5) I can't really say.  
(6) Q. (By Mr. Cordell) In your memo  
(7) of Nally 4, though, you circulated to a  
(8) number of members of management, correct?  
(9) A. Yes. You have to understand the  
(10) politics at Cirrus.  
(11) Q. Okay. Enlighten me.  
(12) MR. HILL: I'll object to the  
(13) form of that.  
(14) Q. (By Mr. Cordell) Well,  
(15) Mr. Fontaine certainly could have picked up  
(16) the idea that you were going to provide live  
(17) video out of Nally 4, correct?  
(18) A. Yes, but it wasn't Jim  
(19) Fontaine's final decision.  
(20) Q. Whose decision was it?  
(21) A. Bill Chu's.  
(22) Q. Bill Chu?  
(23) A. Really a committee decision.  
(24) Q. Again, I'm curious as to why you  
(25) believe that you wouldn't have mentioned

## Page 189

(1) that feature to customers in the fall of  
(2) 1993.  
(3) MR. HILL: Objection. That  
(4) assumes facts not in evidence. There hasn't  
(5) been proof made that --  
(6) MR. CORDELL: You made your  
(7) objection.  
(8) THE WITNESS: I'll tell you what  
(9) I know, okay. There was a party at Cirrus  
(10) Logic that said the video windows was not  
(11) necessary, playback was where the money was,  
(12) convinced upper management that there was no  
(13) need for video -- live video.  
(14) At Pixel we always thought there  
(15) was, so we never let it die, but we couldn't  
(16) go out and sell it to the customers because  
(17) management said that is not a feature.  
(18) Whatever that feature list is, is all.  
(19) Q. (By Mr. Cordell) So was there  
(20) some decision made between the time of  
(21) Nally 4, which I guess was November 12, 1993  
(22) and your customer presentations to  
(23) de-emphasize the live video at least at the  
(24) corporate level?  
(25) MR. HILL: Objection. Assumes

## Page 190

(1) facts not in evidence.  
(2) THE WITNESS: Yes, that requires  
(3) speculation on my part.  
(4) Q. (By Mr. Cordell) Well, you seem  
(5) to know that there's some political battle  
(6) going on wherein unseen forces in management  
(7) had decided to -- that you didn't need the  
(8) video and I guess I'm curious as to whether  
(9) or not there was a meeting that took place  
(10) or -- how did you learn that?  
(11) MR. HILL: Object to the form.  
(12) THE WITNESS: One individual.  
(13) Q. (By Mr. Cordell) Who was that?  
(14) A. David Keene.  
(15) Q. And Mr. Keene conveyed to you  
(16) that upper management was not interested in  
(17) the live video?  
(18) A. No, he convinced upper  
(19) management that live video was not  
(20) important.  
(21) Q. I see. And how was that, then,  
(22) communicated to you?  
(23) A. Drop the feature.  
(24) Q. Did they call you up and say  
(25) drop the feature?

## Page 191

(1) A. I don't remember how it was  
(2) conveyed.  
(3) MR. CORDELL: Counsel, we are,  
(4) of course, keenly interested in any  
(5) materials within the company for  
(6) presentations that the witness has  
(7) identified. If we could have those as soon  
(8) as possible, that would be most helpful.  
(9) MR. HILL: Well, we have made an  
(10) exhaustive search.  
(11) MR. CORDELL: I would now like  
(12) to have marked as Nally 16 a document  
(13) bearing Bates CL1781 through 17821.  
(14) (Deposition Exhibit 16  
(15) was marked.)  
(16) Q. (By Mr. Cordell) Can you  
(17) identify what we have marked as Nally 16?  
(18) A. It's a memo from Dennis Jow  
(19) and --  
(20) MR. HILL: There's more than one  
(21) document here.  
(22) THE WITNESS: The first is a  
(23) document from Dennis Jow. The second one is  
(24) a document from John Nijima in Japan, and I  
(25) would have to read -- and I can't read

## Page 192

(1) Japanese, so I don't know what the other one  
(2) is. I would have to look at these. Give me  
(3) some time and I'll look at them and try to  
(4) tell you what it is.  
(5) Q. Okay. Why don't you go ahead?  
(6) MR. HILL: Counsel, why don't  
(7) you ask if he has seen it or not so we know  
(8) whether he has personal knowledge or not?  
(9) MR. CORDELL: Let's press  
(10) forward.  
(11) THE WITNESS: I vaguely remember  
(12) something about going over to IBM in the  
(13) area of video notebooks, but I can't  
(14) remember exactly what it was.  
(15) Q. (By Mr. Cordell) Does this  
(16) refresh your recollection of your meeting  
(17) with IBM Japan?  
(18) A. No, I can't recall if this  
(19) was -- I can't recall if I was involved in  
(20) this. I just can't remember, I'm sorry.  
(21) Q. I believe you were unable to  
(22) tell us who the local salesperson was in  
(23) your visit to IBM Japan. Does this help you  
(24) recall?  
(25) A. John Nijima -- John was present

## Page 193

(1) at all of the meetings, but I'm not sure if  
 (2) he was the marketing rep.  
 (3) Q. That's Nijima?  
 (4) A. John Nijima, yes.  
 (5) Q. Turning back to page 17815.  
 (6) This appears to be a request for quotation  
 (7) for a particular product by IBM; is that  
 (8) accurate?  
 (9) MR. HILL: Objection. The  
 (10) document speaks for itself.  
 (11) THE WITNESS: I have no idea  
 (12) what it is. This right here?  
 (13) Q. (By Mr. Cordell) Yes.  
 (14) A. I have no idea.  
 (15) Q. Turning to the functional  
 (16) overview at page 17818.  
 (17) A. Okay.  
 (18) Q. Can you tell me whether or not  
 (19) from this feature list you are able to tell  
 (20) whether this describes the 5440 product?  
 (21) MR. HILL: Object to form.  
 (22) THE WITNESS: Repeat the  
 (23) question.  
 (24) Q. (By Mr. Cordell) The question  
 (25) is whether or not from this feature list at

## Page 196

(1) Fontaine transferred to Fremont. I don't  
 (2) know when.  
 (3) Q. (By Mr. Cordell) He didn't  
 (4) transfer to Tokyo?  
 (5) A. No.  
 (6) Q. He was always in the U.S. when  
 (7) employed by Cirrus?  
 (8) A. Right.  
 (9) MR. CORDELL: Now I'm afraid I  
 (10) need a break.  
 (11) VIDEOGRAPHER: Off the video  
 (12) record 2:57.  
 (13) (End of Volume I.)  
 (14)  
 (15)  
 (16)  
 (17)  
 (18)  
 (19)  
 (20)  
 (21)  
 (22)  
 (23)  
 (24)  
 (25)

## Page 194

(1) 17818 can you tell whether or not this  
 (2) corresponds to the 5440 product?  
 (3) MR. HILL: Same objection.  
 (4) THE WITNESS: This was not the  
 (5) 5440. The 5440 did not support LCD.  
 (6) Q. (By Mr. Cordell) Are you aware  
 (7) of any other product made by Cirrus in  
 (8) January of 1994 that would have corresponded  
 (9) to this list of functions?  
 (10) MR. HILL: Object to form.  
 (11) THE WITNESS: Only Nordic.  
 (12) Q. (By Mr. Cordell) Only Nordic?  
 (13) A. Only Nordic could. I don't know  
 (14) if it does meet the spec or not.  
 (15) MR. HILL: I caution you not to  
 (16) speculate. If you know, tell him.  
 (17) Q. (By Mr. Cordell) Are you  
 (18) familiar with the Everest product line at  
 (19) Cirrus?  
 (20) A. Yes. Everest was a 64 bit  
 (21) version of Alpine, if I recall.  
 (22) Q. So it came later in time?  
 (23) A. Yes.  
 (24) Q. Jim Fontaine was employed by  
 (25) Cirrus in Plano, correct?

## Page 197

(1) UNITED STATES INTERNATIONAL TRADE COMMISSION  
 (2) WASHINGTON, D.C. 20436  
 (3)  
 (4) BEFORE THE HONORABLE DEBRA MORRIS  
 (5) ADMINISTRATIVE LAW JUDGE  
 (6) INV. NO. 337-TA-412  
 (7)  
 (8) IN THE MATTER OF  
 (9) CERTAIN VIDEO GRAPHICS DISPLAY  
 (10) CONTROLLERS AND PRODUCTS  
 (11) CONTAINING SAME  
 (12)  
 (13)  
 (14)  
 (15) VIDEOTAPED  
 (16) ORAL DEPOSITION  
 (17) OF  
 (18) ROBERT M. NALLY  
 (19) VOLUME II  
 (20) November 2, 1998  
 (21)  
 (22)  
 (23)  
 (24)  
 (25)

## Page 195

(1) A. Yes.  
 (2) Q. Do you know whether or not he  
 (3) responded to this, quote, new request from  
 (4) IBM shown here on the front page of  
 (5) Nally 16?  
 (6) MR. HILL: Objection. Calls for  
 (7) speculation.  
 (8) Q. (By Mr. Cordell) Where was  
 (9) Mr. Jow employed?  
 (10) A. Fremont.  
 (11) Q. So this is an interoffice memo  
 (12) between Mr. Jow in Fremont and Mr. Fontaine  
 (13) in Plano?  
 (14) MR. HILL: Objection. The  
 (15) document speaks for itself.  
 (16) THE WITNESS: It's addressed to  
 (17) Jim Fontaine.  
 (18) Q. (By Mr. Cordell) And he was in  
 (19) Plano?  
 (20) A. Yes.  
 (21) Q. What I'm trying to get at here  
 (22) is this is a U.S. exchange as opposed to a  
 (23) non U.S. exchange?  
 (24) MR. HILL: Object to form.  
 (25) THE WITNESS: At some point

## Page 198

(1) ANSWERS AND DEPOSITION OF  
 (2) ROBERT M. NALLY, produced as a witness  
 (3) at the instance of the Respondent,  
 (4) ATI Technologies, Inc., taken in the  
 (5) above-styled and numbered cause on the 2nd  
 (6) day of November, 1998, at 3:18 o'clock p.m.,  
 (7) before Sharon Gayle, a Certified Shorthand  
 (8) Reporter in and for the State of Texas, at  
 (9) the Hyatt Regency Hotel West, located at  
 (10) Dallas-Fort Worth International Airport,  
 (11) Room 645, County of Dallas, State of Texas.  
 (12)  
 (13)  
 (14)  
 (15)  
 (16)  
 (17)  
 (18)  
 (19)  
 (20)  
 (21)  
 (22)  
 (23)  
 (24)  
 (25)

## Page 199

## APPEARANCES

MR. RUSSELL B. HILL  
MR. SETH E. BROWN  
Morrison & Foerster, L.L.P.  
425 Market Street  
San Francisco, California 94105-2482

## APPEARING FOR THE PETITIONER

MR. RUFFIN B. CORDELL  
MS. LINDA LIU KORDZIEL  
Fish & Richardson, P.C.  
601 Thirteenth Street N.W.  
Washington, D.C. 20005

## APPEARING FOR THE RESPONDENT

Also Present: Mr. Dick Roach, Videographer

## Page 200

## PROCEEDINGS

THE VIDEOGRAPHER: We're on the  
video record, 3:17, Tape 3.

## CONTINUED EXAMINATION

BY MR. CORDELL:

Q. Mr. Nally, we -- before the break  
we were talking about some of your marketing  
activities with respect to the products at  
issue here, and I just want to make sure that  
I'm absolutely crystal clear. Do you recall  
any meetings with customers during December of  
1993? I mean specifically recall a meeting  
with -- December --  
A. I can't recall the dates of any  
meetings with any customers.  
Q. And the same would be true for  
January of 1994?  
A. Yes.  
Q. You remember that you had some  
meetings around that time frame, but you can't  
place when?  
A. Right.  
Q. Okay. Can you indicate any source  
of recorded information that might help us pin  
down when those dates occurred?

## Page 201

A. Yeah. If you found the airline  
tickets, the travel -- travel vouchers.  
Q. That's a good idea. You obviously  
had to submit your expenses to someone in  
accounting at Cirrus?  
A. Right.  
Q. And they reimbursed you for the  
costs?  
A. (Witness nods.)  
Q. Maybe even advanced the costs?  
A. Reimbursed.  
Q. A lot of companies are like that.  
MR. CORDELL: Counsel, we would  
obviously ask that you produce the travel  
vouchers for, let's say, November, December,  
January, and February of that relevant time  
frame.  
MR. HILL: Can you give me this in  
a letter? I'd appreciate that.  
MR. CORDELL: Yeah. I've done  
that request from several of your colleagues,  
but, you know, given the time that's involved  
here, don't wait for me to write you a  
letter. This is one you can write at the top  
of your list.

## Page 202

MR. HILL: I'm looking forward to  
hearing from you, though.  
Q. (By Mr. Cordell) Mr. Nally, when  
you went to Japan, you obviously had to have a  
passport, correct?  
A. Yes.  
Q. Do you still have that passport?  
A. It's being renewed.  
Q. Do you have the old one?  
A. Don't you have to surrender  
those?  
Q. Yeah. Usually they'll punch a big  
hole in it and hand it back to you.  
A. I don't know.  
Q. Would you mind checking?  
A. I will check.  
Q. And if you do, can you give it to  
Mr. Hill and he'll give it to me?  
A. Okay.  
Q. That may help us pin down when you  
went to Japan, for example. Do you recall  
whether or not you obtained a visa to go to  
Japan?  
A. You do not need a visa, do you? I  
can't --

## Page 203

Q. It depends on what you're doing, I  
think.  
A. I can't remember. I've been to  
Japan several times. I can't remember ever  
having to have a visa.  
Q. Well, development on the Alpine  
CDX proceeded through the spring of 1994,  
correct?  
A. If my timing -- I mean, if my  
years are lined up right.  
Q. Okay. Well, we certainly have  
a --  
A. Yeah.  
Q. -- specification from January and  
then March of 1994 in Nally 8 and --  
A. Right.  
Q. -- 10, correct?  
A. Yes.  
Q. Do you recall when the  
nomenclature changed from Alpine CDX to 5440?  
A. No, I do not.  
Q. At some point in the summer of  
1994, Cirrus began working on the patent that  
ultimately became the 525 patent, correct?  
A. I can't say if it was summer or

## Page 204

fall or winter. I'm not sure exactly when.  
Q. Was there a committee at Cirrus  
that was in charge of handling patent matters?  
A. I guess.  
Q. Okay. Well, let me just ask you  
this: What do you know about the patent  
process at Cirrus in 1994?  
A. I submitted proposals to my boss  
and he'd okay them or didn't okay them.  
Q. Who was your boss in 1993 at  
Cirrus?  
A. John Norsworthy. I think I was  
still working for John. It's either John  
Norsworthy or Joe -- or Joe Winegger. At some  
point in there I changed from one to the  
other.  
Q. What was Mr. Norsworthy's title in  
1993?  
A. VP of engineering.  
Q. And what about Mr. Winegger?  
A. I think he was director of  
marketing.  
Q. So your primary function shifted  
from more of an engineering focus to more of a  
marketing focus?

## Page 205

- (1) A. Yes.  
 (2) Q. And that was sometime in the  
 (3) 1993-'94 time frame?  
 (4) A. I'm not exactly sure what year it  
 (5) was. It could have been as early as '92. I  
 (6) don't know.  
 (7) Q. Well, you certainly did the  
 (8) initial specification for the 5440, correct?  
 (9) A. Yes.  
 (10) Q. And that was in the fall of '93?  
 (11) A. Finished in the fall of '93.  
 (12) Q. Do you recall when you started  
 (13) that project?  
 (14) A. (Witness shakes head.)  
 (15) Q. So the process that existed in  
 (16) 1994 at Cirrus with respect to patents is that  
 (17) you created a proposal and you gave it to your  
 (18) boss, and he decided whether or not it was  
 (19) worth patenting?  
 (20) A. Yes.  
 (21) Q. Do you recall creating a specific  
 (22) written document for that purpose with respect  
 (23) to what became the 525 patent?  
 (24) A. Really it was a write-up that was  
 (25) given to the lawyer that became the patent

## Page 208

- (1) drawings for the patent?  
 (2) A. The final drawing was done by the  
 (3) law firm. I ain't sure what documents they  
 (4) worked from.  
 (5) Q. Well, do you recall handing him  
 (6) any drawings?  
 (7) A. Yes.  
 (8) Q. Okay. What kinds of drawings did  
 (9) you give him?  
 (10) A. Basically block diagrams very  
 (11) similar to the ones he produced. I ain't  
 (12) going to say they're identical, but I'll say  
 (13) that what they produced was -- came from what  
 (14) I gave him.  
 (15) Q. So you met with Mr. Murphy. You  
 (16) gave him some material. He disappeared for a  
 (17) while and then showed up again. Did you have  
 (18) another meeting?  
 (19) A. Two or three.  
 (20) Q. Okay. Do you recall when the  
 (21) second one was?  
 (22) A. (Witness shakes head.)  
 (23) Q. How about the third one?  
 (24) A. No, I can't.  
 (25) Q. And can you think of anything that

## Page 206

- (1) application.  
 (2) Q. And was this write-up something  
 (3) different from all the exhibits that we have  
 (4) marked before you?  
 (5) A. I don't really -- I can't recall  
 (6) on this one. I could have -- I can't recall.  
 (7) I don't want to speculate.  
 (8) Q. But you recall giving some written  
 (9) document that was ultimately used as the basis  
 (10) for the application?  
 (11) A. Yes.  
 (12) Q. And you gave this to your boss?  
 (13) A. Yes.  
 (14) Q. Now, do you recall the first time  
 (15) you became aware that a formal patent  
 (16) application process was underway?  
 (17) A. Is there one? Oh, oh, oh, the  
 (18) formal -- this patent was formally --  
 (19) Q. When did you find out that the  
 (20) company was going to move forward for the  
 (21) patent?  
 (22) A. When? I can't give you a date.  
 (23) Q. Okay. Sometime in 1994?  
 (24) A. The patent was issued in 1994?  
 (25) Q. Well, the patent was filed in

## Page 209

- (1) would help us pin down when these meetings  
 (2) took place?  
 (3) A. No.  
 (4) Q. Mr. Murphy came back with a draft  
 (5) application. Did he ask you to review it?  
 (6) MR. HILL: I'm going to object to  
 (7) the extent it calls for attorney-client  
 (8) privileged information.  
 (9) But you shouldn't reveal the  
 (10) contents of communications between you and  
 (11) Mr. Murphy --  
 (12) THE WITNESS: Okay.  
 (13) MR. HILL: -- but you can  
 (14) certainly say whether you're aware of certain  
 (15) facts or not.  
 (16) THE WITNESS: Okay.  
 (17) MR. CORDELL: I don't really know  
 (18) where that goes.  
 (19) Q. (By Mr. Cordell) Did he ask you  
 (20) to review a draft application?  
 (21) MR. HILL: Same objection, but  
 (22) this doesn't constitute a waiver. He can  
 (23) answer the question.  
 (24) A. Yes, there was a review process.  
 (25) I reviewed.

## Page 207

- (1) January of 1995.  
 (2) A. Yeah. It would -- it was in '94,  
 (3) sometime in '94.  
 (4) Q. Do you recall meeting with the  
 (5) patent lawyer that wrote the patent  
 (6) application?  
 (7) A. Yes.  
 (8) Q. Mr. Murphy? --  
 (9) A. Yes.  
 (10) Q. And was that after you had  
 (11) provided him with the -- or that someone had  
 (12) provided him with the written write-up of the  
 (13) application?  
 (14) A. If I recall, I presented that to  
 (15) him on our first meeting.  
 (16) Q. Okay. And you walked him through  
 (17) the technology and told him how it worked?  
 (18) A. Yeah.  
 (19) Q. Pretty standard patent process?  
 (20) A. (Witness nods.)  
 (21) Q. Is that a yes?  
 (22) A. Yes.  
 (23) Q. The reporter will smack me if we  
 (24) don't speak out loud.  
 (25) The -- do you recall who did the

## Page 210

- (1) Q. (By Mr. Cordell) Did you revise  
 (2) the application?  
 (3) A. Yes.  
 (4) Q. Did you correct technical problems  
 (5) with the application, for example?  
 (6) A. Yes.  
 (7) Q. Or he said the color look-up table  
 (8) sat in front of the frame buffer, and you put  
 (9) it behind it? That kind of thing?  
 (10) A. Yeah. To make the language  
 (11) clearer to me to understand it.  
 (12) Q. Okay. Do you recall whether or  
 (13) not Mr. Murphy, on the occasion of the second  
 (14) meeting, had attempted to write claims for the  
 (15) application?  
 (16) A. I can't recall.  
 (17) Q. Do you know what claims are?  
 (18) A. Yes.  
 (19) Q. Well, did, at any time, anyone ask  
 (20) you to write claims for the 525 patent?  
 (21) MR. HILL: Same objection.  
 (22) I instruct you not to answer in a  
 (23) way that would reveal the contents of  
 (24) communications.  
 (25) A. I better not answer.

## Page 211

(1) Q. (By Mr. Cordell) Well, I'm not  
(2) asking for the contents. I just want to know  
(3) whether or not you wrote claims for the 525  
(4) patent.  
(5) MR. HILL: You can answer that.  
(6) A. I did not write claims.  
(7) Q. (By Mr. Cordell) So ultimately  
(8) you got hold of a final version of the  
(9) application, I take it, and reviewed it one  
(10) last time?  
(11) MR. HILL: I'll object to form.  
(12) Q. (By Mr. Cordell) Is that  
(13) accurate?  
(14) A. Yes.  
(15) Q. And then that was filed?  
(16) A. I cannot recall if I was still  
(17) employed at Cirrus when I reviewed the final  
(18) patent.  
(19) MR. CORDELL: Okay. Let me -- let  
(20) me go ahead and have marked as Nally 17 a  
(21) rather thick document that I will represent is  
(22) what I believe to be the contents of the file  
(23) wrapper of the 525 patent.  
(24) (Deposition Exhibit 17  
(25) was marked.)

## Page 212

(1) Q. (By Mr. Cordell) Mr. Nally, I'll  
(2) hand you what we've marked as Exhibit 17 and  
(3) ask you whether or not you've ever seen this  
(4) before.  
(5) A. I can't say I've seen it all; but,  
(6) yes, this is -- contains the patent. I ain't  
(7) sure what all is in here, though.  
(8) Q. Let's turn through the document,  
(9) if we may. And do you see that the pages are,  
(10) at least in the initial section, are numbered  
(11) in the lower left-hand corner?  
(12) A. Which numbers are you referring  
(13) to?  
(14) Q. Well, they're handwritten. You  
(15) have to turn back into the document a little  
(16) bit.  
(17) A. Oh, okay. Turn back into the  
(18) document? How far back?  
(19) Q. I don't know. Four or five  
(20) pages.  
(21) A. Okay. I see a 2, 3, 4, 5, 6.  
(22) You're talking about those.  
(23) Q. Looking at Pages 1 through 31 --  
(24) MR. HILL: It doesn't have a 1.  
(25) MR. CORDELL: Yeah. It looks like

## Page 213

(1) they skipped 1, but it does begin with 2.  
(2) Q. (By Mr. Cordell) Does this look  
(3) like the application that you finally reviewed  
(4) before filing with respect to the 525 patent?  
(5) A. It appears to be.  
(6) Q. Okay. Beginning at Page 32,  
(7) you'll see that there are a number of claims  
(8) written there.  
(9) A. Okay.  
(10) Q. Do you recall reviewing these  
(11) prior to filing?  
(12) A. Yes.  
(13) Q. And I believe you said that you  
(14) didn't write the claims, but did you have any  
(15) input into the content of the claims?  
(16) MR. HILL: Again, I'm going to  
(17) caution the witness not to reveal  
(18) attorney-client privileged information.  
(19) A. I really can't recall if they were  
(20) intact or not.  
(21) Q. (By Mr. Cordell) Turn to Tab 2 in  
(22) the document.  
(23) A. Okay. I'm at Tab 2.  
(24) Q. Were you aware that a preliminary  
(25) amendment was filed in this application?

## Page 214

(1) A. A preliminary what?  
(2) Q. Amendment. Do you know what a  
(3) preliminary amendment is?  
(4) A. No.  
(5) Q. Do you know who within Cirrus was  
(6) the primary contact for this patent  
(7) application?  
(8) A. I was.  
(9) Q. Do you recall whether or not you  
(10) were informed that you would be filing a  
(11) preliminary amendment in this case?  
(12) MR. HILL: First, he said he  
(13) doesn't know what a preliminary amendment is.  
(14) Maybe you could clear that up.  
(15) MR. CORDELL: Well, good point.  
(16) Q. (By Mr. Cordell) My question is:  
(17) Well, have you ever seen this before, this  
(18) preliminary amendment?  
(19) A. I can't recall. It's possible.  
(20) Q. Okay. Turn to the page that has a  
(21) 45 written in the lower left-hand portion.  
(22) A. Forty-five?  
(23) Q. Forty-five.  
(24) A. Yeah.  
(25) Q. Do you see the claim numbered 37?

## Page 215

(1) A. Forty-five? Oh, I'm on 48. The  
(2) eight looks like a five. I'm sorry.  
(3) Q. That's all right.  
(4) A. Claim 37? Okay.  
(5) Q. Can you read that claim to  
(6) yourself, please?  
(7) A. Out loud?  
(8) Q. No.  
(9) A. Okay.  
(10) Q. Do you see the term in the second  
(11) line -- the second or maybe third line of the  
(12) claim, multiframed frame buffer?  
(13) A. Yes.  
(14) Q. Can you give us your understanding  
(15) of what that term means?  
(16) MR. HILL: I'm just going to make  
(17) an objection to the extent it calls for a  
(18) legal conclusion or expert testimony.  
(19) But you can certainly give your  
(20) understanding of that term.  
(21) THE WITNESS: Okay.  
(22) A. It's a term that I coined. And  
(23) when I coined it, what I meant to is -- it's a  
(24) frame buffer that can store data in the frame  
(25) buffer in its native format and send it to the

## Page 216

(1) display in its native format.  
(2) Q. (By Mr. Cordell) Does it require  
(3) any particular organization of the data within  
(4) the frame buffer?  
(5) A. Meaning?  
(6) Q. Do you have to have an on-screen  
(7) and an off-screen portion of the frame buffer?  
(8) A. It depends on the type of data.  
(9) Q. Well, I'm really looking for what  
(10) you understand the term "multiformat frame  
(11) buffer" to mean.  
(12) A. Well, multiformat data can be  
(13) on-screen or off-screen. Multiformat tells  
(14) you how it is sent to the display.  
(15) Q. So really the term "multiformat  
(16) frame buffer" doesn't have anything  
(17) particularly to do with on-screen or  
(18) off-screen?  
(19) MR. HILL: Again, this is your  
(20) understanding --  
(21) THE WITNESS: Yes.  
(22) MR. HILL: -- of the term, and  
(23) it's not a legal conclusion or an opinion of  
(24) an expert.  
(25) Q. (By Mr. Cordell) Is that right?

## Page 217

1. A. My opinion, yes.  
 2. Q. (By Mr. Cordell) Well, so isn't  
 3. it a little redundant then to continue on  
 4. and say multiformat frame buffer for  
 5. simultaneously storing graphics and video  
 6. data? Doesn't your definition of multiformat  
 7. frame buffer include graphics and video data?  
 8. MR. HILL: Counsel, can I just  
 9. have a standing objection on the legal  
 10. conclusion grounds?  
 11. MR. CORDELL: Please.  
 12. MR. HILL: When we're talking  
 13. about the terms out of the plans --  
 14. THE WITNESS: Yeah.  
 15. MR. HILL: -- that's what I'm  
 16. objecting to.  
 17. THE WITNESS: Okay.  
 18. MR. HILL: Okay.  
 19. A. To me -- I don't understand where  
 20. you're -- you're trying to take me to --  
 21. Q. (By Mr. Cordell) No, I'm not  
 22. trying to be anything except clear. So if I'm  
 23. not being clear, please -- please let me  
 24. know.  
 25. I thought you said that a

## Page 218

1. multiformat frame buffer was something that  
 2. had -- that had different types of data in its  
 3. native format?  
 4. A. Right.  
 5. Q. But then the claim goes on to say  
 6. for simultaneously storing graphics and video  
 7. data. Well, let me ask it this way: What is  
 8. your understanding of the term "simultaneously  
 9. storing graphics and video data"?  
 10. A. Exactly what it means is the frame  
 11. buffer has -- what's in the frame buffer is  
 12. graphics data that can be displayed and video  
 13. data that can be displayed simultaneously.  
 14. That's where the word simultaneously comes  
 15. from.  
 16. Q. Okay. Do you mean the act of  
 17. storing, or do you mean the -- there is held  
 18. within the display buffer?  
 19. A. No, I mean, you're -- you're  
 20. splitting hairs on me here.  
 21. Q. Well, I'm afraid that's -- that's  
 22. what patent lawyers do, man.  
 23. MR. HILL: I'm going to object to  
 24. the form of that question.  
 25. Q. (By Mr. Cordell) Well, I just

## Page 219

1. want to know: Is storing a verb there?  
 2. A. Okay. You can -- you can store in  
 3. memory video data and graphics data at the  
 4. same time. Okay?  
 5. Q. Fair enough.  
 6. Now, Mr. Nally, earlier today I  
 7. believe you said you were familiar with a --  
 8. actually, let's come back to that. Strike  
 9. that.  
 10. Can you give me your understanding  
 11. of what a first pipeline for processing words  
 12. of graphics and data selectively retrieved  
 13. from said frame buffer is?  
 14. A. That's a pipeline that takes the  
 15. data through a FIFOing mechanism, through a  
 16. serializing mechanism, through a color look-up  
 17. table if it's not true color, okay, and puts  
 18. it on the screen according to the clocking  
 19. mechanism of the syncs and the clock -- and  
 20. the display clock.  
 21. Q. Do I have to have a serializer in  
 22. order to constitute a graphics pipeline?  
 23. MR. HILL: Object to form.  
 24. A. Once again, it depends on your  
 25. implementation.

## Page 220

1. Q. (By Mr. Cordell) So, I mean, it  
 2. is certainly conceivable that you'd have a  
 3. graphics pipeline without a serializer?  
 4. A. Depends on what you're doing.  
 5. Q. It kind of depends on how you  
 6. structure your memory?  
 7. A. Yeah.  
 8. Q. Can you tell me what your  
 9. understanding is of a second pipeline for  
 10. processing words of video data selectively  
 11. retrieved from said frame buffer?  
 12. A. It is a pipeline that has a FIFO,  
 13. an interpolation engine, a color conversion  
 14. engine, and -- did I say color conversion?  
 15. Okay. That would constitute the second  
 16. pipeline and the rastering controls to tell  
 17. you where the pixel is on the screen.  
 18. Q. Well, I don't see anything written  
 19. there that relates to how the data is  
 20. displayed. Do you?  
 21. A. No.  
 22. Q. This -- this claim is pretty  
 23. simple, right? I mean, it's basically a  
 24. multiformat frame buffer, a first pipeline,  
 25. and a second pipeline, correct? What you do

## Page 221

1. with it after the pipeline is kind of up to  
 2. you. Is that fair?  
 3. A. I guess you can look at it that  
 4. way.  
 5. Q. Well, I mean, reading Claim 37, as  
 6. someone who's been active in this industry --  
 7. A. Okay.  
 8. Q. -- for a long time, are you aware  
 9. of systems that would be described by  
 10. Claim 37?  
 11. A. Meaning, is this -- I guess it all  
 12. depends on what your definition of a pipeline  
 13. is.  
 14. Q. Okay. Well, earlier today you  
 15. said that you were familiar with the Siann  
 16. patent. And we've marked that as Nally 3.  
 17. A. Okay.  
 18. Q. Doesn't the Siann patent have the  
 19. graphics pipeline?  
 20. MR. HILL: Again, we object there  
 21. that that was also calling for a legal  
 22. conclusion.  
 23. A. Three? Where is 3? Now, the  
 24. question is?  
 25. Q. (By Mr. Cordell) Does the Siann

## Page 222

1. patent have a graphics pipeline?  
 2. MR. HILL: The same objections.  
 3. A. Oh, does it have a graphics  
 4. pipeline?  
 5. Q. (By Mr. Cordell) Yes.  
 6. MR. HILL: And Mr. Nally didn't  
 7. have time to fully digest that.  
 8. So you shouldn't speculate.  
 9. A. I won't speculate. I'll just say  
 10. I don't see it.  
 11. Q. (By Mr. Cordell) You do not see a  
 12. graphics pipeline in the --  
 13. A. Not from what I'm looking at.  
 14. Q. Okay. Let me direct your  
 15. attention to the elements 84, delay stage; and  
 16. 86, pixel formatter.  
 17. A. Uh-huh.  
 18. Q. Do those not constitute a graphics  
 19. pipeline?  
 20. A. No. Where is the color look-up  
 21. table? Where is the windowing mechanism,  
 22. their CRT controller? Where is the  
 23. serializer? It's -- it's not a pipeline.  
 24. Q. So it is your testimony then that  
 25. a pipeline has to include each of the elements

## Page 223

(1) you just described?  
 (2) A. Yes.  
 (3) Q. If I'm missing a serializer, then  
 (4) I have no pipeline?  
 (5) A. Well, like I said, it depends on  
 (6) your implementation. There are certain things  
 (7) that have got to be there, like a CRT  
 (8) controller, okay, which is a rastering  
 (9) mechanism. That has to be there.  
 (10) If you're taking data from a bril  
 (11) one pixel at a time, you don't need a  
 (12) serializer. But, then again, you can't do too  
 (13) much because you don't have the memory  
 (14) bandwidth. So in practice -- in theory, you  
 (15) say yes; but in practice, it doesn't make  
 (16) sense. Do you follow what I'm saying?  
 (17) Q. Well, tell me this: Does the  
 (18) Siann patent of Exhibit 3 have a multiformat  
 (19) frame buffer?  
 (20) A. In my opinion, no.  
 (21) Q. Why is that?  
 (22) A. Because you cannot pull the video  
 (23) data and the graphics data from the same frame  
 (24) buffer.  
 (25) Q. Well, let's -- let's just spend a

## Page 224

(1) little time on this, if we can. I'd like you  
 (2) to turn back to Column 9 in Exhibit 3.  
 (3) A. Column 9.  
 (4) MR. HILL: Again, Mr. Nally, when  
 (5) we're talking about this patent, let me  
 (6) caution you not to speculate.  
 (7) A. Column 9?  
 (8) Q. (By Mr. Cordell) Right.  
 (9) A. Okay.  
 (10) Q. Do you see the second sentence  
 (11) that reads: It provides high resolution video  
 (12) and graphics information in a single display  
 (13) memory 52?  
 (14) A. I see that.  
 (15) Q. Mr. Nally, does that not suggest  
 (16) to you that there are -- there is stored both  
 (17) video and graphics information within a single  
 (18) display memory in the Siann patent of  
 (19) Exhibit 3?  
 (20) MR. HILL: I object to form.  
 (21) A. To answer that question, I would  
 (22) have to say: What does the dotted line mean?  
 (23) Q. (By Mr. Cordell) And the dotted  
 (24) line you're referring to is across the display  
 (25) memory?

## Page 225

(1) A. Yes.  
 (2) Q. Would you have any reason to  
 (3) dispute the sentence I just read to you?  
 (4) A. Yes. The reason I dispute that is  
 (5) because this suggests to me what I call a  
 (6) split frame buffer.  
 (7) Q. How do you define a split frame  
 (8) buffer?  
 (9) A. It's means you've got different  
 (10) addressing mechanisms in both portions of the  
 (11) memory. Even though it is a single memory,  
 (12) you've got two sets of addresses, two sets of  
 (13) data. You've got one controller controlling  
 (14) two frame buffers, is basically what it means.  
 (15) Q. But wouldn't that imply then that  
 (16) the size of the respective frame buffers are  
 (17) independent of one another?  
 (18) MR. HILL: I'll object to form.  
 (19) A. Which frame buffers?  
 (20) Q. (By Mr. Cordell) Well, I think  
 (21) you just said that you were --  
 (22) A. You're talking about the two here  
 (23) (indicating)?  
 (24) Q. You were concerned about whether  
 (25) the display memory 52 was actually a single

## Page 226

(1) frame buffer, correct?  
 (2) A. Yes.  
 (3) MR. HILL: Objection.  
 (4) Mischaracterized prior testimony.  
 (5) MR. CORDELL: No. I think the  
 (6) witness disagrees with you, Counsel.  
 (7) Q. (By Mr. Cordell) Let me --  
 (8) A. I didn't -- I didn't understand  
 (9) the --  
 (10) MR. HILL: I made an objection.  
 (11) Q. (By Mr. Cordell) Let me direct  
 (12) your attention to Lines 35 through 42 in  
 (13) Column 9.  
 (14) A. Thirty-five through --  
 (15) Q. Forty-two. If you look down the  
 (16) middle of the patent, you'll see there's some  
 (17) little numbers there.  
 (18) A. Column -- Column 9?  
 (19) Q. Column 9.  
 (20) A. Thirty-five to -- okay.  
 (21) Q. And so what we have here is a  
 (22) display memory where approximately 750 K is  
 (23) devoted to the graphics portion of the  
 (24) display, correct?  
 (25) A. That's what it says.

## Page 227

(1) Q. And then the remainder is  
 (2) allocated to the video windows, correct?  
 (3) A. (Witness nods.)  
 (4) Q. So isn't it, in fact, a single  
 (5) frame buffer?  
 (6) MR. HILL: Asked and answered.  
 (7) A. Knowing the implementation, the  
 (8) way it was implemented, I would have to say  
 (9) because it was done with VRAM, they had --  
 (10) what they did is that they took one of those  
 (11) frame buffers and ran it through the  
 (12) I/O port. The other they ran through the  
 (13) serial port.  
 (14) Q. (By Mr. Cordell) So what you're  
 (15) saying is that because you have additional  
 (16) knowledge of the Brooktree 885 part, you  
 (17) interpret this passage differently?  
 (18) MR. HILL: Objection.  
 (19) Mischaracterizes testimony.  
 (20) A. Let's say it this way: At the  
 (21) time that product was out, I knew that the  
 (22) only way they could do that was the VRAM.  
 (23) Q. (By Mr. Cordell) So when you read  
 (24) the passage here in Column 9 --  
 (25) A. I -- I was looking at it at the

## Page 228

(1) time of the technology being used.  
 (2) Q. And your specific knowledge of the  
 (3) Brooktree part is that they used VRAM --  
 (4) A. Right.  
 (5) Q. -- so you interpret Column 9 as  
 (6) discussing the VRAM?  
 (7) A. Right.  
 (8) Q. Okay. But there's no designation  
 (9) of VRAM in this patent, is there?  
 (10) A. No.  
 (11) Q. Going back now to Nally 17 --  
 (12) A. Is that this one (indicating)?  
 (13) Okay.  
 (14) Q. Yes.  
 (15) Can you tell me what your  
 (16) understanding is of the phrase "circuitry for  
 (17) selectively retrieving data"?  
 (18) MR. HILL: Same objection as  
 (19) before, related to legal conclusions.  
 (20) A. This is the lawyer's wording.  
 (21) It's how you get data out of memory. That's  
 (22) the best I can say.  
 (23) Q. (By Mr. Cordell) That's part of  
 (24) the --  
 (25) A. I'm not real good at splitting



## Page 229

(1) words.  
 (2) Q. I have trouble with that myself.  
 (3) A. Okay.  
 (4) Q. Now, can you turn to Tab 3 in  
 (5) Exhibit 17?  
 (6) A. (Witness complies.)  
 (7) Q. Do you recall an effort sometime  
 (8) in the first quarter probably through  
 (9) April 1995 to secure the 525 patent sooner  
 (10) than might otherwise be available from the  
 (11) patent office?  
 (12) A. Yes.  
 (13) Q. Okay. Can you describe the  
 (14) circumstances of that effort?  
 (15) MR. HILL: Objection. It calls  
 (16) for a narrative.  
 (17) But you can answer.  
 (18) A. Okay. That led up to that or  
 (19) what?  
 (20) Q. (By Mr. Cordell) Yes.  
 (21) A. I was at a trade show and a  
 (22) technical officer from a competing company  
 (23) came up to me and informed me that he had seen  
 (24) my specification and said, Good work. And I  
 (25) knew it was impossible for him to have that --

## Page 230

(1) none of that information was in public domain  
 (2) at that time.  
 (3) Q. Who was the -- who was the person  
 (4) in question?  
 (5) A. I can't remember his name. Chief  
 (6) technical officer at the time.  
 (7) Q. For what company?  
 (8) A. Of Trident.  
 (9) Q. Was this the spring COMDEX?  
 (10) A. I can't recall exactly which one  
 (11) it was, but it was at a show. I can't  
 (12) remember what show it was.  
 (13) Q. But you were able to ascertain  
 (14) what specification he was talking about?  
 (15) A. He -- he said the Alpine AB spec.  
 (16) That's the best of my memory. This is a long  
 (17) time ago, but I knew what he was talking  
 (18) about.  
 (19) Q. Is it any of the specs that we've  
 (20) marked in front of you?  
 (21) A. It could be Item Number 4. This  
 (22) Item 4 (indicating).  
 (23) Q. Exhibit 4?  
 (24) A. Yeah, yeah. It could have been  
 (25) this.

## Page 231

(1) Q. Well, do you recall ever  
 (2) distributing the document we've marked as  
 (3) Exhibit 4 to customers?  
 (4) A. No. That -- that document was  
 (5) never intended to go to customers.  
 (6) Q. Well, certainly that may not have  
 (7) been your intention, but are you aware that  
 (8) Cirrus ever showed it to customers?  
 (9) A. No.  
 (10) Q. Do you have any idea how this  
 (11) gentleman from Trident would have obtained  
 (12) possession of -- of the exhibit?  
 (13) A. I can only speculate.  
 (14) Q. Well, calling it speculation, tell  
 (15) me what you think.  
 (16) A. About all I --  
 (17) MR. HILL: Objection. Calls for  
 (18) speculation.  
 (19) MR. CORDELL: Wow, I was afraid  
 (20) you wouldn't catch that one.  
 (21) A. There could be a number of ways.  
 (22) Q. (By Mr. Cordell) Well, what do  
 (23) you think?  
 (24) A. It could be a former employee  
 (25) leaving Cirrus Logic could have taken it with

## Page 232

(1) him. It could have been somebody who was  
 (2) working at Cirrus Logic whose spouse is  
 (3) working at the other one. It could have been  
 (4) a number of ways.  
 (5) Q. Can you review Nally 8?  
 (6) A. Do I have it?  
 (7) Q. I hope so.  
 (8) A. You've got so much paper here in  
 (9) front of me, it's beginning to look like my  
 (10) desk.  
 (11) Q. Mine looks the same way.  
 (12) All right. Can you tell us  
 (13) whether or not this exhibit was ever shared  
 (14) with customers?  
 (15) A. No, I cannot.  
 (16) Q. Do you know who might know that?  
 (17) A. Maybe Jim Fontaine.  
 (18) Q. Is this the kind of thing that you  
 (19) might show to customers?  
 (20) MR. HILL: Object to form.  
 (21) A. I don't think so.  
 (22) MR. CORDELL: I apologize. When I  
 (23) talk too much, it makes me cough.  
 (24) A. When you say "you," do you mean  
 (25) me, or do you mean Cirrus Logic?

## Page 233

(1) Q. (By Mr. Cordell) Well, Cirrus.  
 (2) A. Oh, I can't answer. I mean --  
 (3) Q. The real question is: Is the  
 (4) exhibit of Nally 8 used for marketing  
 (5) purposes, or documents like Nally 8?  
 (6) A. That's not its intention.  
 (7) Q. Well, I guess anything is  
 (8) possible?  
 (9) A. Yeah.  
 (10) Q. Now, do you recall specifically  
 (11) what the CTO of Trident said to you?  
 (12) A. For word, no. It was a short  
 (13) conversation. I was waiting for a cab, he was  
 (14) getting in a car, or I was getting in a car  
 (15) and he was waiting -- I forgot what it was,  
 (16) but it was a brief exchange. The conversation  
 (17) lasted less than a minute. I think he was  
 (18) just digging into me because he tried to get  
 (19) me to go to work for him sometime earlier.  
 (20) Q. And what did you do in response to  
 (21) this news?  
 (22) A. I alerted my management.  
 (23) Q. Which one?  
 (24) A. Jim Fontaine, if I recall. I  
 (25) can't be absolutely sure if it was Jim or

## Page 234

(1) somebody else, but it -- it seemed like Jim  
 (2) was the guy I would have gone to first.  
 (3) Q. What happened next?  
 (4) A. I guess through some process the  
 (5) decision was made to try to expedite.  
 (6) Q. Do you recall who made that  
 (7) decision?  
 (8) A. I don't know who it was -- who  
 (9) would make that decision. You can just assume  
 (10) it was kind of high up in the company.  
 (11) Q. But suffice it to say the decision  
 (12) was made and you got a call from the lawyers  
 (13) to talk about the expedite process?  
 (14) A. I can't remember exactly the  
 (15) process we went through. No.  
 (16) Q. Turn a few pages back under Tab 3  
 (17) and you'll find a Declaration of Robert M.  
 (18) Nally.  
 (19) A. Yeah. Okay.  
 (20) Q. Do you recall this declaration?  
 (21) A. I recall writing one. I --  
 (22) this -- where is the declaration? Okay.  
 (23) Okay. Yes. I -- I have no reason to assume  
 (24) that anybody changed this declaration. I did  
 (25) write a declaration. I can't remember exactly

## Page 235

(1) what I wrote.  
 (2) Q. Let me direct your attention to  
 (3) Page 4.  
 (4) A. Okay.  
 (5) Q. And is that your signature that  
 (6) appears at the bottom there?  
 (7) A. Yes.  
 (8) Q. Look at the footer information in  
 (9) the lower left-hand corner of the page. Do  
 (10) you recognize that as indicative of a network  
 (11) at Pixel or Cirrus?  
 (12) A. No. What do you mean? This code  
 (13) down here, the DA9508?  
 (14) Q. Yes.  
 (15) A. One thing I recognize is the O20,  
 (16) and I think that was the internal control for  
 (17) this document, the patent.  
 (18) Q. So that was the docket number for  
 (19) this patent? You'll see it again at the top  
 (20) of the page, 10726-PO200S.  
 (21) A. On the top of the page? Yeah.  
 (22) That's what you call it. That's how we  
 (23) tracked it inside the company.  
 (24) Q. Okay. That was Cirrus' number,  
 (25) not the lawyer's number?

## Page 236

(1) A. I don't know whose it was.  
 (2) Q. Well, but I mean does the footer  
 (3) tell you whether you wrote this, or did the  
 (4) lawyers write it?  
 (5) A. It doesn't tell me.  
 (6) Q. I mean, can you take a few moments  
 (7) and review the --  
 (8) A. Yeah.  
 (9) Q. -- declaration and tell us whether  
 (10) refreshes your recollection as to whether  
 (11) you wrote it or maybe the lawyers prepared a  
 (12) draft and you reviewed it and signed it?  
 (13) A. Okay. I see -- okay. I'm fairly  
 (14) confident that I wrote this because it's got  
 (15) my wording in it.  
 (16) Q. Okay.  
 (17) A. But I can't -- it's a long time  
 (18) ago.  
 (19) Q. Okay.  
 (20) A. I can't remember writing some -- a  
 (21) lot of this stuff I see in front of me.  
 (22) Q. I'd like to ask you to look at  
 (23) Paragraph 3 in the first page of the  
 (24) declaration.  
 (25) A. Yeah.

## Page 237

(1) Q. You say in the second sentence:  
 (2) Along these lines, I designed a VGA controller  
 (3) with dual data paths from a single frame  
 (4) buffer into the CRT refresh controls.  
 (5) Do you see that?  
 (6) A. Yes.  
 (7) Q. One data path was for video data  
 (8) 16-bit YUV422. The other was for 8-bit CLUT  
 (9) data.  
 (10) Do you see that?  
 (11) A. Yes.  
 (12) Q. And then you -- you relate that  
 (13) this design became the subject of Application  
 (14) Serial Number 08/376,919, correct?  
 (15) A. Uh-huh.  
 (16) Q. And that relates to the patent  
 (17) application that resulted in the 525 patent,  
 (18) right? Is that correct?  
 (19) A. I'm assuming that these numbers  
 (20) match those numbers. Yeah.  
 (21) Q. Oh, yeah. You can look at the top  
 (22) of the page --  
 (23) A. Okay.  
 (24) Q. -- I think, if that helps you.  
 (25) A. Okay.

## Page 238

(1) Q. And then there's a sentence that  
 (2) reads: The application was prepared and the  
 (3) claims drafted to cover a device which was the  
 (4) subject of Cirrus Logic's Private  
 (5) Specification "Functional Specifications  
 (6) Alpine CDX," a copy of which -- dates  
 (7) removed -- is attached hereto as Exhibit A.  
 (8) Do you see that?  
 (9) A. Uh-huh.  
 (10) Q. Now, can you look back and find  
 (11) Exhibit A?  
 (12) A. Okay.  
 (13) Q. Now, first of all, you identified  
 (14) this as the Alpine CDX, correct?  
 (15) A. Yes.  
 (16) Q. Now, was that representation to  
 (17) the patent office in April of 1995 correct?  
 (18) Is the attachment here actually the Alpine  
 (19) CDX?  
 (20) A. If I stated that, that's what we  
 (21) was calling it at the time.  
 (22) Q. Now, I have a little bit of a  
 (23) problem in that the attachment that I see here  
 (24) at Exhibit A --  
 (25) A. Uh-huh.

## Page 239

(1) Q. -- sort of loosely matches what  
 (2) we've marked as Nally 4 --  
 (3) A. Okay.  
 (4) Q. -- except that Nally 4 appears to  
 (5) end at Page 4 --  
 (6) A. Yes.  
 (7) Q. -- and is formatted a bit  
 (8) differently. Do you know where the remainder  
 (9) of Nally 4 is, or can you explain the  
 (10) discrepancy between the Attachment A and the  
 (11) memo of Nally 4?  
 (12) MR. HILL: Object to form.  
 (13) A. I can only speculate.  
 (14) Q. (By Mr. Cordell) Well, what's  
 (15) your speculation?  
 (16) MR. HILL: Objection. Calls for  
 (17) speculation.  
 (18) A. Page 5 is the VAFC overlay  
 (19) mentioned on Page 1 of 4. Okay. Okay.  
 (20) What's missing seems to be the VAFC interface.  
 (21) Q. (By Mr. Cordell) Okay.  
 (22) A. And the VAFC interface was a known  
 (23) interface, so it probably didn't need to be a  
 (24) part of this document because this was a  
 (25) proposal document. This is actually spelling

## Page 240

(1) out how you would do something that is already  
 (2) well known.  
 (3) Q. Well, but you told the patent  
 (4) office that this was the document that you  
 (5) based the application on. Was that accurate?  
 (6) A. Yes.  
 (7) Q. So, I mean, it's your testimony  
 (8) that the VAFC interface features were  
 (9) sufficiently well known that you didn't need  
 (10) to set them forth in the exhibit of Nally 4?  
 (11) A. Right.  
 (12) Q. That anybody who worked in this  
 (13) business would know how to implement a VAFC  
 (14) port?  
 (15) A. All you had to do was go to the  
 (16) VESA committee and get it.  
 (17) Q. There was nothing special in the  
 (18) VAFC port?  
 (19) A. No.  
 (20) Q. Flipping over to Paragraph 5 --  
 (21) well, actually before we get there, the VAFC  
 (22) port is the so-called live video port,  
 (23) correct?  
 (24) A. Yes.  
 (25) Q. Flipping over to Paragraph 5 --

## Page 241

- (1) A. Paragraph 5 of?
- (2) Q. Of -- I'm sorry -- Nally 17, your
- (3) declaration.
- (4) A. Paragraph --
- (5) Q. It's behind Tab 3.
- (6) A. Okay. Paragraph -- okay.
- (7) Paragraph 5. Okay.
- (8) Q. In that paragraph you relate the
- (9) details of your meeting with the chief
- (10) technical officer of Trident, correct?
- (11) A. Yes.
- (12) Q. Who was the other employee you
- (13) reference about halfway through the paragraph?
- (14) A. It could have been Dennis Jow.
- (15) MR. HILL: Don't speculate. If
- (16) you know, you know.
- (17) A. I'm just -- all I can do is give
- (18) you a list of people it could have been.
- (19) Q. (By Mr. Cordell) Okay.
- (20) A. That's all I can say. It could
- (21) have been Dennis Jow. It could have been --
- (22) boy, give me a -- give me a Cirrus Logic
- (23) employee list because I -- you want all the
- (24) names that it could possibly have been?
- (25) Q. Well, I mean, if you can --

## Page 242

- (1) A. I cannot remember who all was
- (2) there.
- (3) Q. Okay. I mean, it's not --
- (4) A. I can tell you who it wasn't.
- (5) Q. Okay. That's probably okay.
- (6) Let's -- let's move down to
- (7) Paragraph 6. You say: The competitor in
- (8) question came to market with a graphics
- (9) controller that attempted to address the same
- (10) market.
- (11) A. What paragraph?
- (12) Q. Paragraph 6.
- (13) A. Okay. What was the question?
- (14) Q. Well, the question is: Well, you
- (15) say that they came -- they tried to address
- (16) the same market but --
- (17) A. Yeah.
- (18) Q. -- were ineffective?
- (19) A. Yes.
- (20) Q. Then you say that they obtained
- (21) Cirrus Logic's "Functional Specification for
- (22) Alpine CDX" --
- (23) A. Uh-huh.
- (24) Q. -- and then redesigned their
- (25) product to add the same functionality as

## Page 243

- (1) disclosed in Application Number -- and then
- (2) you give the --
- (3) A. Right.
- (4) Q. The question is: What product was
- (5) involved?
- (6) A. Product names?
- (7) Q. Yes.
- (8) A. I do not know the names. I can't
- (9) remember the names. Let's put it that way. I
- (10) can remember numbers or names.
- (11) Q. Okay. Can you tell me what the
- (12) "same functionality as disclosed in
- (13) application" was?
- (14) A. Yes. At that time all of our
- (15) competitors was doing a frontend overlay using
- (16) their BLT engine to actually manage the video
- (17) using the flat frame buffer. In other words,
- (18) they had to go into a RGB mode, true color
- (19) mode, and treat everything as true color --
- (20) graphics and video -- which consumed a great
- (21) deal of their bandwidth, which wasn't a very
- (22) effective way of doing it.
- (23) Q. Okay. Well, that wasn't true with
- (24) respect to the Brooktree part, though, was it?
- (25) A. Which Brooktree part? I mean,

## Page 244

- (1) the --
- (2) Q. 885.
- (3) A. That was a RAMDAC. That was not a
- (4) MIMI manager. Okay?
- (5) Q. Okay.
- (6) A. All that did was take the data
- (7) presented to it and run it, you know -- run it
- (8) through the pipeline and display it. It
- (9) wasn't -- wasn't -- the frontend -- a frontend
- (10) pipeline is when you actually take your image
- (11) stored off-screen, then when you get ready to
- (12) display it, you've got to bleed it onto the
- (13) screen. They're two different processes.
- (14) Q. Well, let's refer then back to
- (15) Nally 3.
- (16) A. Okay.
- (17) Q. So if you can focus on the patent
- (18) as opposed to the product, at least with
- (19) respect to the patent in Nally 3, it shows
- (20) backend processing, doesn't it?
- (21) MR. HILL: Again, with respect to
- (22) the patent, I'm making an objection that it
- (23) calls for a legal conclusion.
- (24) A. Backend processing in what sense?
- (25) Q. (By Mr. Cordell) Well, there are

## Page 245

- (1) backend pipelines in Exhibit 3, correct?
- (2) There's a backend video pipeline there, isn't
- (3) there?
- (4) A. That's all I see. That's all I
- (5) see. I see video pipeline.
- (6) Q. But you do see a backend video
- (7) pipeline, correct?
- (8) A. Yes.
- (9) Q. So it's not true that all of your
- (10) competitors weren't at least thinking about
- (11) backend video processing, correct?
- (12) MR. HILL: Objection.
- (13) Argumentative. It also calls for speculation.
- (14) Q. (By Mr. Cordell) If you know.
- (15) A. You're mixing products. You're
- (16) mixing products.
- (17) Q. You still have a differentiation
- (18) between the patent and what you know to be the
- (19) Brooktree part, correct?
- (20) MR. HILL: Mischaracterizes prior
- (21) testimony.
- (22) Q. (By Mr. Cordell) You can tell me
- (23) yes or no.
- (24) A. Well, let's say that -- how should
- (25) I put this? One of them is a RAMDAC. That's

## Page 246

- (1) all it is. The Brooktree part is just a
- (2) RAMDAC. Okay? The other one is a graphics
- (3) video processor. Okay? The Brooktree part is
- (4) only a small piece of a video subsystem.
- (5) Q. So are you aware of any controller
- (6) that works with the Brooktree part to create
- (7) an entire video subsystem?
- (8) A. Like I said, I've got -- informed
- (9) of one that did exist just prior to this
- (10) meeting.
- (11) Q. That's the Oak part?
- (12) A. The Oak part. Before that, I was
- (13) completely -- I assumed that nobody ever
- (14) designed anything for that part.
- (15) Q. Now, bumping right back up to
- (16) Paragraph 5, you begin the quote by saying
- (17) about 18 weeks ago?
- (18) A. Uh-huh.
- (19) Q. And then you relate the incident
- (20) involving the CTO of Trident, correct?
- (21) A. Yeah.
- (22) Q. So that would have put you
- (23) 18 weeks back from April. It would have been
- (24) roughly the fall COMDEX?
- (25) A. Maybe that's where it was.

## Page 247

(1) Q. And that would have been the fall  
(2) of 1994?  
(3) A. (Witness nods.)  
(4) Q. Now, turning to the following  
(5) page, Paragraph 7, you cite to the patent  
(6) office that you are now in possession of a  
(7) specification for a product, is that correct?  
(8) MR. HILL: Which paragraph is  
(9) that?  
(10) MR. CORDELL: It's Paragraph 7.  
(11) A. Exhibit B. Okay. Yes, that's the  
(12) statement I made there.  
(13) Q. (By Mr. Cordell) And you're  
(14) referring there particularly to the Alpine -  
(15) I'm sorry - to the Trident product, correct?  
(16) A. Yes.  
(17) MR. CORDELL: Let me have marked  
(18) as Nally 18 a document bearing Bates  
(19) Number CL10362 through 65.  
(20) (Deposition Exhibit 18  
(21) was marked.)  
(22) Q. (By Mr. Cordell) Mr. Nally, can  
(23) you identify what we've marked as Exhibit 18?  
(24) A. It looks like the Exhibit B. Am I  
(25) right? Is this Exhibit B?

## Page 248

(1) Q. Well, that's what I think it is,  
(2) but -  
(3) A. Yeah.  
(4) Q. - I don't - they don't ask me in  
(5) these things.  
(6) A. Okay.  
(7) Q. And the difference between  
(8) Exhibit B and Nally 18 is that the product  
(9) name is - appear on Nally 18, correct?  
(10) A. Yes.  
(11) Q. So does this refresh your  
(12) recollection, then, as to what product is at  
(13) issue in your declaration?  
(14) A. TGU19680.  
(15) Q. Again, you - well, let me just  
(16) read from it. In Paragraph 7 you state -  
(17) A. Hang on. Hang on. Okay.  
(18) Q. - this is a preliminary  
(19) specification showing how the competitor's  
(20) product now contains circuitry which performs  
(21) the exact functions as set forth in the Cirrus  
(22) Logic "Functional Specifications Alpine CDX."  
(23) Do you see that?  
(24) A. Yes.  
(25) Q. Can you explain what portions of

## Page 249

(1) Exhibit B or Nally 18 - your choice - that  
(2) you were referring to there?  
(3) MR. HILL: I'll object to form.  
(4) A. It's hard to read.  
(5) (Mr. Brown left the  
(6) deposition proceedings.)  
(7) A. Maybe I can see this drawing  
(8) better.  
(9) Looking at 10363, that drawing  
(10) clearly shows a video and a graphics pipe or a  
(11) graphics output to a multiplexer going to the  
(12) display suggesting that they have gone to a  
(13) backend overlay.  
(14) Q. (By Mr. Cordell) How can you tell  
(15) that they've got a single frame buffer?  
(16) A. Knowing the natures of the devices  
(17) at the time, knowing the competition, knowing  
(18) what we was all fighting for. You knew that  
(19) they couldn't afford but a single frame  
(20) buffer. You just - call it knowledge of the  
(21) market.  
(22) Q. Well, turning to Page 10364, do  
(23) you see a backend video pipeline?  
(24) A. I really don't see anything here.  
(25) The only thing I see here is that I see a

## Page 250

(1) dual-aperture frontend, but I don't see - the  
(2) drawing here doesn't tell us - doesn't tell  
(3) me anything. That's what I was looking for.  
(4) I was looking to see if I could see in that  
(5) drawing, but this drawing doesn't tell me  
(6) anything. It's too abstract.  
(7) Q. Is it just too high a level of a  
(8) diagram for you to tell anything about the  
(9) pipelines?  
(10) A. Well, I see lines going places  
(11) that I don't understand.  
(12) Q. Well, do you see anything that  
(13) looks like a backend video pipeline?  
(14) MR. HILL: Object to form.  
(15) A. I see something suspicious.  
(16) Q. (By Mr. Cordell) Okay. What's  
(17) suspicious?  
(18) A. Well, the display memory  
(19) interface. You got a 64-bit bus coming from  
(20) the frontend, and you've got a line going to  
(21) the sequencer, and you've got another line  
(22) going down to this block below the sequencer.  
(23) It looks like a 16-bit bus. That suggests to  
(24) me that there's two pipes going to that block  
(25) in there called the CRT controller.

## Page 251

(1) Q. One through the sequencer and one  
(2) directly to the CRT controller?  
(3) A. Right.  
(4) Q. But I don't see any of the  
(5) elements that you identified earlier that -  
(6) A. That's why I say it's extremely  
(7) abstract.  
(8) Q. Well, I guess my problem,  
(9) Mr. Nally, is that they used your declaration  
(10) to get the patent office to jump-start this  
(11) application, and I'm searching for the  
(12) basis -  
(13) A. Well, that diagram here -  
(14) MR. HILL: I object. That assumes  
(15) facts not in evidence.  
(16) MR. CORDELL: Maybe I should  
(17) finish my question.  
(18) Q. (By Mr. Cordell) I'm searching  
(19) for the basis for your statement that, you  
(20) know, Trident had stolen your invention  
(21) basically. And is it - am I correct, then,  
(22) that it's limited to this block diagram on  
(23) Page 10363?  
(24) A. At this point this is all I see  
(25) here. Maybe I'm - if I went back and

## Page 252

(1) reviewed it again in the entirety, this is -  
(2) there might be more there. I don't know. I'd  
(3) have to read it real close. I just looked at  
(4) the block diagrams real quick and scanned  
(5) through the wording.  
(6) Q. Well, to your knowledge, Cirrus  
(7) has never asserted an infringement claim  
(8) against Trident, have they?  
(9) A. To my knowledge, no.  
(10) Q. Did you ever do any analysis of  
(11) Trident's parts to determine whether or not  
(12) they infringe the 525 patent?  
(13) A. That wasn't my job.  
(14) Q. Well, do you know if anybody at  
(15) Cirrus analyzed Trident's parts to determine  
(16) whether or not they infringed the 525 patent?  
(17) A. No, I have no knowledge of that.  
(18) Q. Turn now to Tab 5, if you will.  
(19) A. Okay.  
(20) Q. Do you see the document here  
(21) that's entitled Information Disclosure  
(22) Statement?  
(23) A. Yes.  
(24) Q. Are you familiar with what this  
(25) document is?

## Page 253

(1) A. Would you explain it to me?  
 (2) Q. Well, I'll be happy to, but do you  
 (3) know that you were under an obligation to tell  
 (4) the U.S. Patent Office about any prior art  
 (5) that you knew about that was relevant to your  
 (6) application?  
 (7) A. Yes.  
 (8) Q. Did you understand that that was  
 (9) something you had to do or you'd risk that  
 (10) your patent would be held unenforceable?  
 (11) A. Right. And ain't that what these  
 (12) citations are at the front of the patent? Oh,  
 (13) it's not -- the first page is missing.  
 (14) Q. Well, you're looking for the cover  
 (15) of the actual issued patent?  
 (16) A. Yes.  
 (17) Q. Okay. Well, those -- I'll  
 (18) represent to you that what the patent office  
 (19) does, they take all the prior art that they  
 (20) find and you find; and at the end of the day,  
 (21) they print them on the front of the patent.  
 (22) But those are -- those are something generated  
 (23) by the patent office.  
 (24) But going back to Tab 5, so you  
 (25) were aware that you were under an obligation

## Page 254

(1) to tell the patent office about any prior art  
 (2) that was material to your application,  
 (3) correct?  
 (4) A. Yes.  
 (5) Q. And did you understand what  
 (6) "material" meant?  
 (7) A. That --  
 (8) MR. HILL: We'll object here that  
 (9) it calls for a legal conclusion as to  
 (10) material.  
 (11) MR. CORDELL: Well, we're asking  
 (12) him for his understanding.  
 (13) MR. HILL: You can answer as to  
 (14) your understanding.  
 (15) A. To my understanding, it is stuff  
 (16) that -- that the patent officer could possibly  
 (17) interpret as an infringement.  
 (18) Q. (By Mr. Cordell) Okay. Perhaps  
 (19) things that included the elements were cited  
 (20) in the claims?  
 (21) A. Pardon?  
 (22) Q. Well, Mr. Tannenbaum was  
 (23) disclosed -- was deposited in this case.  
 (24) A. Okay.  
 (25) Q. And in his deposition he related

## Page 255

(1) that he had a -- had a discussion with you  
 (2) about what prior art meant and what  
 (3) materiality meant. Do you remember anything  
 (4) like that?  
 (5) A. Oh, no.  
 (6) Q. It's just like a lawyer to turn --  
 (7) pass the buck.  
 (8) A. I've had meetings with  
 (9) Mr. Tannenbaum, but I can't remember what was  
 (10) discussed in any of those meetings.  
 (11) Q. Well, suffice it to say, though,  
 (12) you understand you were supposed to tell the  
 (13) patent office about anything that was close to  
 (14) your -- your patent?  
 (15) A. You had --  
 (16) MR. HILL: Object to form.  
 (17) A. If we suspected that we was  
 (18) violating it, we had to claim it. I  
 (19) understand that.  
 (20) Q. (By Mr. Cordell) Well, it's not  
 (21) so much violating. And I don't mean to  
 (22) belabor it, but I just want to -- I just want  
 (23) to know whether or not you understood your  
 (24) duty of disclosure.  
 (25) A. Yes.

## Page 256

(1) MR. HILL: Asked and answered.  
 (2) Q. (By Mr. Cordell) You did?  
 (3) A. To the best of my knowledge.  
 (4) Q. Okay. Well, now, there are a  
 (5) number of patents listed here under the Tab 5  
 (6) document. Do you know where these patents  
 (7) came from?  
 (8) MR. HILL: Objection. Ambiguous.  
 (9) A. There was a -- a patent search  
 (10) relating to anything. And I'd look at all  
 (11) those patents that kicked out to see if we was  
 (12) relating to any of them.  
 (13) Q. (By Mr. Cordell) Now, you --  
 (14) well, who did the patent search?  
 (15) A. Well, it was the law firm. I  
 (16) don't know who.  
 (17) Q. So they sent you a stack of  
 (18) patents and said, This is what we found in our  
 (19) search?  
 (20) A. Yes. Maybe we threw in some  
 (21) patents ourselves. I don't know. But I was  
 (22) given a stack of patents to review.  
 (23) Q. Now, you are named as a patent  
 (24) applicant in a number of United States patent  
 (25) applications, correct?

## Page 257

(1) A. Yes.  
 (2) Q. Do you recall ever reviewing the  
 (3) other applications that you were prosecuting  
 (4) to determine whether or not there was any  
 (5) relevant prior art in those applications that  
 (6) should have been cited to the patent office in  
 (7) this one?  
 (8) MR. HILL: Objection. Calls for a  
 (9) legal conclusion.  
 (10) A. Did I go as rigorously on every  
 (11) one of them as I did on this one? Is that the  
 (12) question?  
 (13) Q. (By Mr. Cordell) Well, no. Did  
 (14) you ever go and look at the other files to see  
 (15) if there was a patent that had been cited  
 (16) against your application that might have been  
 (17) relevant to this one?  
 (18) A. On any of my others?  
 (19) Q. Uh-huh.  
 (20) A. No.  
 (21) Q. You don't recall anybody ever  
 (22) suggesting that you do that?  
 (23) A. Why?  
 (24) MR. HILL: Objection in that it  
 (25) might call for attorney-client privileged

## Page 258

(1) information.  
 (2) I just caution you not to reveal  
 (3) the contents of communications with lawyers  
 (4) for Cirrus.  
 (5) THE WITNESS: Okay.  
 (6) A. My question is: Why would you do  
 (7) that if the patents aren't related to each  
 (8) other?  
 (9) Q. (By Mr. Cordell) Well, this  
 (10) patent office requires you to tell them about  
 (11) any prior art you know about no matter how you  
 (12) learn about it. Since you're the patent  
 (13) applicant, you're under this continuing duty  
 (14) to disclose.  
 (15) The question is: Did you ever go  
 (16) and look at the other files to see if there  
 (17) had been some prior art cited in those that  
 (18) had not been cited and should have been cited  
 (19) in this one?  
 (20) MR. HILL: Objection. Calls for a  
 (21) legal conclusion.  
 (22) (Mr. Brown entered the  
 (23) deposition proceedings.)  
 (24) A. Make sure you're asking me that --  
 (25) make sure I understand the question here.

## Page 259

Q. (By Mr. Cordell) Sure.  
 A. That when I filed other patents, there was this cited at the front of the patent. Okay? Did I go and search every one of those on every one of the patents I filed for? Is that what you're asking?  
 Q. Yes.  
 A. That would be a full-time job.  
 Q. Well, when you receive office actions as part of your patent prosecution --  
 A. Yeah.  
 Q. -- activities, do you get patents attached to these office actions?  
 A. Patents attached to -- what do you mean by "office actions"?  
 Q. Well, were any of your other patent applications rejected by the patent office?  
 A. Only one, a long time ago.  
 Q. You only received one rejection?  
 A. Yes.  
 MR. HILL: I think the witness might be unclear on office actions versus a final rejection.  
 Q. (By Mr. Cordell) Well, we'll get

## Page 260

to that a little later. Okay? From time to time did you receive communications that -- from the patent office that had patents attached to it of any kind?  
 A. Like I said, I can only recall one time ever getting anything back from the patent office that I had to clarify.  
 Q. Okay.  
 A. There might have been times that I forgot.  
 Q. I think you've -- you've answered the question, so I'll quit -- I'll quit harassing it.  
 With respect to the patents listed here at Tab 5, do you recall reviewing those personally?  
 A. These right here (indicating)?  
 Looking at the numbers and looking at the names, I can't swear that I reviewed everything here. I can say that I did review everything, and that's all I can say. We -- I did review patents that -- a due diligence type thing.  
 Q. Okay. Can you tell us when that occurred?

## Page 261

A. Exactly when, I can't say. I will say it was -- it seems like it was kind of close to the end of the process.  
 Q. And can you describe what you did in this due diligence review?  
 A. I just read the patents and determined how I was not violating them. Once I saw that -- saw something there that I felt that it was clearly -- we had gone beyond what was -- did not relate -- as soon as I determined that there was a clear claim, I put that one aside and went to the next one. I didn't read every one of those things through and through.  
 Q. Well, when you say -- I just want to clarify. Were you worried about infringing these other patents?  
 A. Yes.  
 Q. Okay. And that's why you reviewed them?  
 A. Yes.  
 Q. Do you recall ever going through a stack of patents and explaining to anyone why your patent, your patent application that resulted in the 525 patent, would be valid

## Page 262

notwithstanding the existence of those references?  
 MR. HILL: Objection to the extent it calls for attorney-client privileged information.  
 If you did that with an attorney, I don't want you to reveal the contents of that communication.  
 THE WITNESS: Okay.  
 MR. HILL: But if it was somebody else at Cirrus, of course you can tell him.  
 A. No. Nobody at Cirrus.  
 Q. (By Mr. Cordell) Well, the way Counsel set that up, I don't know how we can get out of that. I mean, you say no. I'm going to assume that you talked to no one in that --  
 A. I can't remember if I talked to a lawyer or not.  
 Q. Okay.  
 A. Okay. I do know that nobody at Cirrus --  
 Q. And you just don't know whether or not you ever talked to them?  
 A. Yeah, I really don't know.

## Page 263

Q. All right. That will get us -- that will get us beyond it anyway.  
 A. Okay.  
 Q. Turn to Tab Number 7 just briefly.  
 A. Okay.  
 Q. This is an office action, by the way. Do you recall ever reviewing one of these before?  
 A. Not to my knowledge. Is this actually an action against this patent?  
 Q. Yes. And before you answer, look at Tab Number 8 because you have another declaration in this file. I don't want you to --  
 A. Tab Number 8? Okay. Okay.  
 Q. Do you recall signing the declaration of Tab Number 8?  
 A. Specifically? No. But that is my signature.  
 Q. Well, you see the documents identified here at Paragraphs 5 through 7?  
 A. Okay. Yeah.  
 Q. Do you recall reviewing those documents?

## Page 264

A. I cannot say I recall reviewing them. If I looked at them again, I could tell you if I reviewed them. I have to see what they are.  
 Q. Let's turn to Tab Number 9.  
 A. Okay.  
 Q. Now, here we have another Information Disclosure Statement, correct?  
 A. Okay.  
 MR. CORDELL: Let me have marked as Nally 19 a multipage document bearing Bates Numbers CL5009 through CL5013.  
 (Deposition Exhibit 19 was marked.)  
 Q. (By Mr. Cordell) Mr. Nally, can you identify what we've marked as Nally 19?  
 A. Okay. Okay.  
 Q. Can you?  
 A. Can I what?  
 Q. Can you identify this?  
 A. It looks like the Brooktree patent.  
 Q. I note the fax cover sheet identifies John Schafer. Is that the colleague that you work with at Pixel?

## Page 265

1: A. Yes.  
 2: Q. And do you know what the  
 3: significance of redo is?  
 4: A. I have no idea what that means.  
 5: Q. Does the form of this  
 6: communication indicate to you its source?  
 7: A. No.  
 8: Q. I note that the date on the cover  
 9: is 2 October 1995. Do you see that?  
 10: A. Yes.  
 11: Q. Does this refresh your  
 12: recollection of when you first encountered  
 13: the Siann patent?  
 14: A. No, it doesn't.  
 15: Q. Well, referring back to Tab 9 in  
 16: Exhibit 17 --  
 17: A. Tab 9?  
 18: Q. -- here you do inform the patent  
 19: office of the existence of the Siann patent,  
 20: correct?  
 21: MR. HILL: Objection to form, and  
 22: that mischaracterizes his communication.  
 23: A. The question is what now?  
 24: Q. (By Mr. Cordell) Well, I mean, is  
 25: this -- is this the occasion on which you or

## Page 268

1: to run out, so why don't we take our afternoon  
 2: break.  
 3: THE WITNESS: Yeah, good break  
 4: time.  
 5: THE VIDEOGRAPHER: We're off the  
 6: video record, 4:46.  
 7: (A recess was taken.)  
 8: THE VIDEOGRAPHER: We're on the  
 9: video record, 5:02, Tape 4.  
 10: Q. (By Mr. Cordell) Mr. Nally,  
 11: let's -- let's now turn to Tab Number 10, if  
 12: you will, and turn back to the third page of  
 13: that section. And do you see the claim that  
 14: was Number 12 and it's been marked through?  
 15: It's Number 13.  
 16: A. Yes.  
 17: Q. I'd like to get your understanding  
 18: of some of the terms in this claim. It starts  
 19: off with: Circuitry for writing selectively  
 20: each word of received data into a selected one  
 21: of on-screen and off-screen memory spaces of a  
 22: frame buffer.  
 23: Do you see that?  
 24: A. Uh-huh.  
 25: Q. Can you tell me what "circuitry

## Page 266

1: your representative informed the patent office  
 2: about the existence of the Siann reference?  
 3: MR. HILL: Objection. The  
 4: document speaks for itself.  
 5: A. Yeah. I can only -- I mean, I  
 6: know nothing. I don't remember, you know,  
 7: anything here. My signature is not on it.  
 8: Q. (By Mr. Cordell) Okay. Do you  
 9: recall discussing the Siann patent with anyone  
 10: in the spring of 1995?  
 11: MR. HILL: Objection to the  
 12: extent that it might call for privileged  
 13: communications with your attorney at that  
 14: time.  
 15: Q. (By Mr. Cordell) I'm sorry. I'll  
 16: modify my question to the end of 1995 or the  
 17: beginning of 1996.  
 18: A. I can't remember any conversations  
 19: about that. You know, exactly when I became  
 20: aware of it and all that kind of stuff, that  
 21: was just too many years ago. And this  
 22: document doesn't do anything to refresh my  
 23: memory.  
 24: Q. Now, look at Page 2, if you will.  
 25: A. Okay.

## Page 269

1: for writing selectively" means?  
 2: MR. HILL: Again, the standing  
 3: objection that I had is still standing, that  
 4: it calls for a legal conclusion.  
 5: A. I don't really know what selective  
 6: words they're talking about here. I'd  
 7: probably have to read more to find out.  
 8: Q. (By Mr. Cordell) That doesn't  
 9: have any -- any plain meaning to you?  
 10: A. The sentence by itself doesn't  
 11: stand by itself, no.  
 12: Q. Well, does the phrase "selected  
 13: one of on-screen and off-screen memory spaces  
 14: of a frame buffer" have any meaning to you?  
 15: A. I still can't distinguish what the  
 16: data you're talking about is.  
 17: Q. Well, this would be received data,  
 18: perhaps?  
 19: A. Yeah, it's received data. That's  
 20: data coming from the host. Okay. But it  
 21: doesn't tell me what kind of data it is.  
 22: Q. Let's see. Does it matter what  
 23: kind of data it is?  
 24: A. Well, you've got to figure out  
 25: why -- can I just read ahead to see what

## Page 267

1: Q. And I'll ask you to read to  
 2: yourself the full paragraph that is presented  
 3: there.  
 4: A. Okay.  
 5: Q. Does this refresh your  
 6: recollection of any discussions you may have  
 7: had about the Siann patent?  
 8: A. I see some wording here that I  
 9: have never used. Does that help?  
 10: MR. HILL: He's asking you a yes  
 11: or no --  
 12: A. No.  
 13: MR. HILL: -- question,  
 14: Mr. Nally. As if it refreshes your  
 15: recollection, tell him so.  
 16: A. No. No, it doesn't refresh my --  
 17: all I'm saying is that I do not remember any  
 18: of this, and I don't see -- well, no.  
 19: Q. (By Mr. Cordell) And your  
 20: testimony is that the language here is not  
 21: language that you would normally use?  
 22: A. That's right. That's what I'm  
 23: looking for.  
 24: MR. CORDELL: Okay. The  
 25: videographer tells us that the tape is about

## Page 270

1: they're talking about?  
 2: Q. Oh, sure. Absolutely.  
 3: A. Because I've got to figure out  
 4: what they're trying to -- what's trying to be  
 5: said here.  
 6: Q. Absolutely.  
 7: A. And it's been a long time since I  
 8: was involved in this. Okay.  
 9: Q. Okay. Do you recall now what the  
 10: circuitry for writing selectively is?  
 11: A. I can't recall this document or  
 12: what was going on here, but I can tell you the  
 13: way I interpret what's written.  
 14: Q. That's fine.  
 15: MR. HILL: That's your  
 16: understanding.  
 17: THE WITNESS: Right.  
 18: A. What we're talking about here is  
 19: we're talking about a dual-aperture frontend,  
 20: a first video port for receiving video and  
 21: graphics data, a word of said data received  
 22: with an address of said memory spaces  
 23: directing said word to be processed a word  
 24: of video data or a word of graphics data.  
 25: Q. (By Mr. Cordell) So this would be

## Page 271

(1) a --  
 (2) A. The second port is for receiving  
 (3) real-time video data. In other words, we got  
 (4) one port going to the -- the first port is to  
 (5) PCI bus interface. The second port is to VAFC  
 (6) or the V-port interface.  
 (7) Q. Okay. So the first port is  
 (8) defined by the PCI specification?  
 (9) A. Yeah.  
 (10) Q. And the second port is defined by  
 (11) the VAFC specification?  
 (12) A. That's one way of saying it. In  
 (13) other words, one of them is a host interface.  
 (14) The other one is a real-time data interface.  
 (15) Q. But what I'm looking for, though,  
 (16) is: Is your understanding of what's written  
 (17) after "a first port" a description of a PCI  
 (18) specified interface?  
 (19) A. A PCI interface would fall under  
 (20) this definition.  
 (21) Q. PCI interface requires that you  
 (22) have data associated with a particular address  
 (23) field and graphics associated -- strike that.  
 (24) PCI specification requires that  
 (25) your graphics data is associated with a

## Page 274

(1) A. Let me think about that. It  
 (2) depends on what you call memory. FIFO is  
 (3) memory. It violates that statement. So once  
 (4) again, it all depends on --  
 (5) Q. (By Mr. Cordell) Well, let me  
 (6) just ask it this way, Mr. Nally: Are you  
 (7) aware of a frame buffer that you've ever been  
 (8) exposed to that didn't have an address  
 (9) associated with each word of data?  
 (10) A. No.  
 (11) Q. Let's jump down to the phrase that  
 (12) reads: Circuitry for generating an address  
 (13) associated with a selected one of said memory  
 (14) spaces for a word of said real-time video  
 (15) data.  
 (16) Do you have an understanding of  
 (17) what this refers to?  
 (18) A. Yes.  
 (19) Q. What's that?  
 (20) A. That is a video window control  
 (21) mechanism where you take your syncs and you  
 (22) know what address to generate based on your  
 (23) sync information on that real-time video port.  
 (24) Q. Okay. Where do the syncs come  
 (25) from?

## Page 272

(1) particular address field and video data  
 (2) associated with a different address field,  
 (3) correct?  
 (4) A. Basically what they're saying is  
 (5) that every piece of information going to the  
 (6) frame buffer has to have an address to tell  
 (7) you where to put it.  
 (8) Q. And it's also telling you that the  
 (9) address range indicates to the controller  
 (10) whether it's video or graphics?  
 (11) A. That does not -- it's not spelled  
 (12) out in this statement.  
 (13) Q. Well, that is true in the PCI  
 (14) specification, though, isn't it?  
 (15) A. Not to my knowledge.  
 (16) Q. It is not your understanding in  
 (17) the PCI specification, the PCI video  
 (18) specification, that certain address fields are  
 (19) reserved for video and certain address fields  
 (20) are reserved for graphics?  
 (21) A. PCI video specifications?  
 (22) Q. Yes.  
 (23) A. I'm not aware of such a  
 (24) specification.  
 (25) Q. We'll talk more about that later,

## Page 275

(1) A. From the source.  
 (2) Q. From the video source?  
 (3) A. Right.  
 (4) Q. And where does the specification  
 (5) for the address ranges for that video window  
 (6) come from?  
 (7) A. There are no -- I mean, that's  
 (8) determined by software.  
 (9) Q. Okay. Where is that software  
 (10) resident?  
 (11) A. In the driver.  
 (12) Q. Where is the driver resident?  
 (13) A. What do you mean?  
 (14) Q. What processor executes that  
 (15) drive?  
 (16) A. The CPU.  
 (17) Q. And that's back in the host?  
 (18) A. Yes.  
 (19) Q. So, you know, if you're looking --  
 (20) let's take the 5440 as an example. If you're  
 (21) looking for the circuitry that generates that  
 (22) address --  
 (23) A. Okay.  
 (24) Q. -- where is that circuitry?  
 (25) A. Okay. Maybe I misunderstood what

## Page 273

(1) but let me -- let me get back to the first  
 (2) limitation there, which is the circuitry for  
 (3) writing selectively. You said you were going  
 (4) to read ahead --  
 (5) A. Yes.  
 (6) Q. -- and see if you could get some  
 (7) context for that. Were you able to find any  
 (8) context?  
 (9) A. Basically it says that writing  
 (10) selectively -- in other words, each word of  
 (11) data has a different address. That's the  
 (12) writing -- like I said, this is lawyers'  
 (13) terminology that this says -- in my mind, an  
 (14) engineer's mind, saying that every word in  
 (15) memory has a different address.  
 (16) Q. Isn't that kind of a tautology? I  
 (17) mean, wouldn't -- isn't it -- I mean, can you  
 (18) think of a structure wherein a word of data  
 (19) would not have an address?  
 (20) MR. HILL: I'm going to object to  
 (21) form.  
 (22) Q. (By Mr. Cordell) Isn't that kind  
 (23) of a basic requirement of an addressable  
 (24) memory scheme?  
 (25) MR. HILL: Same objection.

## Page 276

(1) you're saying. On the video -- on the video  
 (2) port, the host program is up at the registers  
 (3) that determine what that address is.  
 (4) Q. Okay. So is that the circuitry  
 (5) for generating an address?  
 (6) A. Yes.  
 (7) Q. That would be in the host?  
 (8) A. No. That's in the graphics  
 (9) controller. It's in this -- the device that  
 (10) this is a part of.  
 (11) Q. Okay.  
 (12) A. The real-time port has to have a  
 (13) device for generating addresses for the data  
 (14) coming through that port.  
 (15) Q. All -- all the -- the data has to  
 (16) get an address before it can enter the frame  
 (17) buffer, correct?  
 (18) A. Right.  
 (19) Q. So the question is: What in  
 (20) particular, if you can do it, is the circuitry  
 (21) that generates that address?  
 (22) A. Okay. It's the XY windowing  
 (23) scheme.  
 (24) Q. It's the XY windowing scheme.  
 (25) Now, let's turn to the back of



## Page 277

(1) this little exhibit. If you look right in  
(2) front of the tab marked Issuing Fee, you're  
(3) going to find some -- some drawings.

(4) A. Okay.

(5) Q. If you can, can you tell me what  
(6) element or elements in these drawings  
(7) corresponds to the circuitry for generating an  
(8) address that you've been talking about?

(9) A. Okay. Take a look at Figure 1:  
(10) 109 between the video source, 104, and the VGA  
(11) controller 105. Part of that 109 is the sync  
(12) signals, A-sync and V-sync.

(13) Come down to Figure 5. You see  
(14) those signals. You see them?

(15) Q. I'm sorry. Can you do that  
(16) again? I was -- I was lost in drawing land.

(17) A. Okay. Going up to Figure 1 you  
(18) see a line with an arrow on it labeled 109.  
(19) That's your video -- real-time video port. A  
(20) part of that video port is down in Figure 5  
(21) where you see V-sync and A-sync.

(22) Okay. Another part of that is  
(23) something called a V-clock, which you also see  
(24) down here. What it is -- you see two  
(25) counters, and that is the circuitry that

## Page 280

(1) not shown, is the address associated with the  
(2) right buffer that goes -- that receives video  
(3) port interface. So what's missing out of this  
(4) drawing is really -- the right buffer is a  
(5) two-part buffer, is an address and data.

(6) Q. And that's just missing out of the  
(7) drawings?

(8) A. Yes.

(9) Q. Well, is -- are the addressing  
(10) features of the V-port particularly difficult  
(11) to implement?

(12) A. No, not really.

(13) Q. Is it something that one of  
(14) ordinary skill --

(15) A. Yes.

(16) Q. -- would be able to do?

(17) A. With this right here, yeah, anyone  
(18) with ordinary skill knows that you take these  
(19) two addresses and add them to an offset  
(20) value. What you do -- the formula is quite  
(21) straightforward. You take your offset value,  
(22) you add it to the Y-position count times the  
(23) pitch, and then you add the X-count.

(24) MR. HILL: The objection I wanted  
(25) to make was that one of ordinary skill is a

## Page 278

(1) controls the address. You generate from those  
(2) two counters an X-position, a Y-position. And  
(3) that circuit, 105 -- that Figure 5 generates  
(4) the address.

(5) Q. Well, the output of this circuit  
(6) is input to the P-MUX, correct?

(7) A. Where is the P-MUX?

(8) THE WITNESS: Okay. Let's see if  
(9) that's it.

(10) MR. HILL: Just to speed it up a  
(11) little bit, I'm pointing the witness to  
(12) Figure 3, Block 304.

(13) MR. CORDELL: As much as I look  
(14) forward to taking your deposition, Mr. Hill, I  
(15) don't think -- I'm not so sure the witness is  
(16) going to agree with you.

(17) A. Okay. 305 in Figure 3 inside of  
(18) 221 is the same as 305 in Figure 5, and that  
(19) output goes to select the over -- let's see.

(20) Q. (By Mr. Cordell) You were -- you  
(21) were looking for the section that assigned the  
(22) addresses to the incoming video in the live  
(23) port.

(24) A. Right. Okay. Do you see 222?

(25) Q. Yes.

## Page 281

(1) legal conclusion.

(2) Q. (By Mr. Cordell) Let's try it  
(3) another way then. Mr. Nally, just so I'm  
(4) clear, it is your testimony that the details  
(5) of implementation in the video port would be  
(6) something that any reasonably competent  
(7) videographic controller engineer should be  
(8) able to accomplish?

(9) A. Yes.

(10) Q. And they would be able to do that  
(11) using well-known concepts?

(12) MR. HILL: Objection. Ambiguous  
(13) as to well known and what time.

(14) MR. CORDELL: Well, let me try  
(15) that again.

(16) Q. (By Mr. Cordell) At the time that  
(17) you filed the 525 patent --

(18) A. Yeah.

(19) Q. -- which was in January of 1995,  
(20) is it true that a reasonably competent  
(21) videographic controller engineer could  
(22) implement the V-port features of the 525  
(23) patent using concepts such as the VAFC  
(24) specification?

(25) MR. HILL: Objection. Calls for

## Page 279

(1) A. VDM 1. See the 308? You see the  
(2) 308 in Figure 5?

(3) Q. Yes.

(4) A. Okay. They're the same. Okay.

(5) Q. I'll tell you what -- what I'm --  
(6) and, again, I'm not trying to do anything  
(7) other than speed it up myself, but I am unable  
(8) to find an element set forth in the drawings  
(9) that shows an operational association with the  
(10) input video port sufficient to assign these  
(11) addresses.

(12) When you point me to Figure 5,  
(13) what I see is a subset of Figure 3, which  
(14) looks like output control.

(15) A. Okay. Okay. Back up. 305 is a  
(16) comparative, has a one-bit output. Okay? The  
(17) X-screen position and the Y-screen position,  
(18) those are the addresses. That pinpoints you  
(19) on the screen. Okay? That's your position.

(20) If you turn to Figure -- Figure 2,  
(21) if you go down, you'll see 213. You see a  
(22) line coming out of the side of it going over  
(23) to the overload control. That it is P value.  
(24) Okay. That's that P input. Okay?

(25) Coming out of the 213, which is

## Page 282

(1) speculation and possibly expert testimony.

(2) Q. (By Mr. Cordell) You can answer  
(3) it.

(4) A. It's the same concept used in the  
(5) CRT controller that's been used since we've  
(6) been rastering data out of a frame buffer.  
(7) You've got to generate an address to go get  
(8) the data out of the frame buffer to print on  
(9) the screen. You use the same concepts to put  
(10) raster data into the frame buffer.

(11) Q. So is the answer to my question,  
(12) yes, a reasonably competent engineer would be  
(13) able to do this?

(14) A. Yes.

(15) Q. Now, you've got to jump down two  
(16) clauses here, again, because of kind of the  
(17) way patent lawyers write. So the one in  
(18) brackets, it turns out, doesn't count  
(19) anymore. That means that it's been stricken  
(20) from the patent.

(21) MR. HILL: Are we back to Tab 10?

(22) MR. CORDELL: Yes, we're on

(23) Tab 10.

(24) A. And we're on what? Thirteen?

(25) Claim -- is it --

## Page 283

Q. (By Mr. Cordell) Yeah, it's Page 4 - 49.  
 A. Forty-nine? Okay.  
 Q. Now, the next clause reads: Circuitry for selectively retrieving said words of data from said on-screen and off-screen memory spaces as data is rastered for driving a display.  
 Do you see that?  
 A. Yes.  
 Q. The question I have is: What does -- what does the term "is rastered" mean to you?  
 A. Rastered means that you're -- you're presenting data to a device without an address, and that device is expected to put that data in a two-dimensional space line-by-line.  
 Q. So under the rastering scheme you just described, there is a starting point, correct?  
 A. Yes.  
 Q. And then everything after that starting point simply follows one byte after the next?

## Page 284

A. One pixel after the next.  
 Q. Thank you. One pixel after the next. And there's no further framing information until you get back to the beginning point again?  
 A. No. You have framing information with every line.  
 Q. Okay. Now, that sounds like you're describing something a little more to rastering than I thought you were.  
 A. No. There's two framing controls: a vertical and a horizontal. That's the reason they call them H-sync and V-sync. As you're going this way (indicating), you have to have some kind of indication to know when to go back and start on the left side again.  
 Q. So is it true, then, that your understanding of the term "rastered" permits there to be additional control signals fed into the circuit?  
 A. Such as?  
 Q. H-sync and V-sync.  
 A. Yeah. That's part of the rastering. You can't raster without H-sync or

## Page 285

V-sync. You've got to have some way to frame two-dimensional data.  
 Q. Well, then, now let's drop down then to the clause that begins "a video backend pipeline." Do you see that?  
 A. Uh-huh.  
 Q. And it reads: A video backend pipeline for processing other ones of said words of data representing video data retrieved from said frame buffer, said circuitry for retrieving always rastering a stream.  
 Do you see that?  
 A. Uh-huh.  
 Q. Now, what do you understand the term "always rastering" to mean?  
 A. I would say that they are maintaining a live video stream.  
 Q. Well, can you maintain a live video stream with pauses in the data field?  
 A. Yes.  
 Q. For example, whenever you have to present a V-sync, there would be a pause in the data stream, correct?  
 A. There's other pauses as well.

## Page 286

Q. Okay. Well, I'm just wondering how we drive "rastering" with "always rastering" under those circumstances.  
 A. Because always rastering means that you are constantly putting a steady frame rate -- a steady frame rate. If that picture is a small picture in the middle of a screen, you're not rastering when you're painting up here (indicating).  
 MR. HILL: No. You should look carefully at that claim note right there and note what is always being rastered whether it's video or graphics.  
 MR. CORDELL: Let me --  
 THE WITNESS: Oh.  
 MR. CORDELL: -- see if I can clarify that.  
 THE WITNESS: Oh, okay. I'm sorry. This is -- I should read ahead. I'm getting tired.  
 A. This is not video. This is graphics. Always rastering a stream of data from said frame buffer to said graphics like in pipeline.  
 I was talking about video, live

## Page 287

video. I didn't finish reading it. We're talking about graphics here.  
 Q. (By Mr. Cordell) Understood.  
 A. And if you pause in rastering graphics, the only time you can pause is during the sync period. The stream -- just like you said, when you're resyncing -- you know what I mean by resyncing?  
 Q. Yes.  
 A. You're -- technically, the stream halts or it pauses, but you're still -- that's all part of the rastering mechanism. Rastering is the whole thing.  
 Q. So by always rastering, you mean that you are continuously clocking out pixels from memory into the graphics pipeline?  
 A. Into the graphics pipeline?  
 Okay. We're getting down to splitting hairs again. Remember the sequencer. You've got a lot of things going on in memory at the same time. You're not steadily pulling pixels out like this. You've got a FIFO. You go in and you grab a big hunk of memory and you pull it out and put it in the FIFO and let the other system do something else. Okay?

## Page 288

So when they say arresting a stream, they're not saying they're constantly hammering the memory. They're not constantly hammering the memory. They're keeping a pipeline fed. That's the purpose of the FIFO, is to keep that pipeline fed without -- and still giving the rest of the system memory access, memory bandwidth.  
 Q. Well, I guess the problem that I have is what the claim says is always rastering.  
 A. Yeah. And remember just the definition of rastering. Rastering is the whole thing. Okay? As long as you keep that needle moving, you're constantly steadily rastering. Now, if you've got a buffer over here that allows you to do something over here so that you can do this, then you're still steadily streaming.  
 Q. Turn the page, if you will, to Claim 15. Now, Claim 15 describes four modes of operation. Do you see that?  
 A. Yes.  
 Q. Can you tell me whether or not the Alpine CDX included four modes of operation?

## Page 289

(1) A. I can't recall.  
 (2) Q. Would it help you to review the  
 (3) Alpine CDX specifications that we've marked?  
 (4) A. Not really because I'd have to see  
 (5) the final spec -- I mean, the final -- what do  
 (6) they call it -- customer reference guide to  
 (7) see what was finally implemented.  
 (8) Q. Okay. Well, I'm really thinking  
 (9) back, though, to the very early  
 (10) specifications. Was there any attention paid  
 (11) to these various modes of operation in the  
 (12) original specifications?  
 (13) A. Let me see what they are. Do you  
 (14) want to take them one at a time?  
 (15) Q. Sure.  
 (16) A. The first mode is not a VEGF. The  
 (17) first mode is not there. Okay. The second  
 (18) mode is there.  
 (19) Q. Was there?  
 (20) A. It was in the original  
 (21) specification. Let me go back to the second  
 (22) one. I'm getting tired here. The words are  
 (23) running together.  
 (24) Pass a word of data output from  
 (25) said video pipeline when said display raster

## Page 292

(1) reason I'm reluctant to answer is because what  
 (2) I proposed and what was implemented, I do  
 (3) not -- I cannot guarantee you that everything  
 (4) I proposed was implemented.  
 (5) Now, I can't even recall if I  
 (6) proposed those modes or not right now, but --  
 (7) so I do know that there was the window, the  
 (8) overlay, and there was an alpha key mode. And  
 (9) I can't determine that from this reading what  
 (10) he's trying to say.  
 (11) Q. Let's -- let me suggest this: Why  
 (12) don't we -- why don't we go on to some other  
 (13) subjects, and we can revisit this in the  
 (14) morning when you're --  
 (15) A. A little bit fresher.  
 (16) Q. -- fresher and --  
 (17) A. Yeah.  
 (18) Q. Because I sympathize with the long  
 (19) day.  
 (20) MR. CORDELL: Let me -- let me  
 (21) have marked as Nally Exhibit Number 20 a  
 (22) single page document bearing Bates Number  
 (23) CL4897.  
 (24) (Deposition Exhibit 20  
 (25) was marked.)

## Page 290

(1) scan has reached a display position  
 (2) corresponding to a window and a word of data  
 (3) from said graphics pipeline when said display  
 (4) raster is -- okay. Yes. Okay. That was --  
 (5) that was the window.  
 (6) Third one: Pass a word of data  
 (7) output from said video pipeline when said  
 (8) display raster scan has reached a display  
 (9) position corresponding to a window and a  
 (10) corresponding word of data from said graphics  
 (11) pipeline matches a color key -- okay. So  
 (12) that's the overlay mode.  
 (13) And the fourth mode: What does it  
 (14) say when said A -- what does the "A" mean  
 (15) that's in brackets?  
 (16) Q. Oh, that means that it's been  
 (17) struck from the claim.  
 (18) A. Okay.  
 (19) MR. HILL: Maybe Mr. Nally can be  
 (20) given time overnight to review this and be a  
 (21) little more useful.  
 (22) Q. (By Mr. Cordell) Well, can you --  
 (23) can you answer this last question?  
 (24) MR. CORDELL: And we can talk  
 (25) about that.

## Page 293

(1) Q. (By Mr. Cordell) Can you identify  
 (2) what we've marked as Nally 20?  
 (3) A. It looks like something  
 (4) handwritten to John Schafer and myself from  
 (5) David Keene.  
 (6) Q. Do you recall receiving this?  
 (7) A. No, I do not.  
 (8) Q. Now, in it Mr. Keene identifies  
 (9) two patent applications, correct?  
 (10) A. Yes.  
 (11) Q. Do you recall which two patent  
 (12) applications he was talking about?  
 (13) A. Looking at the name, I know that  
 (14) they're related to the flat panel people,  
 (15) assuming that this is something to do with  
 (16) something that they were working on in the  
 (17) depression area.  
 (18) MR. HILL: Mr. Nally, don't  
 (19) speculate.  
 (20) THE WITNESS: Okay. Okay. I  
 (21) won't.  
 (22) MR. CORDELL: Let me have marked  
 (23) as Nally 21 a multipage document bearing Bates  
 (24) Numbers AT1027995 through 28014.  
 (25) (Deposition Exhibit 21 was marked.)

## Page 291

(1) A. Right now I'm kind of tired.  
 (2) You're very particular about words.  
 (3) Q. (By Mr. Cordell) Well, let me say  
 (4) this: When this is all over, you and I will  
 (5) hunt down the guy that wrote these, and we'll  
 (6) give him what for. But the --  
 (7) A. I know what he's trying to say,  
 (8) but I want to be absolutely sure that --  
 (9) Q. Sure.  
 (10) A. Yeah.  
 (11) Q. And what I'm going to suggest is  
 (12) that maybe we break a little earlier than we  
 (13) planned because I sense that you're tired, and  
 (14) the last thing I want you to do is to give us  
 (15) testimony that you have to go back later and  
 (16) try to change and whatnot; but let's try to  
 (17) get through this last thing, if we can.  
 (18) A. Yeah. As long as I don't have to  
 (19) read.  
 (20) Q. Well, I mean, you know, do you  
 (21) recall there being four operating modes in the  
 (22) Alpine CDX?  
 (23) A. I can't really -- when you say the  
 (24) Alpine CDX, I can't remember exactly what was  
 (25) implemented. That's what's got me -- the

## Page 294

(1) MR. HILL: Where are we at?  
 (2) Twenty?  
 (3) MR. CORDELL: Twenty-one.  
 (4) MR. HILL: Okay.  
 (5) Q. (By Mr. Cordell) Mr. Nally, can  
 (6) you identify what we've marked as Exhibit 21?  
 (7) A. Yes. It's a patent, Cirrus Logic  
 (8) patent, named Variable Pixel Depth and Format  
 (9) for Video Windows.  
 (10) Q. Do you recall ever seeing this  
 (11) before?  
 (12) A. I have. I saw it for the first  
 (13) time due to this process.  
 (14) Q. And by "this process," you mean  
 (15) this lawsuit?  
 (16) A. Yes.  
 (17) Q. Well, can you tell me when? Don't  
 (18) tell me who you talked with about it, but tell  
 (19) me when you became aware of this patent.  
 (20) A. If I told you that, you'd know  
 (21) who.  
 (22) Q. Well, was it within the last two  
 (23) weeks?  
 (24) A. Yes.  
 (25) Q. Right. That does tell me who you

## Page 295

talked with.  
I note that in Mr. Keene's fax  
it -- it relates to a patent application filed  
4-27-94, which is awful close to the filing  
date of Nally 21. Do you see that?  
MR. HILL: Objection. That  
assumes facts not in evidence. There's no  
indication this is a fax.  
MR. CORDELL: Huh?  
THE WITNESS: Oh, yeah.  
Q. (By Mr. Cordell) Oh, I'm sorry.  
I thought you said that Nally 20 was a fax.  
Was it -- was it something different?  
A. Nally 20?  
Q. Yes.  
A. The dates? What about the dates?  
Q. Your counsel seemed to be pointing  
out that I was calling this a fax when it may  
not be.  
A. Yeah.  
Q. Do you recall how you received  
Nally 20?  
A. I don't recall receiving it. Like  
I said, a lot of information was directed to  
me that I either never read or never got or

## Page 296

read and shelved.  
Q. Well, Mr. Keene identifies Vlad  
Bril as an inventor, correct?  
A. Yes.  
Q. Mr. Bril was one of the engineers  
out in Fremont working on the flight panels,  
correct?  
A. Yes.  
Q. Are you familiar with the flight  
panel problems?  
A. Yes.  
Q. Do you know whether or not they  
use a so-called tagged memory scheme to  
differentiate between video and graphics?  
MR. HILL: I'll object to form.  
You can answer.  
A. Looking at this last night, I saw  
that they were. I wasn't aware that they  
were -- I knew they was doing something, but I  
wasn't aware -- I wasn't privileged to what  
they were doing.  
Q. (By Mr. Cordell) I note that this  
application was filed about ten months before  
your application was filed. Do you know  
whether or not the folks working on the flight

## Page 297

panel controllers were ahead of you in their  
development process?  
MR. HILL: We'll object to form.  
A. We were parallel. Who was ahead,  
I don't know.  
Q. (By Mr. Cordell) Do you recall  
the first product they came up with?  
A. I can narrow it down to one of  
two.  
Q. Okay. What are they?  
A. Be the Viking or the Nordic. I  
don't know which one came first.  
Q. Do you have any information about  
the innerworkings of the Viking product?  
A. Very vague even then.  
Q. Well, did they -- did they utilize  
backend processing in the Viking product?  
A. Yes.  
Q. Did they utilize a shared frame  
buffer?  
MR. HILL: I caution you not to  
speculate, Mr. Nally. If you know, please  
tell him.  
THE WITNESS: Okay.  
A. It was -- it was -- how do you

## Page 298

describe this? They -- they stored the video  
in a compressed form. That's the best way to  
describe it.  
Q. (By Mr. Cordell) Where did they  
store it in a compressed form?  
A. In the frame buffer.  
Q. Right along beside the graphics  
data?  
A. Yes.  
Q. And was that also true for the  
Nordic product line?  
A. Yes.  
Q. So would you characterize the  
Viking product as utilizing a multiformat  
frame buffer?  
A. That's -- you're splitting hairs;  
but by definition it didn't because it stored  
it in a compressed form, not a native form.  
Q. I see. So if the video is stored  
in compressed form, you don't find that to be  
a multiformat frame buffer?  
A. In my opinion, no.  
Q. What about the Nordic product  
line? Did it utilize a multiformat frame  
buffer?

## Page 299

A. I cannot remember when they  
followed the Alpine design.  
Q. Can you give me a relative time  
frame on the Viking product line?  
A. No, I really don't.  
Q. What about Nordic?  
A. Really all I can say is that we --  
on that trip, the marketing trip, is that we  
was previewing both products to the customer.  
Q. Both the Alpine and the Nordic?  
A. Right.  
Q. I just want to make sure I'm  
clear. Did the Nordic product -- you store  
uncompressed video in the frame buffer?  
A. Not to my knowledge.  
Q. At the time you were developing  
the Alpine CDX, did you exchange information  
with Mr. Bril and his team that were working  
on the Nordic and Viking products?  
A. Every time they found out I was in  
the building, they hunted me down and asked me  
a bunch of questions.  
Q. Sort of picked your brain?  
A. That's what they were doing.  
Q. Might as well be lawyers.

## Page 300

Well, did you share with them the  
idea of using a shared frame buffer and  
backend processing?  
A. Yes.  
Q. And do you know if they had  
already incorporated those concepts into the  
Viking or Nordic lines?  
A. I could tell by the questions they  
was trying to figure out how to do it.  
Q. Okay. What was it that made you  
think that they were trying to figure out how  
to do it?  
A. A lot of color space conversion  
questions.  
Q. Wouldn't they need the same color  
space conversion questions on the frontend  
processor?  
A. Yes, they would. I just made an  
assumption, I guess.  
Q. Who would you say is the most  
knowledgeable person about the Viking or  
Nordic product lines at Cirrus today?  
A. At Cirrus today? I don't even  
know who's there today.  
Q. Okay. Well, then, at any time.

## Page 301

(1) A. Best overall knowledge? I would  
 (2) say it would be between Vlad Bril and Alex  
 (3) Eglit, E-g --  
 (4) MR. HILL: Eglit.  
 (5) A. Eglit.  
 (6) Q. (By Mr. Cordell) Right there on  
 (7) the patent.  
 (8) Do you know where either of those  
 (9) two gentlemen are employed today?  
 (10) A. No.  
 (11) MR. CORDELL: We're approaching  
 (12) 6:00 o'clock, and out of consideration for the  
 (13) witness who I think has indulged us today, I  
 (14) would propose that we adjourn as long as we  
 (15) can get back here maybe at 8:00 o'clock in the  
 (16) morning.  
 (17) (11:45) THE WITNESS: I've got an  
 (18) flight to catch tomorrow, so do you think we  
 (19) can do it by 11:00? I've got a definite --  
 (20) it's a hard cutoff at 11:00.  
 (21) MR. CORDELL: Sure. I mean, we  
 (22) can start earlier. I just don't want to drag  
 (23) you down here at 5:00 a.m.  
 (24) THE WITNESS: I will probably come  
 (25) in earlier and get my ticket and everything

## Page 0

(1) before I come here. So 8:00 o'clock will be  
 (2) good because I can come get everything taken  
 (3) care of.  
 (4) MR. CORDELL: Okay. Well, then,  
 (5) we'll see you tomorrow morning.  
 (6) THE VIDEOGRAPHER: We're off the  
 (7) video record, 5:51.  
 (8) (End of Volume II.)  
 (9) -----  
 (10)  
 (11)  
 (12)  
 (13)  
 (14)  
 (15)

(16) \_\_\_\_\_  
 (17) Signature of the Witness

(18) SUBSCRIBED AND SWORN to before me this \_\_\_\_\_  
 (19) day of \_\_\_\_\_, 19\_\_.

(20) \_\_\_\_\_  
 (21) NOTARY PUBLIC

(22) My Commission expires: \_\_\_\_\_  
 (23)  
 (24)  
 (25)

**This Page Blank (uspto)**

**From 4-27-94 to 5440**

5465 [1] 178:1  
5:00 [1] 301:23  
5:02 [1] 268:9  
5:51 [1] 302:7

-- 6 --

6 [9] 4:6, 11; 146:14, 17, 20;  
148:14; 212:21; 242:7, 12  
600 [1] 139:11  
601 [2] 3:13; 199:14  
64 [1] 194:20  
64-bit [1] 250:19  
645 [2] 2:10; 198:11  
65 [2] 8:9; 247:19  
6:00 [1] 301:12

-- 7 --

7 [11] 4:7, 12; 149:18, 21, 25;  
151:18; 247:5, 10; 248:16; 263:4,  
22  
72 [1] 166:13  
726 [1] 157:23  
738 [1] 175:19  
750 [5] 19:16, 21; 20:1, 9;  
226:22  
75069 [1] 7:12  
750K [2] 140:5; 141:1  
768 [3] 139:5; 140:4; 141:2  
795 [1] 148:20  
796 [1] 148:23

-- 8 --

8 [14] 4:13; 153:24; 154:1, 4;  
163:25; 164:2; 182:17; 203:15;  
232:5; 233:4, 5; 263:13, 16, 18  
8-bit [1] 237:8  
800 [1] 139:11  
81 [1] 11:5  
82 [1] 71:12  
83 [3] 56:10; 71:12, 13  
84 [2] 12:5; 222:15  
85 [1] 64:19  
86 [1] 222:16  
87 [1] 143:6  
88 [1] 18:25  
885 [17] 60:1, 4; 62:24; 64:8,  
15; 65:6, 11, 18; 88:8, 11; 92:10;  
94:7, 23; 95:2; 97:21; 227:16;  
244:2  
89 [2] 19:1; 28:6  
8:00 [2] 301:15; 302:1  
8th [1] 172:4

-- 9 --

9 [17] 4:14; 160:10, 12, 15;  
161:15; 162:2; 224:2, 3, 7;  
226:13, 18, 19; 227:24; 228:5;  
264:5; 265:15, 17  
90 [3] 19:1; 20:19; 28:7  
91 [6] 12:5; 20:19, 22; 28:5, 6;  
44:11  
92 [6] 46:7; 56:5; 58:14; 60:10,  
12; 205:5

93 [10] 46:7; 56:3, 10, 12; 60:10;  
71:14, 15; 113:25; 205:10, 11  
94 [5] 4:8; 46:8; 205:3; 207:2, 3  
94105-2482 [2] 3:7; 199:7  
95 [2] 12:15; 47:9  
96 [1] 47:9  
97 [2] 46:7; 146:16  
9:00 [1] 2:6  
9:05 [1] 6:3

-- A --

A-sync [2] 277:12, 21  
a.m. [2] 2:6; 301:23  
AB [1] 230:15  
ability [6] 30:24; 50:1, 4; 51:7;  
69:1; 159:20  
able [19] 25:12; 28:20; 33:13;  
63:13; 65:5, 8; 69:20; 88:5;  
134:19; 145:23; 180:2; 187:9;  
193:19; 230:13; 273:7; 280:16;  
281:8, 10; 282:13  
above-styled [2] 2:4; 198:5  
Absolutely [5] 89:17; 116:25;  
130:3; 270:2, 6  
absolutely [4] 131:4; 200:10;  
233:25; 291:8  
abstract [2] 250:6; 251:7  
acceleration [2] 157:12; 176:8  
accelerator [2] 21:14  
accept [1] 84:14  
accepted [2] 71:23; 87:3  
access [2] 147:9; 288:8  
accommodate [1] 69:3  
accompanied [1] 173:5  
accomplish [3] 21:11; 113:12;  
281:8  
accomplished [1] 31:24  
accordance [1] 2:12  
according [2] 105:14; 219:18  
accounting [1] 201:5  
accurate [7] 81:20; 156:9;  
176:11; 180:11; 193:8; 211:13;  
240:5  
achieve [2] 140:1, 16  
act [2] 84:7; 218:16  
action [5] 7:5; 61:17, 19; 263:7,  
11  
actions [4] 259:10, 13, 15, 23  
active [15] 32:25; 33:14, 18;  
34:7; 59:4; 67:13; 80:21; 123:24;  
127:10, 13; 128:17; 147:7; 175:6;  
221:6  
activities [2] 200:8; 259:12  
activity [3] 12:22; 183:11, 17  
actual [4] 52:13; 56:20; 187:15;  
253:15  
adapt [1] 69:2  
adaptations [1] 69:19  
adapted [1] 69:17  
add [7] 74:22; 84:9; 109:2;  
242:25; 280:19, 22, 23  
added [2] 87:8; 112:3  
adding [1] 58:8  
additional [11] 29:20; 38:17;

107:16; 138:3; 139:17; 146:1, 8,  
11; 157:12; 227:15; 284:20  
address [49] 7:9; 15:12; 38:12;  
14; 48:24; 55:11; 56:17; 77:9, 16,  
17; 80:12; 112:19; 113:2; 123:10,  
11, 12, 14; 128:11; 131:22;  
242:9, 15; 270:22; 271:22; 272:1,  
2, 6, 9, 18, 19; 273:11, 15, 19;  
274:8, 12, 22; 275:5, 22; 276:3,  
5, 16, 21; 277:8; 278:1, 4; 280:1,  
5; 282:7; 283:16  
addressable [1] 273:23  
addressed [1] 195:16  
addresses [11] 54:9; 55:18;  
82:10; 123:14; 225:12; 276:13;  
278:22; 279:11, 18; 280:19  
addressing [4] 119:18; 145:14;  
225:10; 280:9  
adjacent [2] 52:3; 64:10  
adjourn [1] 301:14  
ADMINISTRATIVE [2] 1:5; 197:5  
adopt [1] 66:5  
advance [4] 15:7; 63:14, 15;  
76:1  
advanced [2] 11:6; 201:10  
advances [1] 39:3  
advantage [1] 72:23  
advertise [2] 100:24; 139:11  
advice [1] 99:2  
advisement [1] 118:10  
advisor [1] 47:5  
affairs [1] 48:17  
afford [1] 249:19  
afraid [4] 136:11; 196:9; 218:21;  
231:19  
afternoon [1] 268:1  
age [1] 6:10  
agenda [2] 61:14, 16  
ages [1] 27:24  
agree [4] 48:11; 129:6; 184:1;  
278:16  
agreed [1] 118:14  
agreement [3] 91:18; 146:24;  
180:19  
AGS [9] 15:6, 8, 11, 15, 19;  
16:14, 19, 23; 17:13  
ain't [4] 208:3, 11; 212:6; 253:11  
airline [1] 201:1  
Airport [3] 2:10; 6:6; 198:10  
akin [1] 44:24  
alerted [1] 233:22  
Alex [1] 301:2  
allocate [1] 126:15  
allocated [3] 126:19; 227:2  
allocates [1] 131:9  
allocating [1] 126:11  
allocation [1] 131:10  
allow [1] 91:23  
allowed [1] 45:18  
allows [2] 157:12; 288:17  
alpha [1] 292:8  
Alpine [34] 111:21, 23; 150:14;  
156:5; 170:20; 172:10; 175:20;  
176:14, 24; 177:6; 183:13, 19;  
184:25; 185:1, 2, 7, 25; 194:21;

203:6, 20; 230:15; 238:6, 14, 18;  
242:22; 247:14; 248:22; 288:2  
289:3; 291:22, 24; 299:2, 10,  
altruistic [1] 41:1  
Ambiguous [5] 65:25; 159:18;  
181:3; 256:8; 281:12  
Amega [2] 43:19, 20  
Amendment [1] 214:2  
amendment [5] 213:25; 214:3,  
11, 13, 18  
amount [1] 123:20  
amounts [2] 32:2; 179:19  
Anaheim [1] 93:2  
analysis [2] 109:6; 252:10  
analyzed [1] 252:15  
ancient [1] 14:4  
answer [34] 9:19; 20:10; 47:21;  
78:2; 91:2; 92:1; 102:5, 7; 105:4;  
106:18; 109:14; 124:16; 142:16;  
151:10; 159:11; 164:14; 167:5;  
179:12; 186:1; 187:4; 209:23;  
210:22, 25; 211:5; 224:21;  
229:17; 233:2; 254:13; 263:12;  
282:2, 11; 290:23; 292:1; 296:16  
answered [3] 227:6; 256:1;  
260:11  
ANSWERS [2] 2:1; 198:1  
anticipate [1] 141:13  
Anybody [4] 67:19; 72:22; 168:3;  
173:23  
anybody [9] 9:21; 18:5; 102:10;  
106:1; 168:7; 234:24; 240:12;  
252:14; 257:21  
anymore [2] 20:25; 282:19  
anyway [1] 263:2  
apart [1] 120:25  
apertures [1] 54:4  
apologize [1] 232:22  
apparently [2] 143:20; 150:1  
appear [3] 147:15; 171:16;  
248:9  
APPEARING [4] 3:9, 15; 199:9,  
17  
appearing [1] 8:1  
appears [6] 178:22; 180:6;  
193:6; 213:5; 235:6; 239:4  
applicant [2] 256:24; 258:13  
Application [2] 237:13; 243:1  
application [30] 18:7, 13; 56:24;  
139:23; 206:1, 10, 16; 207:6, 13;  
209:5, 20; 210:2, 5, 15; 211:9;  
213:3, 25; 214:7; 237:17; 238:2;  
240:5; 243:13; 251:11; 253:6;  
254:2; 257:16; 261:24; 295:3;  
296:23, 24  
applications [7] 139:19; 256:25;  
257:3, 5; 259:17; 293:9, 12  
Applied [1] 182:12  
apply [1] 87:21  
appreciate [2] 37:13; 201:19  
approach [2] 51:25; 92:16  
approached [1] 10:19  
approaching [1] 301:11  
approximately [1] 226:22  
April [3] 229:9; 238:17; 246:23



<p> arbitrate [1] 74:5  architect [5] 12:12; 14:14; 44:6; 155:3, 4  architecture [6] 28:19; 38:9, 21; 99:13; 143:1, 2  architectures [2] 60:4; 62:25  area [7] 47:7; 59:6; 78:4; 97:5; 151:24; 192:13; 293:17  areas [1] 99:4  aren't [1] 258:7  Argumentative [1] 245:13  arresting [1] 288:1  arrow [2] 137:14; 277:18  art [7] 253:4, 19; 254:1; 255:2; 257:5; 258:11, 17  ascertain [1] 230:13  aside [1] 261:12  asking [7] 90:15; 151:22; 211:2; 254:11; 258:24; 259:6; 267:10  aspect [1] 62:1  asserted [1] 252:7  assign [2] 55:11; 279:10  assigned [3] 16:2; 54:9; 278:21  assistance [1] 51:18  associate [1] 24:18  associated [8] 35:3; 271:22, 23, 25; 272:2; 274:9, 13; 280:1  association [1] 279:9  assume [8] 37:20; 115:19; 117:23; 172:11; 187:23; 234:9, 23; 262:16  assumed [2] 10:10; 246:13  Assumes [1] 189:25  assumes [3] 189:4; 251:14; 295:7  = assuming [6] 37:11; 95:13; 144:23; 170:25; 237:19; 293:15  assumption [2] 106:20; 300:19  ATI [5] 2:3; 6:18; 7:2; 68:11; 198:4  ATI's [1] 48:3  ATI027995 [1] 293:24  attached [4] 238:7; 259:13, 14; 260:4  Attachment [1] 239:10  attachment [2] 238:18, 23  attempted [2] 210:14; 242:9  attend [4] 61:5; 67:6; 68:5; 170:24  attended [5] 67:23, 25; 68:2; 169:11, 16  attending [2] 59:16; 68:12  attention [5] 183:11; 222:15; 226:12; 235:2; 289:10  attorney [7] 9:15; 91:22; 97:24; 98:5, 10; 262:6; 266:13  Attorney-client [1] 98:17  attorney-client [7] 90:13, 25; 109:10; 209:7; 213:18; 257:25; 262:4  attorneys [1] 98:24  attribute [1] 82:5  attributes [1] 70:19  audio [5] 46:17; 63:15, 22; 176:5, 8 </p>	<p> AV [1] 185:1  AVA [4] 175:20; 176:14, 20; 185:2  available [4] 83:7; 100:25; 140:11; 229:10  aware [28] 18:7, 12; 22:20; 74:18; 83:10, 16; 94:10, 11; 95:2, 24; 96:17, 20, 25; 113:22; 194:6; 206:15; 209:14; 213:24; 221:8; 231:7; 246:5; 253:25; 266:20; 272:23; 274:7; 294:19; 296:18, 20  awareness [1] 98:6  awful [1] 295:4 </p> <hr/> <p style="text-align: center;">-- B --</p> <hr/> <p> Backend [1] 244:24  backend [35] 17:16; 38:2, 3, 5; 39:13, 15, 17; 44:22, 25; 51:16, 21; 52:10; 56:14; 63:9; 78:5, 19; 79:13, 18; 82:20; 118:25; 126:20; 130:25; 131:20; 244:20; 245:1, 2, 6, 11; 249:13, 23; 250:13; 285:5, 7; 297:17; 300:3  band [7] 119:3; 123:20; 133:21; 134:11, 24; 138:20, 23  bandwidth [3] 223:14; 243:21; 288:8  bar [1] 54:20  barter [1] 117:5  based [8] 25:8; 38:8; 55:18; 58:25; 87:23; 91:25; 240:5; 274:22  baseline [1] 156:23  basic [3] 82:9; 186:17; 273:23  Basically [6] 41:22; 69:1; 93:7; 208:10; 272:4; 273:9  basically [3] 220:23; 225:14; 251:21  basis [6] 99:5; 107:25; 162:1; 206:9; 251:12, 19  Bates [17] 8:9; 115:11; 143:6; 146:15; 149:19; 153:25; 162:7; 166:13; 170:10; 174:5; 177:9; 182:17; 191:13; 247:18; 264:11; 292:22; 293:23  battle [11] 58:21; 59:24; 60:8; 62:14; 64:25; 65:23; 66:22; 67:4; 70:3; 99:11; 190:5  bearing [16] 8:9; 115:10; 143:5; 146:15; 149:19; 153:25; 162:6; 170:9; 174:5; 177:9; 182:16; 191:13; 247:18; 264:11; 292:22; 293:23  beating [1] 110:5  begins [2] 35:4; 285:4  behalf [4] 6:17; 9:5; 10:19; 169:12  behind [4] 23:6; 63:22; 210:9; 241:5  belabor [3] 131:24; 165:1; 255:22  believe [19] 53:15; 56:13; 68:23; 78:17; 96:19; 99:21; 104:20; 106:14; 111:1; 112:8; 127:11; 142:13; 144:1; 169:17; 188:25; 192:21; 211:22; 213:13; 219:7  Bellevue [1] 152:18  bend [1] 123:4  beside [1] 298:7  besides [3] 168:3, 7; 169:11  biggest [1] 65:4  Bill [2] 188:21, 22  bios [2] 153:2, 17  birthday [2] 47:14, 17  bit [36] 16:13; 27:8; 52:12, 21; 68:8; 73:6, 7, 8, 10, 12, 14, 17; 81:19; 114:11; 120:25; 138:7; 139:9; 140:13, 15; 141:13; 146:22; 159:13; 160:2, 4, 7; 161:21; 167:21; 177:22; 194:20; 212:16; 238:22; 239:7; 278:11; 292:15  bits [5] 73:8, 13; 75:20; 140:22; 159:5  blanking [2] 86:10; 122:23  bleed [1] 244:12  Block [1] 278:12  block [6] 123:12; 208:10; 250:22, 24; 251:22; 252:4  BLT [3] 32:17, 20; 243:16  Bo [1] 67:16  board [7] 14:14; 15:7, 9; 42:22; 43:2; 168:21; 186:17  boards [6] 18:2; 41:13, 14, 16; 45:21; 117:9  Bob [6] 114:25; 167:11; 169:13, 22, 25; 182:7  body [1] 71:22  books [1] 93:24  boss [5] 183:5; 204:8, 10; 205:18; 206:12  bother [1] 89:18  bothers [1] 176:5  bought [6] 41:13, 15; 44:3, 4; 99:22; 188:4  bowels [1] 128:20  box [3] 34:1; 35:2, 4  boy [1] 241:22  brackets [2] 282:18; 290:15  brain [1] 299:23  Brand [1] 118:22  break [15] 13:13; 66:12; 104:13, 15; 105:20; 106:2; 142:3, 7; 181:19, 25; 196:10; 200:6; 268:2, 3; 291:12  Brent [1] 114:23  brief [2] 109:18; 233:16  briefly [2] 59:2; 263:5  Bril [7] 178:13, 14, 16; 296:3, 5; 299:18; 301:2  bril [1] 223:10  broke [1] 142:13  Brooktree [29] 13:4; 59:25; 60:16; 65:6; 66:10, 21; 88:8; 92:9, 16, 22; 93:2; 94:2, 23; 95:1, 14, 17; 97:13, 15; 99:11, 25; 227:16; 228:3; 243:24, 25; 245:19; 246:1, 3, 6, 264:21 </p>	<p> Brooktree's [1] 99:15  BROWN [3] 3:4; 7:6; 199:4  Brown [3] 7:6; 249:5; 258:22  Bruce [1] 110:11  BS [1] 10:25  bubbling [1] 106:10  buck [1] 255:7  bucks [1] 139:25  buffer [119] 17:9; 18:10; 21:19, 22; 22:8; 27:3, 9, 17; 28:22; 29:3, 17, 21, 25; 32:25; 33:13, 14, 19; 34:7; 35:15, 18, 25; 36:2, 12, 16, 23; 38:7, 24, 25; 39:3; 49:11, 25; 53:9; 54:23; 55:1, 2, 9, 12; 57:3; 83:18, 22; 84:10, 15, 21, 23, 24; 119:22; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10, 16, 17; 147:9; 150:22; 151:6, 13; 152:10; 157:13, 19, 21; 158:2, 8, 17; 159:16, 24; 160:6; 210:8; 215:12, 24, 25; 216:4, 7, 11, 16; 217:4, 7; 218:1, 11, 18; 219:13; 220:11, 24; 223:19, 24; 225:6, 8; 226:1; 227:5; 237:4; 243:17; 249:15, 20; 268:22; 269:14; 272:6; 274:7; 276:17; 280:2, 4, 5, 282:6, 8, 10; 285:10; 286:23; 288:16; 297:20; 298:6, 15, 21, 25; 299:14; 300:2  buffered [1] 32:13  buffering [3] 32:23; 33:9, 11  buffers [13] 32:16; 43:1; 50:5; 54:12, 13, 14; 55:4; 63:5; 225:14, 16, 19; 227:11  build [3] 72:22; 154:14; 156:4  building [1] 299:21  built [6] 72:5; 84:20; 163:11; 173:23; 184:5, 17  bullet [4] 93:9; 150:21; 151:18; 176:5  bumping [1] 246:15  bunch [2] 186:8; 299:22  bus [9] 53:25; 123:14, 15, 16; 125:4; 141:14; 250:19, 23; 271:5  business [7] 43:11; 48:14, 17; 99:20; 100:14; 116:2; 240:13  button [1] 31:11  buttons [2] 31:8, 10  buy [5] 45:23; 184:5, 11; 186:10, 11  bypassing [1] 147:8  byte [2] 123:12; 283:24 </p> <hr/> <p style="text-align: center;">-- C --</p> <hr/> <p> cab [1] 233:13  CAD [1] 15:13  calendar [1] 171:3  California [6] 3:7; 93:1; 176:18; 178:8; 182:13; 199:7  call [34] 9:15; 27:22; 30:1; 31:9; 32:19; 33:8, 10; 39:12; 41:10, 12; 42:23; 52:21; 54:12; 73:15; 78:22; 96:18; 109:10; 122:12; 124:24; 131:15; 134:22; 142:4; </p>
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

154:5; 175:3; 190:24; 225:5; 234:12; 235:22; 249:20; 257:25; 266:12; 274:2; 284:13; 289:6 calling [6] 107:24; 130:11; 221:21; 231:14; 238:21; 295:18 Calloway [2] 68:13, 14 Calls [18] 62:21; 66:23; 69:8; 77:2, 24; 90:12, 24; 104:5; 106:16; 164:11; 178:2; 187:25; 195:6; 231:17; 239:16; 257:8; 258:20; 281:25 calls [16] 105:2; 147:11, 23; 148:2, 5; 164:5; 171:11, 16; 209:7; 215:17; 229:15; 244:23; 245:13; 254:9; 262:4; 269:4 CAM [1] 15:13 camera [1] 23:10 capability [16] 17:24; 21:7; 25:21; 39:18; 42:7; 49:14; 53:18; 74:15; 17, 20; 76:25; 77:13; 82:18; 139:25; 152:7 capacities [1] 12:11 capacity [2] 29:4; 89:11 capitalist [1] 175:4 capture [1] 114:15 car [2] 233:14 card [2] 125:14; 169:9 care [3] 129:22; 130:20; 302:3 carefully [1] 286:11 Carnes [1] 114:25 case [16] 6:19; 8:5, 18; 9:13; 10:1, 13:7; 22:18; 25:11; 88:24; 91:19; 96:8; 97:3; 125:6; 145:24; 214:11; 254:23 casual [1] 9:25 catch [2] 231:20; 301:18 Catchy [1] 15:20 caution [10] 9:16; 97:23; 98:3; 176:1; 180:13; 194:15; 213:17; 224:6; 258:2; 297:21 cautioned [1] 6:10 CDX [20] 150:14, 16; 156:5; 183:13, 20; 184:25; 185:7, 25; 203:7, 20; 238:6, 14, 19; 242:22; 248:22; 288:25; 289:3; 291:22, 24; 299:17 cease [1] 133:23 center [1] 54:21 central [1] 65:20 Certified [2] 2:7; 198:7 chair [1] 61:18 chaired [2] 60:13; 67:16 chance [1] 128:1 Chances [2] 58:15; 148:17 chances [1] 160:6 change [4] 38:17; 104:14; 112:23; 291:16 changed [4] 172:13; 203:20; 204:15; 234:24 changes [3] 58:4; 120:11; 157:25 characterize [6] 28:16; 31:18; 63:8; 81:24; 82:1; 298:13 charge [1] 204:3 chasing [1] 260:13	cheap [2] 139:23 Cheatham [1] 2:7 check [1] 202:16 checking [1] 202:15 Chief [1] 230:5 chief [1] 241:9 chip [19] 19:21; 26:21; 37:9; 38:1, 2, 3, 5; 42:13; 74:23; 86:8; 87:13; 107:9; 108:10, 13, 18, 21; 109:3; 155:1; 186:17 chips [6] 19:23; 38:1; 42:19; 43:24; 44:25; 139:8 choice [2] 139:19; 249:1 choices [1] 87:15 choose [1] 44:2 choosing [1] 37:22 chose [1] 43:25 Chris [1] 172:17 Christina [1] 2:6 Christmas [2] 167:6, 7 chroma [12] 74:17, 20; 114:13; 144:12, 14, 15, 20; 145:9, 16, 20; 146:5, 6 Chu [1] 188:22 Chu's [1] 188:21 circles [1] 68:18 circuit [4] 119:25; 278:3, 5; 284:21 Circuitry [3] 268:19; 274:12; 283:5 circuitry [12] 228:16; 248:20; 268:25; 270:10; 273:2; 275:21, 24; 276:4, 20; 277:7, 25; 285:11 circulated [4] 71:18; 117:24; 162:22; 188:7 circulation [1] 143:17 circumstances [4] 79:10; 96:25; 229:14; 286:3 Cirrus [99] 7:4, 7; 8:5, 18; 9:5, 9, 12, 18; 10:1, 18, 19; 12:7, 9, 13, 17; 44:4, 5; 46:12, 16; 47:3, 8, 19; 48:2, 16, 21; 59:25; 66:2, 3; 67:6, 10, 12, 18; 89:8, 12, 22; 91:4; 92:15, 18; 98:1, 12; 107:11; 109:18, 19; 110:14, 20; 115:23; 117:3, 14; 141:18; 146:25; 148:18; 155:9; 158:15; 162:23; 169:12; 170:19; 171:3; 178:11, 17; 179:25; 180:18, 20; 184:2, 14, 21; 188:10; 189:9; 194:7, 19, 25; 196:7; 201:5; 203:23; 204:2, 7, 11; 205:16; 211:17; 214:5; 231:8, 25; 232:2, 25; 233:1; 235:11, 24; 238:4; 241:22; 242:21; 248:21; 252:6, 15; 258:4; 262:11, 12, 22; 294:7; 300:22, 23 cite [1] 247:5 cited [7] 254:19; 257:6, 15; 258:17, 18; 259:3 citings [1] 253:12 Civil [1] 2:12 CL02227 [1] 182:17 CL10362 [1] 247:19 CL10384 [1] 143:6 CL17724 [1] 153:25	CL17768 [1] 170:10 CL17811 [1] 191:13 CL17825 [1] 177:9 CL3265 [1] 166:13 CL41087 [1] 162:7 CL4897 [1] 292:23 CL49364 [1] 8:9 CL5009 [1] 264:12 CL5013 [1] 264:12 Claim [6] 215:4; 221:5, 10; 282:25; 288:21 claim [13] 214:25; 215:5, 12; 218:5; 220:22; 252:7; 255:18; 261:11; 268:13, 18; 286:11; 288:10; 290:17 claims [10] 210:14, 17, 20; 211:3, 6; 213:7, 14, 15; 238:3; 254:20 clarify [3] 260:7; 261:16; 286:17 clause [2] 283:4; 285:4 clauses [1] 282:16 clear [12] 76:13; 121:15; 122:16; 161:23; 172:16; 200:10; 214:14; 217:22, 23; 261:11; 281:4; 299:13 clearer [1] 210:11 Cletus [3] 31:12, 13 clicked [2] 31:10; 33:25 client [1] 9:15 CLO0611 [1] 115:11 clock [18] 39:1; 65:9, 10, 11, 14, 15, 16, 17; 70:22; 122:2, 6; 123:1, 2, 3, 4, 6; 219:19, 20 clocking [9] 39:24; 60:5; 62:13, 14; 65:2; 66:5; 88:14; 219:18; 287:15 clue [2] 155:14; 177:25 CLUT [1] 237:8 clutched [1] 43:3 code [15] 29:7, 11; 32:5; 52:21; 65:9, 10; 69:21, 23, 24; 70:1; 105:11, 14; 107:4, 10; 235:12 codes [1] 52:22 coined [2] 215:22, 23 cold [1] 167:9 colleague [1] 264:25 colleagues [1] 201:21 collect [2] 36:24; 60:24 collecting [1] 163:5 collisions [1] 119:1 color [54] 18:8; 24:9, 20; 37:19; 39:20; 57:4; 63:6; 73:15; 74:14; 75:8, 16, 23, 24, 25; 76:3, 8, 13, 15, 22; 78:7, 14, 18; 79:22; 80:7, 8, 18, 21; 81:1, 6, 18; 83:1; 133:20; 134:8, 17; 135:1; 137:18; 138:4, 14, 15; 145:24; 146:3, 12; 210:7; 219:16, 17; 220:13, 14; 222:20; 243:18, 19; 290:11; 300:13, 15 colors [1] 83:7 Column [9] 224:2, 3, 7; 226:13, 18, 19; 227:24; 228:5 combinations [1] 80:20 COMDEX [2] 230:9; 246:24	Comdex [15] 20:14, 15, 24, 25, 23:21; 26:23; 27:4; 28:9; 29:3; 36:18; 40:4, 9; 56:9, 11; 71:1; Coming [2] 94:12; 279:25 coming [14] 45:25; 47:21; 54:17; 70:17; 135:12; 136:17; 137:15, 145:6, 7, 8; 250:19; 269:20; 276:14; 279:22 command [2] 26:13, 16 commercial [2] 15:16; 185:17 commercial [3] 31:13, 14, 19 COMMISSION [2] 1:1; 197:1 Commission [1] 302:21 committee [14] 58:19, 21; 59:1, 5; 60:20; 65:1; 66:5; 67:17; 69:4; 71:19, 20; 188:23; 204:2; 240:16 common [4] 111:5, 9; 113:7, 11 communicated [3] 9:11; 101:19; 190:22 communication [4] 98:23; 262:8; 265:6, 22 communications [11] 9:17; 39:23; 89:15; 97:24; 98:4, 10; 209:10; 210:24; 258:3; 260:2; 266:13 Companies [1] 100:23 companies [3] 99:24; 101:20; 201:12 company [18] 11:13, 16; 43:10; 44:4; 47:22; 91:15; 97:4; 101:10; 168:11, 12; 174:23; 179:7; 191:5; 206:20; 229:22; 230:7; 234:10; 235:23 company's [1] 101:24 Compaq [38] 11:24, 25; 12:2, 6; 13:23; 14:20; 16:2, 19; 20:12, 24; 23:22; 25:12; 26:6; 31:7; 40:14, 19; 41:3, 6, 10, 19, 24, 25; 42:3, 6, 21; 43:7, 23; 58:4, 6, 13, 21; 59:10, 16; 61:13; 66:2, 7; 117:4, 12 Compaq's [3] 16:16; 40:16, 18 comparative [1] 279:16 compatible [4] 58:10; 72:22; 82:14 competent [3] 281:6, 20; 282:12 competing [1] 229:22 competition [1] 249:17 competitor [2] 180:2; 242:7 competitor's [1] 248:19 competitors [2] 243:15; 245:10 complainant [1] 7:5 complete [2] 32:25; 145:13 completely [2] 70:12; 246:13 complicated [1] 119:25 complies [1] 229:6 comply [1] 149:14 components [1] 78:16 Compound [2] 75:10; 163:15 compressed [17] 19:18; 21:24; 22:2, 14; 23:15; 25:5, 19; 27:13; 32:4, 7; 35:8, 18, 25; 298:2, 5, 18, 20 compression [1] 160:17 compromise [1] 70:13
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

computer [6] 71:2; 116:7; 117:2; 17; 125:8; 171:7	210:23; 211:2, 22; 258:3; 262:7	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	couple [2] 60:25; 70:23
computerized [1] 171:8	context [2] 273:7, 8	286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	course [5] 17:6; 43:10; 98:6; 191:4; 262:11
computers [3] 14:8; 40:14; 117:8	continue [2] 142:16; 217:3	Cordell [168] 4:3; 6:16; 7:8, 22; 8:12; 9:24; 17:10; 26:1; 27:19; 47:18; 53:5; 62:23; 66:3, 19; 67:1; 69:13; 75:13; 77:7; 78:1; 90:5, 15, 20; 91:3, 24; 95:9; 99:7; 102:6; 104:19; 105:19; 107:14; 108:3, 22; 109:19; 115:14; 117:1, 22; 118:11; 124:15; 128:3; 129:21; 136:1; 142:12; 143:9; 145:16; 146:19; 147:18; 149:7; 23; 151:21; 152:8; 154:3, 18; 156:17; 158:14; 159:11, 20; 160:3, 14; 161:4, 9; 162:10; 163:21; 164:6, 18; 166:14; 170:13; 174:9; 176:7; 177:13; 178:6; 179:24; 180:17; 181:4, 24; 182:20; 184:23; 186:12; 187:6; 188:6, 14; 189:19; 190:4, 13; 191:16; 192:15; 193:13, 24; 194:6, 12, 17; 195:8, 18; 196:3; 202:3; 209:19; 210:1; 211:1, 7, 12; 212:1; 213:2, 21; 214:16; 216:2, 25; 217:2, 21; 218:25; 220:1; 221:25; 222:5, 11; 224:8, 23; 225:20; 226:7, 11; 227:14, 23; 228:23; 229:20; 231:22; 233:1; 239:14, 21; 241:19; 244:25; 245:14, 22; 247:13, 22; 249:14; 250:16; 251:18; 254:18; 255:20; 256:2, 13; 257:13; 258:9; 259:1, 25; 262:13; 264:15; 265:24; 266:8, 15; 267:19; 268:10; 269:8; 270:25; 273:22; 274:5; 278:20; 281:2, 16; 282:2; 283:1; 287:3; 290:22; 291:3; 293:1; 294:5; 295:11; 296:22; 297:6; 298:4; 301:6	court [1] 6:7
conceivable [1] 220:2	continuously [3] 38:13; 138:16; 287:15	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cover [7] 110:23; 117:25; 160:16; 238:3; 253:14; 264:23; 265:8
concept [3] 54:3; 169:8; 282:4	contract [11] 8:14; 10:11; 58:4, 12, 16; 59:9, 10, 15; 66:2, 7; 70:5	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	covering [1] 170:20
concepts [4] 281:11, 23; 282:9; 300:6	contracted [2] 153:5, 22	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	CPU [7] 128:10, 16; 130:18; 131:7, 9; 275:16
concerned [4] 101:6, 21; 103:5; 225:24	control [21] 45:18, 24; 74:11; 75:12, 20; 79:21; 81:12; 106:14, 21; 107:7; 131:1; 134:21; 137:11; 138:4; 145:6; 161:17; 235:16; 274:20; 279:14, 23; 284:20	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	create [7] 74:20; 94:4; 112:4, 7; 120:13; 125:23; 246:6
concerning [1] 92:10	controlled [4] 74:8, 12; 75:19; 107:3	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	created [4] 40:4; 145:21; 165:12; 205:17
conclude [1] 42:2	controller [34] 14:2, 12, 17, 20, 25; 15:4; 36:19, 20; 37:2, 3, 18, 21; 62:2; 72:20; 84:6; 125:7; 132:12, 14; 135:18; 137:16; 222:22; 223:8; 225:13; 237:2; 242:9; 246:5; 250:25; 251:2; 272:9; 276:9; 277:11; 281:7, 21; 282:5	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	creating [3] 32:24; 112:14; 205:21
concluded [1] 43:24	CONTROLLERS [2] 1:10; 197:10	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	creation [1] 148:5
conclusion [15] 42:15; 47:1; 105:2; 106:17; 130:11; 215:18; 216:23; 217:10; 221:22; 244:23; 254:9; 257:9; 258:21; 269:4; 281:1	controllers [4] 12:1; 111:11; 177:22; 297:1	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cross [2] 54:19; 148:19
conclusions [1] 228:19	controlling [5] 105:10; 106:23; 137:19, 23; 225:13	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	CRT [15] 36:19, 20, 25; 37:2, 3, 18, 21; 132:11, 14; 222:22; 223:7; 237:4; 250:25; 251:2; 282:5
concurrent [1] 46:22	controls [10] 82:5, 25; 124:21; 137:12; 145:10; 161:18; 220:16; 237:4; 278:1; 284:12	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	CRTC [4] 133:8, 11, 16; 135:4
condition [3] 79:12; 80:16; 81:10	conversation [3] 109:18; 233:13, 16	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	crunch [1] 92:20
conditions [4] 79:11; 80:6, 17; 81:13	conversations [2] 9:25; 266:18	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Crystal [1] 46:16
conduct [1] 51:13	conversion [4] 220:13, 14; 300:13, 16	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	crystal [1] 200:10
conducted [1] 52:7	convert [1] 18:9	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	CTO [2] 233:11; 246:20
conference [1] 61:9	converted [2] 24:21; 73:10	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cuisines [1] 167:13
conferencing [1] 114:15	converter [4] 57:5; 78:7, 14, 18	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	curious [2] 188:24; 190:8
confident [2] 164:19; 236:14	converters [1] 63:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	current [2] 9:25; 48:16
confidential [6] 16:17; 17:5; 48:2; 179:17; 180:20; 181:14	conveyed [2] 190:15; 191:2	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	currently [1] 7:13
conform [1] 70:20	convinced [2] 189:12; 190:18	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Curt [1] 19:11
confused [3] 129:14; 172:2, 5	coordinate [3] 79:19; 130:22, 25	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Curtis [1] 19:11
conjunction [2] 18:2; 83:17	coordinated [1] 65:10	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	curve [1] 31:8
connect [1] 54:20	coordinates [1] 78:5	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	customer [9] 166:17; 173:24; 180:3; 183:18, 23; 187:20; 189:22; 289:6; 299:9
connection [1] 175:11	copied [1] 183:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	customer's [1] 56:8
connections [1] 108:16	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	customers [20] 72:18; 166:23; 173:13, 17, 23; 179:23; 182:3; 184:4, 15; 187:1, 16; 189:1, 16; 200:11, 15; 231:3, 5, 8; 232:14, 19
Connor [5] 167:11; 169:13, 15; 182:7, 10	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cut [3] 34:20; 156:14, 18
consider [1] 79:5	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cutoff [1] 301:20
consideration [1] 301:12	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	cycle [2] 123:7; 184:22
considered [4] 37:1; 82:22, 24; 126:16	copied [1] 183:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	
consisted [1] 60:21	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	-- D --
constantly [4] 286:5; 288:2, 3, 15	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	D.C. [4] 1:2; 3:14; 197:2; 199:15
constitute [4] 209:22; 219:22; 220:15; 222:18	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	DA9508 [1] 235:13
constrained [1] 136:13	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	DAC [14] 17:20; 36:21; 39:20; 44:20; 73:18, 20, 21, 24; 74:3; 82:9, 14, 22; 87:13
construct [1] 32:15	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Dale [1] 92:23
constructed [2] 25:2; 41:10	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Dallas [3] 2:11; 112:9; 198:11
constructing [2] 33:1; 166:6	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	Dallas-Fort [3] 2:9; 6:6; 198:10
consult [3] 8:15; 10:20, 23	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232:22; 247:10, 17; 251:16; 254:11; 264:10;	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	data [257] 17:19; 18:9; 19:19; 21:24; 22:2, 14, 20, 23, 25; 23:4, 5, 6, 9, 24; 24:2, 5, 7, 8, 15, 20, 21, 22; 25:1, 2, 3, 6, 9, 13, 18, 21, 23; 26:23, 25; 27:1, 5, 15; 30:25; 32:1, 4, 5, 7; 34:16; 35:3, 8; 36:7, 24; 38:6, 12; 39:18; 45:19; 52:4, 7, 23; 53:14, 19;
consultant [2] 8:4; 175:2	copy [5] 114:23, 25; 143:21; 178:24; 238:6	267:24; 278:13; 281:14; 282:22; 286:14, 16; 290:24; 292:20; 293:22; 294:3; 295:9; 301:11, 21; 302:4	
consulting [2] 8:18; 91:18	CORDELL [78] 3:10; 6:14, 23; 7:17; 8:7; 53:2; 66:13; 89:4, 13, 18, 21, 24; 91:13; 95:4; 98:15, 19, 22; 99:3; 104:12; 108:20; 109:12; 115:9; 116:19, 23; 117:16, 19; 118:2, 7; 142:2, 6; 143:4; 149:17; 153:23; 160:9; 162:5; 166:7, 12; 170:8; 174:3; 177:7; 181:20; 182:15; 189:6; 191:3, 11; 192:9; 196:9; 199:11; 200:5; 201:13, 20; 209:17; 211:19; 212:25; 214:15; 217:11; 226:5; 231:19; 232		

55:12; 57:5, 12; 64:6; 65:15, 18, 19; 69:22, 23; 70:17, 20, 23, 24; 73:2, 6, 7, 8, 10, 12, 14, 15, 17; 74:6; 76:1; 77:9, 18; 78:25; 79:8, 17, 20; 80:2, 4; 81:3, 8; 83:1; 84:14; 85:1, 16; 86:5; 87:4, 7, 9, 23, 25; 88:7, 11, 12; 119:2, 13, 16, 18, 21, 22; 120:17, 19, 23; 121:1, 13, 23; 122:2, 6, 8; 123:2, 3, 4, 7, 10, 12, 15, 23; 124:2; 125:5, 7, 11, 16, 22; 129:23; 131:6, 25; 132:5, 25; 133:7, 10, 15, 25; 134:1, 3, 7, 10, 11, 13, 19, 23; 135:3, 12, 13, 19, 21; 136:15, 18; 138:17; 145:20; 150:21, 23, 24, 25; 151:5, 6, 8, 15, 23, 25; 152:1; 158:7, 20; 159:5, 7, 9, 15, 21, 22, 23; 215:24; 216:3, 8, 12; 217:6, 7; 218:2, 7, 9, 12, 13; 219:3, 12, 15; 220:10, 19; 223:10, 23; 225:13; 228:17, 21; 237:3, 7, 9; 244:6; 268:20; 269:16, 17, 19, 20, 21, 23; 270:21, 24; 271:3, 14, 22, 25; 272:1; 273:11, 18; 274:9, 15; 276:13, 15; 280:5; 282:6, 8, 10; 283:6, 7, 15, 17; 285:2, 9, 20, 24; 286:22; 289:24; 290:2, 6, 10; 298:8	25:5; 27:16; 32:1 decompresses [2] 35:22; 36:7 decompressing [2] 19:19; 32:5 decompression [3] 34:25; 35:4; 36:11 dedesignate [1] 17:7 dedicated [2] 84:24, 25 deep [1] 115:5 define [6] 38:21; 68:7; 126:23; 150:8; 156:3; 225:7 defined [5] 32:11; 158:1; 162:3; 271:8, 10 defines [4] 127:9, 13; 128:9; 155:5 defining [1] 71:4 definite [1] 301:19 definitely [1] 39:14 definition [11] 128:7; 129:6; 132:4, 18; 146:21, 25; 217:6; 221:12; 271:20; 288:13; 298:17 definitions [2] 22:18; 24:13 degenerated [1] 139:22 degrees [1] 11:7 delay [1] 222:15 delegate [1] 59:12 delineation [1] 30:10 Delta [3] 55:15; 77:6 demand [1] 31:3 demo [13] 20:14, 15; 21:4, 11, 15, 16, 18; 26:19; 31:5; 41:16, 18, 20; 56:8 demonstrate [3] 21:6; 40:16, 17 demonstrated [1] 42:24 demonstration [11] 23:22; 25:12, 24; 26:9, 13, 22; 27:4, 10; 28:8; 29:4; 36:17 Dennis [4] 191:18, 23; 241:14, 21 depart [1] 59:2 depended [1] 88:14 depending [1] 67:22 Depends [1] 220:4 depends [10] 24:10; 139:8; 203:1; 216:8; 219:24; 220:5; 221:12; 223:5; 274:2, 4 deposed [4] 12:24; 13:8, 10; 254:23 DEPOSITION [4] 1:16; 2:1; 197:16; 198:1 Deposition [42] 4:6, 7, 8, 9, 10, 11, 12, 13, 14, 15, 16, 17, 18, 19, 20, 21; 5:17, 18, 19, 20, 21; 7:20; 8:10; 95:7; 115:12; 143:7; 146:17; 149:21; 154:1; 160:12; 162:8; 166:10; 170:11; 174:7; 177:11; 182:18; 191:14; 211:24; 247:20; 264:13; 292:24; 293:25 deposition [9] 6:4; 7:19; 13:7; 105:24; 171:17; 249:6; 254:25; 258:23; 278:14 depression [1] 293:17 Depth [1] 294:8 depth [2] 159:8, 21 derive [1] 164:3 derived [4] 25:3; 111:23; 116:16;	145:21 describe [29] 13:25; 19:13; 21:3; 28:1; 30:4; 31:4; 36:15; 50:18; 53:6; 63:1, 12; 65:2; 69:6; 70:15; 77:20; 78:13; 92:12; 94:7; 96:24; 117:2; 121:2; 124:25; 142:14; 163:17; 175:22; 229:13; 261:4; 298:1, 3 described [17] 32:22; 33:25; 34:24; 50:16; 65:1; 69:10, 12; 74:3; 77:10; 80:9; 87:20; 94:3; 106:14; 116:14; 221:9; 223:1; 283:20 describes [2] 193:20; 288:21 describing [1] 284:9 description [3] 93:8; 176:12; 271:17 design [17] 19:24; 94:12; 141:7; 142:19, 23; 151:13; 162:13, 24; 163:12; 164:20; 165:2; 182:25; 183:14; 184:3; 185:11; 237:13; 299:2 designate [2] 17:5; 48:1 designation [1] 228:8 designed [10] 14:14, 15; 45:21; 48:25; 85:6; 130:24; 134:5; 139:10; 237:2; 246:14 designing [2] 93:12; 129:11 desk [3] 41:7; 148:19; 232:10 desktop [1] 177:4 destroyed [1] 34:12 detail [4] 16:15, 18; 31:4; 48:22 details [3] 164:24; 241:9; 281:4 determine [8] 54:22; 118:4; 183:18; 252:11, 15; 257:4; 276:3; 292:9 determined [4] 184:16; 261:7, 11; 275:8 determining [2] 88:1; 107:4 develop [1] 137:11 developed [1] 178:7 developing [1] 299:16 development [4] 27:24; 163:10; 203:6; 297:2 device [20] 30:8; 53:22; 72:23; 73:20; 74:10; 75:15; 84:8, 9, 20, 22; 121:19, 21; 129:10, 12; 172:9; 238:3; 276:9, 13; 283:15, 16 devices [2] 73:24; 249:16 devised [2] 158:25; 159:4 devoted [1] 226:23 diagram [4] 108:5; 250:8; 251:13, 22 diagrams [2] 208:10; 252:4 diary [1] 171:6 Dick [2] 3:17; 199:19 die [1] 189:15 difference [10] 23:8; 60:5, 6; 69:21; 88:13; 105:16; 118:20; 161:12, 13; 248:7 differences [6] 68:24; 69:17; 88:11; 105:17; 118:16; 124:9 differentiate [3] 24:25; 25:7; 296:14	differentiation [1] 245:17 differently [2] 227:17; 239:8 difficult [5] 13:17, 19; 145:17, 19; 280:10 digest [1] 222:7 digging [2] 115:5; 233:18 Digital [1] 136:16 digital [8] 135:8, 9, 16, 17, 20; 136:5, 8; 138:11 diligence [2] 260:22; 261:5 dimension [1] 131:15 Direct [2] 45:11; 147:5 direct [4] 147:6; 222:14; 226:11; 235:2 directed [1] 295:24 directing [3] 133:7, 10; 270:23 direction [1] 153:13 director [2] 182:8; 204:21 directs [1] 135:18 disagrees [1] 226:6 disappeared [1] 208:16 disclose [1] 258:14 disclosed [3] 243:1, 12; 254:23 Disclosure [2] 252:21; 264:8 disclosure [1] 255:24 discover [1] 179:20 discoverable [1] 98:9 discrepancy [1] 239:10 discuss [2] 93:14; 105:20 discussed [4] 53:13; 90:10; 173:6; 255:10 discussing [2] 228:6; 266:9 discussion [1] 255:1 discussions [2] 173:12; 267:6 disk [7] 26:2, 4; 35:11, 12, 15, 18, 25 DISPLAY [2] 1:9; 197:9 display [91] 16:24; 17:20; 24:22; 25:13; 26:23, 25; 28:8, 10, 11, 17, 23, 24; 29:5, 20; 30:8, 25; 32:25; 33:18; 34:3; 36:17; 37:4; 40:3, 13, 16, 17; 41:1, 9; 49:21; 62:2; 68:18; 76:20; 85:22; 86:21; 88:6; 108:4, 6, 9, 13, 17, 24; 109:2; 119:22; 121:3, 11; 122:14; 123:19, 24; 126:4, 7, 8, 10; 127:1, 10, 14, 24; 128:17; 133:9, 17; 134:12; 135:5, 14, 21; 137:5; 139:13; 140:4, 5; 147:12, 24; 148:6, 7, 10; 216:1, 14; 218:18; 219:20; 224:12, 18, 24; 225:25; 226:22, 24; 244:8, 12; 249:12; 250:18; 283:8; 289:25; 290:1, 3, 8 displayed [7] 29:21; 37:23; 126:17; 127:3; 218:12, 13; 220:20 displaying [3] 21:7; 27:1; 63:20 dispute [4] 65:2; 90:2; 225:3, 4 distinct [2] 106:13; 136:25 distinction [2] 124:1; 148:21 distinctions [1] 53:15 distinguish [2] 122:18; 269:15 distributed [1] 143:25 distributing [2] 62:15; 231:2
-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

division [1] 51:2 docket [1] 235:18 document [65] 8:8; 64:21; 113:22, 23; 115:10, 22; 116:10; 136:12; 143:5; 145:3, 13; 146:15; 147:16, 19; 148:13; 149:19; 150:10; 151:20; 153:24; 154:19; 155:2, 18; 158:11, 12; 161:3, 25; 162:6; 165:22; 170:9, 17; 174:5; 175:25; 177:9; 182:16, 23; 185:9; 191:12, 21, 23, 24; 193:10; 195:15; 205:22; 206:9; 211:21; 212:8, 15, 18; 213:22; 231:2, 4; 235:17; 239:24, 25; 240:4; 247:18; 252:20, 25; 256:6; 264:11; 266:4, 22; 270:11; 292:22; 293:23 documentation [1] 39:7 documents [9] 124:13; 174:1; 183:10; 186:4; 187:14; 208:3; 233:5; 263:21, 25 Doesn't [4] 147:10, 22; 217:6; 221:18 doesn't [22] 126:17; 145:13; 147:15; 149:4; 186:7; 209:22; 212:24; 214:13; 216:16; 223:15; 236:5; 244:20; 250:2, 5; 265:14; 266:22; 267:16; 269:8, 10, 21; 282:18 domain [1] 230:1 DOS [3] 14:7; 26:12; 27:20 dotted [2] 224:22, 23 double [3] 32:13; 33:11; 120:18 downstream [2] 36:23; 124:4 downward [1] 139:13 Doyle [1] 110:12 draft [4] 143:22; 209:4, 20; 236:12 drafted [1] 238:3 drafts [1] 162:21 drag [1] 301:22 DRAM [4] 118:21; 119:25; 123:7; 133:23 drawing [13] 95:12; 104:24; 137:4; 145:4, 5; 208:2; 249:7, 9; 250:2, 5; 277:16; 280:4 drawings [7] 208:1, 6, 8; 277:3; 6; 279:8; 280:7 drawn [1] 148:21 dress [1] 186:11 drew [2] 161:17, 22 drive [4] 73:18; 139:12; 275:15; 286:2 driver [5] 130:19; 153:1, 11; 275:11, 12 drivers [5] 128:19; 152:16, 17; 18; 153:3 drives [1] 73:20 driving [2] 65:16; 283:8 Drop [1] 190:23 drop [19] 23:7; 38:9, 22, 23; 85:12, 14, 15, 16; 86:9, 10, 16; 17; 118:25; 121:24; 122:4, 5, 24; 190:25; 285:3 dropped [4] 86:20; 114:16; 122:10; 123:6 dropping [2] 85:12; 122:1 drove [3] 69:22, 23; 93:2 dual [11] 32:22; 51:10, 12, 25; 52:1, 4; 95:19; 113:12; 116:13; 119:4; 237:3 dual-aperture [2] 250:1; 270:19 due [3] 260:22; 261:5; 294:13 duly [1] 6:10 duplicative [2] 50:22, 25 duration [1] 67:3 duty [2] 255:24; 258:13  -- E -- E-g [1] 301:3 earliest [1] 162:15 early [7] 19:24; 27:23, 24; 163:24; 184:2; 205:5; 289:9 easily [1] 58:24 eat [1] 167:15 Ed [2] 7:6; 68:13 educate [1] 180:25 effect [1] 29:14 effective [1] 243:22 effort [7] 111:13; 116:24; 117:19; 179:25; 185:11; 229:7, 14 EGA [3] 14:1, 12, 16 Eglt [3] 301:3, 4, 5 eight [16] 49:24; 50:5, 6; 54:14, 15; 55:4, 6, 8; 73:6, 8; 140:12; 141:4; 160:2, 4, 7; 215:2 electronics [1] 175:7 elects [1] 135:21 element [8] 36:23; 135:7, 18, 20; 136:25; 137:8; 277:6; 279:8 elements [18] 36:16; 39:16; 74:8; 81:18, 24; 82:2; 104:1; 111:5, 9; 113:7, 11; 146:9; 161:21; 222:15, 25; 251:5; 254:19; 277:6 else's [3] 10:9; 103:6; 150:7 emerging [2] 15:14; 113:3 employ [1] 117:13 employed [17] 7:13; 12:8; 43:2; 89:22; 91:4; 110:14, 17; 171:3; 174:17; 178:17, 19; 182:11; 194:24; 195:9; 196:7; 211:17; 301:9 employee [3] 231:24; 241:12, 23 employers [1] 9:25 employment [2] 12:22; 44:9 empty [1] 112:16 encoder [1] 161:16 encoding [1] 158:20 encountered [1] 265:12 encourage [1] 138:25 End [2] 196:13; 302:8 end [8] 30:18; 79:4; 134:15; 137:17; 239:5; 253:20; 261:3; 266:16 engine [6] 57:4; 78:9; 132:9; 220:13, 14; 243:18 engineer [5] 158:15, 25; 281:7, 21; 282:12 engineer's [1] 273:14 engineering [4] 164:24; 165:8; 204:19, 24 engineers [6] 142:22; 153:14; 154:13, 22; 175:12; 296:5 engines [5] 50:23; 51:5; 55:8, 10; 63:7 England [1] 43:10 Engles [1] 60:16 Enlighten [1] 188:11 enter [1] 276:16 entered [2] 180:18; 258:22 enters [1] 77:23 entirety [1] 252:1 entitled [6] 103:24; 124:20, 24; 135:8; 175:20; 252:21 environment [4] 130:23; 147:13, 24 equate [3] 149:1, 8, 12 Erickson [1] 67:16 Eskin [1] 60:18 essentially [2] 83:6; 112:2 establish [1] 24:13 established [1] 67:9 estimate [1] 40:7 events [1] 92:13 Everest [2] 194:18, 20 evidence [4] 189:4; 190:1; 251:15; 295:7 evolved [1] 112:24 exact [5] 8:20; 16:9; 130:16; 167:20; 248:21 Exactly [3] 75:13; 218:10; 261:1 exactly [16] 37:8; 47:10; 58:17; 129:19; 130:16; 156:3; 163:20, 25; 192:14; 204:1; 205:4; 230:10; 234:14, 25; 266:19; 291:24 EXAMINATION [2] 6:13; 200:4 Examination [1] 4:3 examine [1] 161:5 examined [1] 118:4 examining [2] 88:23; 181:25 example [10] 23:9, 11; 24:9; 33:24; 62:2; 127:22; 202:21; 210:5; 275:20; 285:22 exceeded [1] 29:4 except [4] 116:13; 129:2; 217:22; 239:4 exception [1] 176:8 excess [1] 16:8 exchange [5] 103:17; 195:22, 23; 233:16; 299:17 Excuse [1] 98:2 excuse [2] 71:15; 82:23 executes [1] 275:14 exercise [1] 90:6 exhaustive [1] 191:10 Exhibit [77] 4:6, 7, 8, 9, 10, 11, 12, 13, 14, 15, 16, 17, 18, 19, 20, 21; 5:17, 18, 19, 20, 21; 7:20; 8:10; 95:7, 10; 96:9; 109:7; 115:12; 124:6; 143:7; 146:17; 149:21, 25; 153:24; 154:1, 4; 160:12; 161:14, 15; 162:8, 11; 166:10; 170:11; 174:7, 11; 177:11, 14; 178:22; 182:16, 18, 22; 191:14; 211:24; 212:2; 223:18; 224:2, 19; 229:5; 230:23; 231:3; 238:7, 11, 24; 245:1; 247:11, 20, 23, 24, 25; 248:8; 249:1; 264:13; 265:16; 292:21, 24; 293:25; 294:6 exhibit [7] 7:24; 157:24; 231:12; 232:13; 233:4; 240:10; 277:1 exhibits [1] 206:3 exist [3] 150:22; 151:5; 246:9 existed [6] 42:21; 43:6; 118:17; 120:1; 156:25; 205:15 existence [5] 92:5; 95:25; 262:1; 265:19; 266:2 existing [1] 43:3 exists [1] 118:5 expanded [2] 58:9; 161:20 expected [2] 57:15; 283:16 expedite [2] 234:5, 13 expenses [1] 201:4 expensive [3] 42:17; 114:4; 145:19 expert [4] 107:24; 215:18; 216:24; 282:1 expertise [1] 44:8 expires [1] 302:21 explain [3] 239:9; 248:25; 253:1 explaining [1] 261:23 exposed [1] 274:8 extend [1] 82:18 extent [11] 9:15; 17:6; 91:19; 107:24; 127:12; 128:9, 16; 209:7; 215:17; 262:3; 266:12 external [1] 23:1 extremely [1] 251:6  -- F -- fab [1] 165:14 face [2] 7:24; 89:25 facetious [1] 117:11 facilitated [2] 159:14, 22 fact [13] 30:21; 38:16; 42:14; 89:19; 91:14; 94:11; 104:13; 152:8; 162:18; 164:20; 186:21, 23; 227:4 facts [7] 91:20; 98:6; 189:4; 190:1; 209:15; 251:15; 295:7 faint [1] 178:21 Fair [1] 219:5 fair [4] 48:18; 77:7; 80:1; 221:2 fairly [5] 59:3, 4; 145:17; 176:11; 236:13 Fall [2] 20:20, 22 fall [8] 20:21; 189:1; 204:1; 205:10, 11; 246:24; 247:1; 271:19 falls [2] 80:12; 99:1 familiar [16] 16:10; 17:11; 28:19; 38:10; 64:15; 86:13; 88:7, 16; 125:25; 126:3; 148:1; 194:18; 219:7; 221:15; 252:24; 296:9 families [1] 46:13
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

family [1] 111:21	fine [4] 130:13; 176:3; 178:6; 270:14	forgot [2] 233:15; 260:10	framed [2] 70:21
fashion [1] 50:7	finish [3] 64:25; 251:17; 287:1	forgotten [1] 28:5	frames [2] 33:5; 132:14
fax [5] 264:23; 295:2, 8, 12, 18	Finished [1] 205:11	form [36] 27:11, 13; 35:19; 36:1; 135:23; 152:5; 154:15; 156:12; 159:10; 184:19; 187:3; 188:13; 190:11; 193:21; 194:10; 195:24; 211:11; 218:24; 219:23; 224:20; 225:18; 232:20; 239:12; 249:3; 250:14; 255:16; 265:5, 21; 273:21; 296:15; 297:3; 298:2, 5, 18, 20	framing [2] 284:3, 6, 11
feature [14] 49:2; 56:23; 74:22; 145:17; 185:24; 186:19; 187:24; 189:1, 17, 18; 190:23, 25; 193:19, 25	finished [2] 46:22; 163:6	Format [1] 294:8	Francisco [2] 3:7; 199:7
features [22] 45:18; 49:6; 58:6; 7; 69:6; 82:8; 92:2; 103:10; 112:3; 114:17; 116:14; 154:11; 156:6, 7, 10, 19; 157:10, 15; 176:9; 240:8; 280:10; 281:22	firm [5] 6:16; 7:1; 9:18; 208:3; 256:15	format [14] 22:3, 5; 24:7, 8, 15; 57:13; 73:12; 87:5; 132:22; 158:24; 160:17; 215:25; 216:1; 218:3	Fremont [8] 112:10, 24; 153:18; 171:13; 195:10, 12; 196:1; 296:6
February [1] 201:16	First [2] 147:3; 214:12	formatted [4] 70:18; 73:11; 87:7; 239:7	frequently [1] 61:1
fed [6] 17:20; 57:5; 137:12; 284:20; 288:5, 6	first [82] 6:10; 8:16; 10:24; 11:9; 12:23; 13:25; 14:1, 19; 15:7, 22; 18:18; 19:11, 22; 44:12; 56:1, 8; 57:24; 58:2; 59:7; 67:25; 68:1, 2, 3, 8, 9, 23; 90:10; 94:21; 95:23; 96:16, 19; 110:11; 114:3, 9, 12, 19; 115:19; 118:12, 17, 20; 117:23; 118:5; 122:4; 124:23; 128:24; 129:24; 132:10; 136:7; 143:12, 13; 144:2; 148:14; 150:21; 151:17; 156:6; 157:16; 165:5, 9, 12; 176:5, 19; 191:22; 206:14; 207:15; 219:11; 220:24; 229:8; 234:2; 236:23; 238:13; 253:13; 265:12; 270:20; 271:4, 7, 17; 273:1; 289:16, 17; 294:12; 297:7, 12	formally [1] 206:18	fresher [2] 292:15, 16
Federal [1] 2:12	Fish [4] 3:12; 6:17; 7:1; 199:13	former [2] 158:15; 231:24	friends [1] 9:23
Fee [1] 277:2	fit [3] 14:15; 45:17; 73:11	formerly [1] 185:1	front [12] 121:9; 131:1; 187:20; 195:4; 210:8; 230:20; 232:9; 236:21; 253:12, 21; 259:3; 277:2
feed [3] 23:10; 54:7, 10	Five [2] 12:3, 10	formula [1] 280:20	frontend [24] 17:11, 14, 15; 31:25; 32:3; 38:1; 39:13, 14; 44:22, 25; 51:20, 22; 52:10; 54:11, 18; 126:19; 131:20; 243:15; 244:9; 250:1, 20; 270:19; 300:16
feedback [5] 114:3; 118:12; 173:13; 183:18, 23	five [2] 212:19; 215:2	forth [10] 15:5; 24:4; 71:3; 103:10; 158:6; 162:1; 164:20; 240:10; 248:21; 279:8	full [7] 7:9; 33:5; 67:20; 121:7; 134:4; 140:3; 267:2
feeding [2] 136:15; 186:14	five-minute [1] 104:15	Forty-five [3] 214:22, 23; 215:1	full-time [1] 259:8
feel [2] 13:13; 174:2	fixed [1] 107:8	Forty-nine [1] 283:3	fully [1] 222:7
feels [1] 125:14	fixing [1] 156:4	Forty-two [1] 226:15	function [3] 135:9; 154:19; 204:23
fellows [1] 176:17	flag [1] 63:19	forward [6] 110:24; 165:4; 192:10; 202:1; 206:20; 278:14	Functional [3] 238:5; 242:21; 248:22
felt [2] 114:13; 261:8	flat [5] 177:5, 22; 178:7; 243:17; 293:14	found [3] 201:1; 256:18; 299:20	functional [1] 193:15
fetch [2] 134:7; 138:17	flexibility [1] 107:16	foundation [1] 60:1	functionality [5] 69:7; 92:2; 113:12; 242:25; 243:12
fetches [1] 133:22	flight [4] 296:6, 9, 25; 301:18	Four [2] 49:9; 212:19	functions [2] 194:9; 248:21
fetching [9] 133:24, 25; 134:1, 2, 13, 15, 18, 23	flip [1] 32:16	four [29] 49:11; 50:2, 9, 15, 19; 51:3, 4; 52:21; 54:12, 13, 14, 15; 67:4; 69:21; 82:21; 83:4; 105:15; 107:2, 3; 159:4; 169:16; 173:5, 19, 21; 182:3; 288:21, 25; 291:21	future [1] 34:17
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	flipped [1] 33:6	fourth [1] 290:13	
fields [3] 32:10; 272:18, 19	Flipping [2] 240:20, 25	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	-- G --
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	flow [1] 119:13	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	game [2] 118:22; 167:14
fields [3] 32:10; 272:18, 19	flushed [1] 163:12	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	gave [16] 19:4; 93:7, 9; 94:16; 97:2, 12; 114:23, 24, 25; 143:18; 167:12; 179:23; 205:17; 206:12; 208:14, 16
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	focus [5] 16:21; 72:24; 204:24, 25; 244:17	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	Gayle [1] 198:7
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	focused [1] 59:20	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generate [4] 126:10; 274:22; 278:1; 282:7
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	focusing [1] 19:21	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generated [4] 65:10; 107:4; 155:3; 253:22
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	Foerster [6] 3:5; 7:4, 7; 8:15; 9:8; 199:5	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generates [3] 275:21; 276:21; 278:3
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	fonts [1] 29:23	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generating [4] 274:12; 276:5, 13; 277:7
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	food [1] 167:10	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generation [3] 14:2; 18:18, 19
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	footer [2] 235:8; 236:2	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6; 274:7; 276:16; 282:6, 8, 10; 285:1, 10; 286:5, 6, 23; 297:19; 298:6, 15, 21, 24; 299:4, 14; 300:2	generations [1] 18:17
field [7] 11:12; 77:16, 17; 271:23; 272:1, 2; 285:20	forces [1] 190:6	frame [122] 17:9; 18:10, 22; 21:19, 21; 22:7; 23:7; 26:12; 27:3, 9, 16; 28:22; 29:3, 17, 21, 25; 33:5; 35:15, 18, 25; 36:1, 16, 23; 38:7; 39:19; 43:1; 46:7; 49:11; 51:10; 53:9; 54:23; 55:1, 2, 9, 12; 83:18, 22; 84:10, 15, 21, 23; 103:16; 110:22; 119:21; 125:2; 127:7, 14; 128:9; 129:24; 133:1; 139:3, 9; 140:10; 147:9; 150:22; 151:6, 13, 25; 152:10; 157:12, 21; 158:2, 8, 17; 159:16, 24; 160:5; 200:20; 201:17; 205:3; 210:8; 215:12, 24; 216:4, 7, 10, 16; 217:4, 7; 218:1, 10, 11; 219:13; 220:11, 24; 223:19, 23; 225:6, 7, 14, 16, 19; 226:1; 227:5, 11; 237:3; 243:17; 249:15, 19; 268:22; 269:14; 272:6	

254:19; 288:25 includes [1] 158:6 incoming [1] 278:22 incorporate [1] 42:18 incorporated [2] 83:11; 300:6 increments [1] 140:8 independent [1] 225:17 independently [2] 50:12, 14 indicate [3] 163:9; 200:23; 265:6 indicated [2] 30:16, 18 indicates [3] 145:4, 5; 272:9 indicating [6] 225:23; 228:12; 230:22; 260:17; 284:15; 286:9 indication [2] 284:16; 295:8 indicative [1] 235:10 Indirectly [1] 87:22 individual [1] 190:12 individual's [1] 153:19 individuals [3] 110:14; 118:3; 168:25 indulged [1] 301:13 industry [6] 11:22; 49:1; 60:22; 175:7; 184:8; 221:6 ineffective [1] 242:18 inform [1] 265:18 Information [2] 252:21; 264:8 information [42] 9:16; 13:15; 16:17; 29:20; 37:7, 14; 39:25; 63:23; 76:21; 90:13; 25; 97:18; 99:8, 18; 109:11, 17; 125:2; 127:22; 132:19; 145:14; 164:3; 179:3, 17, 25; 180:4; 200:24; 209:8; 213:18; 224:12, 17; 230:1; 235:8; 258:1; 262:5; 272:5; 274:23; 284:4, 6; 295:24; 297:13; 299:17 informed [5] 187:11; 214:10; 229:23; 246:8; 266:1 infringe [1] 252:12 infringed [1] 252:16 infringement [3] 109:25; 252:7; 254:17 infringing [1] 261:16 initial [4] 38:12; 55:18; 205:8; 232:10 innerworkings [1] 297:14 input [19] 54:15, 21, 24, 25; 55:3; 73:3; 144:17; 145:12; 154:25; 155:20, 23, 24, 25; 166:5; 213:15; 278:6; 279:10, 24 inputs [1] 50:4 inside [13] 37:8; 106:17; 107:8; 108:10; 123:17; 133:11, 17; 134:24; 135:4; 137:23; 138:1; 235:23; 278:17 instance [2] 2:3; 198:3 instant [1] 127:4 instruct [3] 91:1; 101:25; 210:22 instruction [3] 38:17; 91:16; 99:5 instructions [4] 29:15, 16; 119:13, 16 Instruments [1] 7:16 intact [1] 213:20 integration [1] 15:2 integrator [1] 109:2	Intel [20] 19:16; 21:17, 18; 25:19; 26:20; 28:25; 37:6, 22; 41:14, 21; 43:11; 44:24; 167:1; 168:19, 20; 169:1; 170:3; 173:18; 179:1; 182:5 intended [5] 15:11; 48:24; 112:19; 113:2; 231:5 intention [2] 231:7; 233:6 interacting [1] 99:16 interactive [1] 20:16 interest [1] 184:17 interested [4] 83:15; 116:20; 190:16; 191:4 interface [39] 39:21; 52:21; 53:25; 60:7; 69:2, 18, 25; 70:18; 71:1, 4; 87:2, 3, 11, 12, 13, 19, 21; 88:15; 105:12; 119:23; 125:3; 126:14, 15; 147:7, 8; 239:20, 22, 23; 240:8; 250:19; 271:5, 6, 13, 14, 18, 19, 21; 280:3 interfaces [1] 88:8 interject [1] 129:9 internal [1] 235:16 INTERNATIONAL [2] 1:1; 197:1 International [2] 2:10; 198:10 Internet [2] 23:14, 17 interoffice [1] 195:11 interpolate [2] 51:8; 52:23 interpolation [14] 51:13, 15; 52:6, 13; 57:4; 64:4, 7, 10, 12, 18, 19; 78:8, 9; 220:13 interpolator [3] 54:19; 78:14, 19 interpret [4] 227:17; 228:5; 254:17; 270:13 interrupted [1] 23:2 interval [2] 86:11; 122:24 introduce [1] 181:7 INV [2] 1:6; 197:6 invalid [1] 81:9 invention [2] 91:21; 251:20 inventor [3] 16:4; 98:24; 296:3 involve [1] 156:15 involved [11] 47:6; 59:8; 67:2; 78:8; 141:25; 142:15; 156:16; 192:19; 201:22; 243:5; 270:8 involving [1] 246:20 irrelevant [1] 53:4 issue [6] 22:19; 38:5; 123:11, 13; 200:9; 248:13 issued [2] 206:24; 253:15 Issuing [1] 277:2 Item [2] 230:21, 22 items [2] 61:17, 19 iterations [1] 162:21	Japanese [1] 192:1 Jeff [1] 159:3 Jim [11] 166:3; 169:13; 170:18; 174:15; 188:18; 194:24; 195:17; 232:17; 233:24, 25; 234:1 job [5] 11:9; 13:20; 115:23; 252:13; 259:8 Joe [2] 204:14 John [19] 31:11, 12, 13; 159:1; 162:12; 165:13; 172:22; 182:24; 183:4; 191:24; 192:25; 193:4; 204:12, 13; 264:24; 293:4 Jow [6] 191:18, 23; 195:9, 12; 241:14, 21 JR [1] 159:2 JUDGE [2] 1:5; 197:5 judge [1] 105:25 July [1] 8:24 jump [2] 274:11; 282:15 jump-start [1] 251:10 jumped [1] 68:21 jumps [1] 105:18 June [1] 8:24	labeled [1] 277:18 lacks [2] 147:17; 151:19 lag [1] 63:22 Laguna [2] 111:17, 19 lake [1] 187:16 land [1] 277:16 language [3] 210:10; 267:20, 21 laptop [1] 167:23 large [1] 28:24 Last [1] 68:19 last [9] 20:23; 88:21; 175:14; 211:10; 290:23; 291:14, 17; 294:22; 296:17 lasted [1] 233:17 launched [1] 141:16 LAW [2] 1:5; 197:5 law [3] 6:16; 208:3; 256:15 lawful [1] 6:10 lawsuit [1] 294:15 lawyer [9] 9:17; 97:3, 6; 109:18; 20; 205:25; 207:5; 255:6; 262:19 lawyer's [2] 228:20; 235:25 lawyers [11] 9:7; 13:18; 90:7; 218:22; 234:12; 236:4, 11; 258:3; 273:12; 282:17; 299:25 lay [1] 36:16 LCD [1] 194:5 lead [3] 47:19; 112:13; 155:15 leader [1] 178:12 leadership [1] 40:18 leads [1] 187:7 learn [3] 41:17; 190:10; 258:12 learned [1] 94:22 leave [4] 12:13; 13:20; 47:8, leaving [1] 231:25 left-hand [5] 108:5; 127:9; 212:11; 214:21; 235:9 legal [15] 99:2; 105:2; 106:17; 130:11; 215:18; 216:23; 217:9; 221:21; 228:19; 244:23; 254:9; 257:9; 258:21; 269:4; 281:1 Let's [18] 12:21; 21:25; 83:3; 99:3; 136:5; 138:10; 152:15; 192:9; 212:8; 227:20; 242:6; 243:9; 264:5; 269:22; 274:11; 278:8; 281:2; 292:11 let's [23] 22:17; 48:10; 51:23; 59:2; 69:5; 72:24; 87:18; 136:22; 167:14; 201:15; 219:8; 223:25; 242:6; 244:14; 245:24; 268:11; 275:20; 276:25; 278:19; 285:3; 291:16 letter [2] 201:19, 24 level [9] 14:13; 15:2; 16:18; 31:4; 42:22; 44:8; 155:7; 189:24; 250:7 Lexington [1] 168:15 life [2] 73:1; 77:22 limitation [8] 85:3, 18; 86:2, 5, 6, 9; 87:20; 273:2 limited [2] 147:19; 251:22 LINDA [2] 3:11; 199:12 Linda [2] 6:23, 25 line [33] 38:24; 63:14; 64:13, 68:22; 86:8, 16; 111:17, 19;
-- K --		Keene [7] 155:24; 176:16; 190:14, 15; 293:5, 8; 296:2 Keene's [1] 295:2 keenly [1] 191:4 keep [12] 32:14; 106:7; 116:6; 121:7; 129:14; 134:3, 18; 171:10, 14; 181:13; 288:6, 14 keeping [1] 288:4 Kentucky [2] 168:16, 18 kept [1] 171:7 key [28] 74:14, 17, 20; 75:8, 9, 16, 24, 25; 79:22; 80:7, 19, 21; 81:1, 6; 133:21; 135:1; 137:18; 138:4, 14, 15; 144:18, 21; 145:9; 146:12; 290:11; 292:8 keying [10] 74:25; 79:22; 114:13; 134:8, 17; 144:12, 14, 15; 145:16, 25 kick [1] 170:21 kicked [1] 256:11 kill [1] 69:20 killed [1] 18:19 kinds [4] 22:24; 42:20; 53:14; 208:8 kiosk [1] 31:9 Knowing [2] 227:7; 249:16 knowing [2] 249:17 knowledge [18] 96:22; 117:18; 127:16; 147:17; 149:4; 151:20; 192:8; 227:16; 228:2; 249:20; 252:6, 9, 17; 256:3; 263:10; 272:15; 299:15; 301:1 knowledgeable [1] 300:21 KORDZIEL [3] 3:11; 6:25; 199:12 Kordziel [1] 7:1	-- L --
-- J --		James [2] 97:9, 10 January [7] 141:25; 194:8; 200:17; 201:16; 203:14; 207:1; 281:19 Japan [15] 166:24, 25; 167:4, 25; 168:6; 169:21, 22; 182:4; 191:24; 192:17, 23; 202:4, 21, 23; 203:4	L.L.P. [2] 3:5; 199:5



219:10; 241:17, 22; 243:2; 291:6, 14; 299:3 given [8] 29:13; 39:9; 48:16; 132:17; 201:22; 205:25; 256:22; 290:20 gives [2] 123:2, 3 giving [3] 180:3; 206:8; 288:7 glasses [1] 137:16 goes [14] 36:10; 78:3, 7, 8, 12; 127:14; 130:12; 131:12; 154:25; 175:8; 209:18; 218:5; 278:19; 280:2 Gowdy [1] 19:10 grab [1] 287:23 grabs [1] 35:3 Graham [1] 7:12 Graph [1] 93:1 graph [1] 31:7 graphic [4] 43:3; 63:4; 76:22; 177:22 GRAPHICS [2] 1:9; 197:9 Graphics [2] 12:1; 25:2 graphics [125] 21:7, 14, 22; 25:1, 8; 26:23, 25; 27:5; 33:3, 7, 20, 21; 34:10; 48:17; 49:16; 56:21; 57:2, 10, 12; 60:22; 65:13; 73:2, 5, 7, 8; 74:6; 76:1, 6; 77:18, 21; 79:20; 81:16, 21; 82:2, 20, 25; 83:22, 25; 84:3, 6, 8, 14; 85:20, 21; 87:13; 111:7, 10; 117:9; 120:17, 21; 121:1, 3, 12, 43; 123:22, 23; 125:22; 126:12; 133:7, 15, 19, 25; 134:3, 7, 13, 16, 18, 23; 135:22; 137:13; 138:7, 17; 150:23; 151:8; 152:17; 156:6, 10, 15, 18; 159:9, 22; 160:4, 7; 217:5, 7; 218:6, 9, 12; 219:3, 12, 22; 220:3; 221:19; 222:1, 3, 12, 18; 223:23; 224:12, 17; 226:23; 242:8; 243:20; 246:2; 249:10, 11; 270:21, 24; 271:23, 25; 272:10, 20; 276:8; 286:13, 22, 23; 287:2, 5, 16, 17; 290:3, 10; 296:14; 298:7 graphics-only [1] 15:9 great [1] 243:20 grew [1] 112:22 gritty [1] 84:19 ground [1] 45:11 grounds [1] 217:10 Group [1] 175:1 group [5] 110:20, 21, 22; 111:14, 16 growth [1] 31:8 guarantee [1] 292:3 guess [24] 10:12; 14:10; 32:23; 39:4; 40:8; 48:19; 56:3; 61:16; 80:15; 85:23; 107:14; 108:11; 132:3; 175:3; 189:21; 190:8; 204:4; 221:3, 11; 233:7; 234:4; 251:8; 288:9; 300:19 GUI [3] 126:13; 147:8; 152:18 guidance [1] 102:2 guide [1] 289:6 guy [5] 164:8; 170:6; 172:24;	234:2; 291:5 guy's [1] 170:4 guys [5] 31:15; 46:14; 97:20; 110:24; 167:23 Gwen [1] 19:10  -- H --  H-sync [3] 284:13, 23, 25 hairs [3] 218:20; 287:18; 298:16 hair [1] 119:11 half [10] 64:14; 84:23, 24; 139:7, 11, 14; 140:7, 8, 15 halfway [2] 85:14; 241:13 halts [1] 287:11 hammering [2] 288:3, 4 hand [2] 202:13; 212:2 handing [1] 208:5 handle [11] 49:24; 50:2; 65:5, 7, 8; 69:2; 107:11; 119:12, 17, 21; 149:16 handled [1] 38:3 handling [4] 19:18; 39:18; 53:19; 204:3 hands [1] 180:1 handwritten [2] 212:14; 293:4 Hang [2] 248:17 happens [3] 23:3; 80:7; 124:3 happy [2] 104:12; 253:2 hard [11] 26:2, 4; 35:11, 12, 14, 18, 25; 171:10, 13; 249:4; 301:20 hardware [10] 37:7; 41:15; 45:22; 85:2; 105:9; 130:20; 131:21; 146:9; 150:3, 5 hasn't [1] 189:4 haven't [1] 177:25 he'd [1] 204:9 he'll [1] 202:18 He's [2] 174:19; 267:10 he's [9] 60:16; 89:19; 91:18; 138:21, 22; 178:18, 19; 291:7; 292:10 head [3] 14:22; 205:14; 208:22 heading [2] 157:24; 182:25 headquarters [1] 61:12 hear [1] 110:6 heard [2] 43:14; 88:18 hearing [1] 202:2 held [2] 218:17; 253:10 help [13] 37:14; 39:8; 58:16, 20; 64:21; 103:21; 115:8; 192:23; 200:24; 202:20; 209:1; 267:9; 289:2 helpful [2] 128:1; 191:8 helping [1] 91:19 helps [1] 237:24 hereinbefore [1] 6:9 hereto [1] 238:7 hi [1] 68:20 hidden [1] 82:16 high [5] 15:6; 155:6; 224:11; 234:10; 250:7 high-level [1] 93:8 HILL [172] 3:3; 7:3; 9:14; 17:3; 25:25; 27:11; 47:16; 52:24;	62:21; 65:24; 66:23; 69:8; 75:10; 77:2, 24; 89:10, 17, 20, 23; 90:3, 12, 17, 24; 91:17; 97:22; 98:3, 8, 17, 21; 99:1; 101:25; 104:5, 9; 105:1; 106:16; 107:23; 108:18; 109:9, 14; 116:22, 25; 117:18, 21; 118:6, 9; 124:12; 127:25; 129:9; 130:9; 135:23; 144:25; 147:14; 149:3; 151:19; 152:5; 154:15; 156:12; 158:10; 159:10, 17, 25; 161:2, 7; 163:14; 164:4, 11; 175:24; 178:2; 179:13, 16; 180:13; 181:2, 18; 184:19; 186:3; 187:3, 25; 188:12; 189:3, 25; 190:11; 191:9, 20; 192:6; 193:9, 21; 194:3, 10, 15; 195:6, 14, 24; 199:3; 201:18; 202:1; 209:6, 13, 21; 210:21; 211:5, 11; 212:24; 213:16; 214:12; 215:16; 216:19, 22; 217:8, 12, 15, 18; 218:23; 219:23; 221:20; 222:2, 6; 224:4, 20; 225:18; 226:3, 10; 227:6, 18; 228:18; 229:15; 231:17; 232:20; 239:12, 16; 241:15; 244:21; 245:12, 20; 247:8; 249:3; 250:14; 251:14; 254:8, 13; 255:16; 256:1, 8; 257:8, 24; 258:20; 259:22; 262:3, 10; 265:21; 266:3, 11; 267:10, 13; 269:2; 270:15; 273:20, 25; 278:10; 280:24; 281:12, 25; 282:21; 286:10; 290:19; 293:18; 294:1, 4; 295:6; 296:15; 297:3, 21; 301:4 Hill [8] 7:3; 9:6; 89:4; 90:19, 22; 140:25; 202:18; 278:14 Hillsboro [1] 168:23 hired [1] 44:5 Hirsharma [2] 110:3, 10 hit [1] 123:9 hold [5] 10:25; 11:6; 27:4; 63:25; 211:8 hole [1] 202:13 HONORABLE [2] 1:4; 197:4 hook [1] 18:5 hooks [1] 18:4 hope [1] 232:7 hoped [1] 181:14 hoping [2] 26:11; 114:10 horizontal [3] 121:5; 122:3; 284:12 host [13] 18:8; 24:21; 125:3, 4, 8, 10; 136:15; 269:20; 271:13; 275:17; 276:2, 7 hot [1] 167:9 Hotel [2] 2:9; 198:9 hotel [1] 61:8 hour [2] 10:6; 52:25 houses [1] 153:5 Houston [4] 11:3, 10, 11, 19 huge [1] 123:20 Huh [1] 295:9 hunk [1] 287:23 hunt [1] 291:5 hunted [1] 299:21 hunting [1] 14:4	Hyatt [3] 2:8; 6:5; 198:9 hype [1] 100:22  -- I --  I'd [9] 162:5; 201:19; 224:1; 236:22; 252:2; 256:10; 268:17; 269:6; 289:4 I've [15] 64:1; 129:7, 23; 142:4; 144:22; 176:20; 183:9; 201:20; 203:3; 212:5; 246:8; 255:8; 270:3; 301:17, 19 I-750 [13] 25:11, 20; 26:22; 27:4, 9; 29:13; 30:15, 24; 34:24; 35:21; 36:6; 37:22, 25 IBM [23] 166:25; 167:1, 25; 168:6, 14; 169:3, 8, 21, 24; 173:18; 178:23, 25; 180:19, 21, 25; 181:15; 182:4; 192:12, 17, 23; 193:7; 195:4 idea [19] 10:8, 9; 33:12; 48:23; 76:19; 112:13; 113:10; 154:10; 157:19; 159:6; 181:9; 186:16; 188:16; 193:11, 14; 201:3; 231:10; 265:4; 300:2 ideas [3] 163:18; 175:9, 12 identical [3] 33:6, 7; 208:12 identified [13] 7:23; 39:6; 78:17; 80:13; 81:17; 144:2; 173:19, 21; 182:4; 191:7; 238:13; 251:5; 263:22 identifies [3] 264:24; 293:8; 296:2 identify [24] 6:20; 8:13; 24:15; 95:10; 96:10; 115:15; 124:9; 143:10; 146:20; 149:24; 154:4; 160:15; 162:11; 170:14, 17; 174:10; 177:14; 182:21; 191:17; 247:23; 264:16, 20; 293:1; 294:6 identifying [1] 128:24 II [4] 176:14, 20; 197:19; 302:8 image [3] 25:4; 32:15; 244:10 images [1] 32:14 implement [5] 143:2; 145:17; 240:13; 280:11; 281:22 implementation [6] 147:2, 4; 219:25; 223:6; 227:7; 281:5 implemented [7] 74:19; 146:9; 227:8; 289:7; 291:25; 292:2, 4 imply [1] 225:15 important [4] 37:12; 70:6; 153:6; 190:20 impossible [6] 83:19, 20, 21; 84:2, 13; 229:25 in-house [2] 9:18; 47:5 inactive [3] 33:13, 19; 36:12 Inc [2] 2:4; 198:4 incapable [1] 85:8 incarnation [1] 19:20 incident [1] 246:19 include [13] 23:13; 37:4; 82:4, 7; 108:6; 127:21; 185:18, 20; 186:18, 23; 187:2; 217:7; 222:25 included [9] 37:19; 39:17; 103:9; 104:1; 116:13; 185:24, 25;
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------



121:6; 122:22; 131:17, 18; 134:16; 176:25; 177:3, 16, 21; 182:8; 194:18; 215:11; 224:22; 24; 250:20, 21; 277:18; 279:22; 284:7; 298:11, 24; 299:4 line-by-line [1] 283:18 lined [1] 203:10 Lines [1] 226:12 lines [7] 52:3; 64:10; 132:11; 237:2; 250:10; 300:7, 22 list [13] 61:17; 93:9; 117:24; 118:1; 143:17; 145:15; 189:18; 193:19, 25; 194:9; 201:25; 241:18, 23 listed [3] 16:4; 256:5; 260:14 literature [1] 99:14 litigation [2] 13:4; 96:12 LIU [2] 3:11; 199:12 Liu [1] 6:25 Live [1] 57:17 live [30] 53:16, 21; 54:7, 10; 57:7, 11, 15; 63:25; 113:6; 135:12, 13; 136:17; 151:11; 185:18; 186:18, 23; 187:2, 10, 12, 24; 188:16; 189:13, 23; 190:17, 19; 240:22; 278:22; 285:18, 19; 286:25 load [3] 76:21; 83:1; 119:8 loads [1] 121:9 local [4] 167:12; 169:14, 18; 192:22 locate [2] 116:24; 117:20 located [5] 2:9; 117:17; 137:3, 5; 198:9 location [3] 34:17; 125:24; 169:14 locations [3] 34:3, 5, 6 lock [1] 42:24 locking [1] 42:25 Logic [20] 11:17, 23; 12:7; 46:12, 16; 67:18; 89:12; 92:15; 107:11; 146:25; 148:18; 155:9; 170:19; 189:10; 231:25; 232:2, 25; 241:22; 248:22; 294:7 logic [3] 105:7, 8; 106:12 Logic's [2] 238:4; 242:21 lonely [1] 174:2 look-up [9] 73:9, 22, 25; 81:18; 83:1, 2; 210:7; 219:16; 222:20 Looks [1] 143:11 looks [26] 76:1; 95:18; 110:8; 115:16; 146:21; 150:6; 162:12; 166:1; 170:18; 171:21; 174:12; 15; 175:9; 177:15; 179:1; 182:23; 185:16; 212:25; 215:2; 232:11; 247:24; 250:13, 23; 264:21; 279:14; 293:3 loosely [1] 239:1 lose [1] 23:4 losing [2] 66:6; 119:2 lost [4] 70:3, 5; 86:19; 277:16 lot [12] 44:6; 46:10; 47:6; 58:20; 119:10; 171:15; 183:9; 201:12; 236:21; 287:20; 295:24; 300:13 lots [2] 91:10; 130:7	loud [2] 207:24; 215:7 low [2] 139:18, 25 lower [3] 212:11; 214:21; 235:9 lunch [3] 142:8, 11, 13 luxury [1] 118:25  -- M -- Mac [4] 43:17, 18, 20, 21 magenta [1] 76:9 mailed [2] 143:20, 21 main [1] 71:22 Mainly [1] 63:24 maintain [6] 89:14, 25; 90:4; 171:2; 180:19; 285:19 maintained [1] 115:25 maintaining [2] 70:9; 285:18 man [1] 218:22 manage [4] 50:1; 147:12, 24; 243:16 managed [2] 131:19; 142:21 management [20] 48:11, 12, 14; 91:8, 12; 148:5; 156:3; 186:10, 11, 13; 187:8, 12; 188:4, 8; 189:12, 17; 190:6, 16, 19; 233:22 manager [7] 45:13; 142:19; 153:4, 21; 187:20, 21; 244:4 manpower [1] 92:20 manufacturers [1] 42:13 March [2] 47:13; 203:15 mark [9] 7:18; 8:8; 95:5; 149:18; 153:24; 165:25; 166:8; 174:4 marked [65] 7:21; 8:11, 13; 95:8, 10; 96:8, 17; 103:14; 115:9, 13, 15; 118:12; 143:4, 8, 10; 146:14, 18, 20; 148:14; 149:22, 24; 154:2, 4; 160:10, 13, 15; 162:6, 9; 163:8; 166:11; 170:8, 12; 174:8, 10; 177:8, 12; 182:15, 19, 21; 191:12, 15, 17; 206:4; 211:20, 25; 212:2; 221:16; 230:20; 231:2; 239:2; 247:17, 21, 23; 264:10, 14, 16; 268:14; 277:2; 289:3; 292:21, 25; 293:2, 22, 25; 294:6 Market [2] 3:6; 199:6 market [16] 15:12, 13, 14; 48:23; 56:16; 112:19; 113:1, 3, 4, 6; 114:16; 179:10; 242:8, 10, 16; 249:21 marketed [1] 124:11 marketing [26] 31:15; 46:11, 19, 25; 47:2; 97:20; 101:2; 114:24; 154:6, 12, 22, 24; 155:3, 25; 163:4; 165:17, 18; 173:12; 182:7; 193:2; 200:7; 204:22, 25; 233:4; 299:8 marketplace [2] 97:19; 99:8 marking [1] 165:2 markings [1] 179:22 marry [1] 84:6 Marshall [1] 7:11 masking [1] 145:25 massive [1] 123:9 match [1] 237:20	matches [2] 239:1; 290:11 mate [1] 72:19 material [7] 166:2, 18; 182:7; 208:16; 254:2, 6, 10 materiality [1] 255:3 Materials [1] 182:12 materials [1] 191:5 math [1] 141:1 Matt [1] 169:7 MATTER [2] 1:8; 197:8 matter [6] 9:13; 75:5; 164:16, 23; 258:11; 269:22 matters [1] 204:3 max [1] 53:12 maximum [1] 50:8 McDonald [1] 153:16 McEntire [1] 152:23 McKinney [1] 7:12 mean [83] 30:13; 31:7; 37:7; 38:11; 40:19, 25; 47:23; 50:14, 22, 24; 52:1; 57:11; 64:6; 65:17; 68:1, 8; 70:24; 71:14; 74:5, 22; 75:4, 15; 76:7, 12; 79:3; 80:21; 84:2; 85:13; 86:12; 93:24; 96:4; 98:20; 100:7; 117:10; 131:23; 133:14; 136:8; 137:20, 22; 141:1; 155:17; 158:15; 163:22; 165:14; 177:5; 200:12; 203:9; 216:11; 218:16, 17, 19; 220:1, 23; 221:5; 224:22; 232:24, 25; 233:2; 235:12; 236:2, 6; 240:7; 241:25; 242:3; 243:25; 255:21; 259:15; 262:15; 265:24; 266:5; 273:17; 275:7, 13; 283:12; 285:16; 287:8, 14; 289:5; 290:14; 291:20; 294:14; 301:21 Meaning [4] 72:3; 142:21; 216:5; 221:11 meaning [4] 151:24; 185:9; 269:9, 14 means [14] 110:9; 119:9; 129:3; 137:19; 215:15; 218:10; 225:9, 14; 265:4; 269:1; 282:19; 283:14; 286:4; 290:16 meant [8] 56:17; 129:12; 185:7, 13; 215:23; 254:6; 255:2, 3 mechanism [13] 75:7, 25; 79:14; 129:18, 22; 130:17; 219:15, 16, 19; 222:21; 223:9; 274:21; 287:12 mechanisms [1] 225:10 Media [1] 46:16 media [1] 44:20 Mediagraphics [1] 43:8 meet [2] 92:21; 194:14 meeting [25] 61:13, 20; 62:9; 65:1; 68:4, 9; 94:10, 13; 168:10, 12; 170:21, 23, 24; 171:18, 21; 172:4; 190:9; 192:16; 200:12; 207:4, 15; 208:18; 210:14; 241:9; 246:10 meetings [23] 59:5, 17, 23; 60:20; 61:6, 15, 23; 67:7, 24; 68:12; 100:2, 8; 168:9; 169:12, 16; 173:5; 193:1; 200:11, 15, 20;	209:1; 255:8, 10 meg [13] 17:8; 53:12; 139:6, 7, 11, 14; 140:7, 8, 9, 15 megabyte [1] 17:1 members [2] 71:19; 188:8 memo [8] 118:12; 141:16; 170:18; 183:7; 188:6; 191:18; 195:11; 239:11 memories [1] 115:5 memory [115] 16:24; 17:20; 22:5; 28:24; 29:12; 30:2, 5, 6, 7, 11, 17, 19; 32:12; 36:4, 13; 38:6, 23; 49:23; 53:6; 64:13; 96:23; 108:4, 6, 10, 13, 17, 24; 109:2; 119:15, 23; 120:5, 6, 8, 12; 121:24; 122:8, 19, 20; 123:5, 17, 20; 124:2; 125:17, 20; 126:6, 23, 25; 127:5, 17, 19, 24; 128:7, 12, 13; 129:2; 130:1, 19; 131:2, 10, 11; 132:1, 6, 16, 19; 133:22; 134:11; 135:18; 139:17, 18; 140:1, 5, 6, 11; 141:14; 149:2, 9, 12; 157:13, 19; 158:3, 9; 219:3; 220:6; 223:13; 224:13, 18, 25; 225:11, 25; 226:22; 228:21; 230:16; 250:18; 266:23; 268:21; 269:13; 270:22; 273:15, 24; 274:2, 3, 13; 283:7; 287:16, 20, 23; 288:3, 4, 7, 8; 296:13 mention [1] 145:11 mentioned [7] 15:21; 28:13; 70:14; 93:19; 165:16; 188:25; 239:19 merge [2] 74:1, 4 messaging [1] 49:13 Michael [1] 60:15 microprocessor [1] 29:14 Microsoft [3] 45:17; 126:1; 147:1 middle [5] 19:1; 86:17; 138:7; 226:16; 286:7 Mike [1] 152:22 millions [2] 40:8, 10 MIMI [1] 244:4 mind [6] 90:6; 106:7; 181:18; 202:15; 273:13, 14 Mine [1] 232:11 mine [1] 166:3 mini [3] 55:2, 8, 10 minimum [1] 53:11 minor [1] 59:12 minute [4] 16:15; 31:3; 138:10; 233:17 Mischaracterized [1] 226:4 Mischaracterizes [2] 227:19; 245:20 mischaracterizes [1] 265:22 misleading [1] 108:8 missed [1] 86:19 missing [6] 119:7; 223:3; 239:20; 253:13; 280:3, 6 mistakes [2] 48:12, 13 misunderstood [1] 275:25 mix [2] 46:17; 87:25 mixed [1] 85:1 mixing [4] 56:20; 85:10; 245:15,
--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

16 mode [27] 75:7, 17; 80:17, 24, 25; 81:5; 82:18; 124:23, 25; 134:21; 138:24; 139:22; 140:13, 15; 158:7; 159:13; 160:2, 4, 7; 243:18, 19; 289:16, 17, 18; 290:12, 13; 292:8 model [1] 177:24 models [1] 25:3 modes [6] 152:9; 288:21, 25; 289:11; 291:21; 292:6 modified [1] 120:7 modify [1] 266:16 moment [9] 16:22; 59:3; 62:23; 64:25; 87:19, 20; 113:17; 133:4; 165:16 moments [2] 173:6; 236:6 Monday [1] 171:13 money [12] 10:22; 40:20, 21; 42:8, 10, 11; 43:24; 113:15, 16; 175:5, 13; 189:11 monitor [3] 17:21; 36:17; 128:18 monkeying [1] 125:11 month [4] 8:19, 21; 61:3; 93:4 months [4] 18:20; 61:1; 67:4; 296:23 morning [5] 6:15; 171:13; 292:14; 301:16; 302:5 morning's [1] 7:19 Morrison [6] 3:5; 7:4, 7; 8:15; 9:8; 199:5 MORRIS [2] 1:4; 197:4 motif [1] 45:17 move [3] 110:24; 206:20; 242:6 moved [1] 19:3 moves [2] 79:21; 80:2 moving [3] 78:25; 79:9; 288:15 MPEG [1] 22:14 MR [253] 3:3, 4, 10; 6:14, 23; 7:3, 6, 17; 8:7; 9:14; 17:3; 25:25; 27:11; 47:16; 52:24; 53:2; 62:21; 65:24; 66:13, 23; 69:8; 75:10; 77:2, 24; 89:4, 10, 13, 17, 18, 20, 24; 23, 24; 90:3, 12, 17, 24; 97:13, 17; 95:4; 97:22; 98:3, 8, 15, 17, 19, 21, 22; 99:1, 3; 101:25; 104:5, 9, 12; 105:1; 106:16; 107:23; 108:18, 20; 109:9, 12, 14; 115:9; 116:19, 22, 23, 25; 117:16, 18, 19, 21; 118:2, 6, 7, 9; 124:12; 127:25; 129:9; 130:9; 135:23; 142:2, 6; 143:4; 144:25; 147:14; 149:3, 17; 151:19; 152:5; 153:23; 154:15; 156:12; 158:10; 159:10, 17, 25; 160:9; 161:2, 7; 162:5; 163:14; 164:4, 11; 166:7, 12; 170:8; 174:3; 175:24; 177:7; 178:2; 179:13, 16; 180:13; 181:2, 18, 20; 182:15; 184:19; 186:3; 187:3, 25; 188:12; 189:3, 6, 25; 190:11; 191:3, 9, 11, 20; 192:6, 9; 193:9, 21; 194:3, 10, 15; 195:6, 14, 24; 196:9; 199:3, 4, 11; 200:5; 201:13, 18, 20; 202:1; 209:6, 13,	17, 21; 210:21; 211:5, 11, 19; 212:24, 25; 213:16; 214:12, 15; 215:16; 216:19, 22; 217:8, 11, 12, 15, 18; 218:23; 219:23; 221:20; 222:2, 6; 224:4, 20; 225:18; 226:3, 5, 10; 227:6, 18; 228:18; 229:15; 231:17, 19; 232:20, 22; 239:12, 16; 241:15; 244:21; 245:12, 20; 247:8, 10, 17; 249:3; 250:14; 251:14, 16; 254:8, 11, 13; 255:16; 256:1, 8; 257:8, 24; 258:20; 259:22; 262:3, 10; 264:10; 265:21; 266:3, 11; 267:10, 13, 24; 269:2; 270:15; 273:20, 25; 278:10, 13; 280:24; 281:12, 14, 25; 282:21, 22; 286:10, 14, 16; 290:19, 24; 292:20; 293:18, 22; 294:1, 3, 4; 295:6, 9; 296:15; 297:3, 21; 301:4, 11, 21; 302:4 Mr [269] 4:3; 6:15; 7:8, 22; 8:12; 9:6, 24; 10:13; 17:10; 26:1; 27:19; 47:18; 53:5; 62:23; 66:3, 19; 67:1, 23; 68:14; 69:13; 75:13; 77:7; 78:1; 89:4; 90:5, 15, 20, 22; 91:3, 24; 93:6; 95:9; 99:7; 101:12; 102:6, 8, 14, 17; 103:18; 104:9, 19; 105:19; 107:14; 108:3, 22; 109:19; 110:4; 115:14; 117:1, 17, 22; 118:11; 124:15; 128:3; 129:21; 130:10; 136:1; 140:25; 142:12, 14; 143:1, 9; 145:16; 146:19; 147:16, 18; 149:7, 23; 151:21; 152:8; 154:3, 18; 155:17; 156:17; 158:14; 159:11, 20; 160:3, 14, 21; 161:4, 8, 9; 162:10; 163:21; 164:6, 7, 18; 166:14; 169:15; 170:13; 171:2; 172:25; 173:4; 174:1, 9, 17; 176:7; 177:13; 178:6, 16; 179:24; 180:17; 181:4, 24; 182:10, 20; 184:23; 186:12; 187:6, 23; 188:6, 14, 15; 189:19; 190:4, 13, 15; 191:16; 192:15; 193:13, 24; 194:6, 12, 17; 195:8, 9, 12, 18; 196:3; 199:19; 200:6; 202:3, 18; 204:17, 20; 207:8; 208:15; 209:4, 11, 19; 210:1, 13; 211:1, 7, 12; 212:1; 213:2, 21; 214:16; 216:2, 25; 217:2, 21; 218:25; 219:6; 220:1; 221:25; 222:5, 6, 11; 224:4, 8, 15, 23; 225:20; 226:7, 11; 227:14, 23; 228:23; 229:20; 231:22; 233:1; 239:14, 21; 241:19; 244:25; 245:14, 22; 247:13, 22; 249:5, 14; 250:16; 251:9, 18; 254:18, 22; 255:9, 20; 256:2, 13; 257:13; 258:9, 22; 259:1, 25; 262:13; 264:15; 265:24; 268:8, 15; 267:14, 19; 268:10; 269:8; 270:25; 273:22; 274:5, 6; 278:14, 20; 281:2, 3, 16; 282:2; 283:1; 287:3; 290:19, 22; 291:3; 293:1, 8, 18; 294:5; 295:2, 11; 296:2, 5, 22; 297:6,	22; 298:4; 299:18; 301:6 MS [3] 3:11; 6:25; 199:12 Mueller [3] 19:10, 11, 12 Multiformat [1] 216:13 multiformat [12] 215:12; 216:10, 12, 15; 217:4, 6; 218:1; 220:24; 223:18; 298:14, 21, 24 multimedia [7] 19:5, 16; 20:11, 17; 42:3, 7; 47:7 multipage [8] 115:10; 143:5; 146:15; 149:18; 174:4; 177:8; 264:11; 293:23 Multiple [1] 49:7 multiple [5] 28:14; 43:1; 49:20; 65:5; 168:9 multiplexer [11] 74:1, 9; 75:8, 21; 77:1, 8, 17; 80:3, 18; 161:16; 249:11 multiplexing [1] 51:2 multiplying [1] 141:2 Multithreaded [1] 28:3 multithreaded [1] 28:1 Murphy [12] 97:8, 9, 10; 101:12; 102:14, 17; 103:18; 207:8; 208:15; 209:4, 11; 210:13 Mustang [1] 20:6 MUX [3] 74:3; 76:2; 78:12 myself [5] 19:8; 59:14; 229:2; 279:7; 293:4  -- N --  N.W. [2] 3:13; 199:14 NALLY [6] 1:18; 2:2; 4:2; 6:8; 197:18; 198:2 Nally [152] 6:5, 15; 7:8, 11, 18, 22, 23; 8:8, 12, 13; 9:2; 10:13; 53:5; 91:3, 24; 95:5, 9; 96:18; 102:8; 103:14, 25; 104:9, 19; 105:19; 110:4; 115:10, 14, 15; 117:1, 22, 25; 118:13, 18; 120:13; 121:16; 122:19; 124:10, 19; 128:4; 129:25; 130:10; 132:18, 24; 133:5; 134:23; 135:3; 137:1, 8; 138:8; 139:4; 141:17; 142:12; 143:5, 9, 10, 14, 17, 18; 146:14, 19, 20; 147:16; 148:14; 149:18, 23; 151:18; 154:3; 160:10, 14, 15; 161:1, 5, 8, 25; 162:2, 6, 10; 163:8, 22, 25; 164:2, 19; 166:9, 14; 170:9, 13, 14; 171:2; 174:4, 9; 177:8, 13; 180:7; 181:6, 24, 25; 182:20; 184:24; 186:22; 188:7, 17; 189:21; 191:12, 17; 195:5; 200:6; 202:3; 203:15; 211:20; 212:1; 219:6; 221:16; 222:6; 224:4, 15; 228:11; 232:5; 233:4, 5; 234:18; 239:2, 4, 9, 11; 240:10; 241:2; 244:15, 19; 247:18, 22; 248:8, 9; 249:1; 251:9; 264:11, 15, 16; 267:14; 268:10; 274:6; 281:3; 290:19; 292:21; 293:2, 18, 23; 294:5; 295:5, 12, 14, 22; 297:22 Nally's [1] 117:17	name [20] 6:15; 7:9; 15:20; 19:11; 41:4; 43:9; 90:16, 18; 110:11; 150:15; 153:19; 159: 169:6; 170:4, 25; 172:12; 174:2, 230:5; 248:9; 293:13 named [4] 6:9; 160:22; 256:23; 294:8 names [14] 39:9; 97:4, 11, 17; 111:12; 150:18; 185:5, 8; 241:24; 243:6, 8, 9, 10; 260:19 narrative [4] 69:9; 77:3, 25; 229:16 narrow [1] 297:8 native [5] 22:3; 215:25; 216:1; 218:3; 298:18 natural [1] 106:3 nature [2] 19:13; 69:18 natures [1] 249:16 NDA [1] 180:23 needle [1] 288:15 needs [1] 104:14 negative [1] 114:3 network [1] 235:10 news [1] 233:21 Niehaus [2] 159:3; 160:21 night [3] 171:12; 296:17 Nijima [4] 191:24; 192:25; 193:3, 4 nitty [1] 84:19 Nobody [2] 67:20; 262:12 nobody [5] 74:22; 76:16; 184:11; 246:13; 262:21 nods [4] 201:9; 207:20; 227: 247:3 nomenclature [1] 203:20 non [1] 195:23 noncompressed [1] 23:17 nonreal [1] 22:16 Nordic [18] 111:18; 177:2, 4, 16, 21; 182:8; 194:11, 12, 13; 297:11; 298:11, 23; 299:6, 10, 13, 19; 300:7, 22 Normal [1] 121:3 normal [2] 120:21; 121:1 normally [1] 267:21 Norsworthy [4] 172:22; 183:5; 204:12, 14 Norsworthy's [1] 204:17 NOTARY [1] 302:20 note [13] 24:5; 108:3; 160:18, 24; 165:3; 183:6; 184:24; 264:23; 265:8; 286:11, 12; 295:2; 296:22 notebooks [1] 192:13 notice [3] 7:18; 8:2; 143:16 noticed [1] 13:7 notwithstanding [1] 262:1 November [8] 1:20; 2:6; 115:20; 186:22; 189:21; 197:20; 198:6; 201:15 Number [16] 115:11; 182:17; 230:21; 237:14; 243:1; 247:19; 263:4, 13, 16, 18; 264:5; 268:11, 14, 15; 292:21, 22 number [31] 15:23; 16:5, 9; 40:5; 52:2; 85:19, 20, 21; 112:24,
-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	--------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

114:22; 140:20, 22; 146:15; 153:25; 162:19; 165:3; 166:16, 17, 20; 177:9, 24; 178:5; 188:8; 213:7; 231:21; 232:4; 235:18, 24, 25; 256:5, 24 numbered [4] 2:5; 198:5; 212:10; 214:25 Numbers [2] 264:12; 293:24 numbers [13] 8:9; 111:13; 143:6; 149:19; 166:13; 170:10; 174:5; 212:12; 226:17; 237:19, 20; 243:10; 260:18	18; 244:11; 268:21; 269:13; 283:7 Office [1] 253:4 office [21] 229:11; 238:17; 240:4; 247:6; 251:10; 253:18, 23; 254:1; 255:13; 257:6; 258:10; 259:9, 13, 15, 18, 23; 260:3, 7; 263:7; 265:19; 266:1 officer [4] 229:22; 230:6; 241:10; 254:16 offset [2] 280:19, 21 Oh [19] 20:21; 48:10; 72:6; 93:21; 129:4; 206:17; 212:17; 215:1; 222:3; 233:2; 237:21; 253:12; 255:5; 270:2; 286:15, 18; 290:16; 295:10, 11 oh [2] 206:17 oil [3] 11:12, 16, 21 Okay [213] 8:25; 9:3; 14:11; 15:8; 24:12; 34:12; 37:10, 12; 41:23; 47:1; 48:7; 51:23; 52:5; 54:24; 55:5, 17; 58:23; 64:16; 85:2; 87:10, 14, 24; 89:1; 93:5; 98:7, 13; 102:4, 10, 14; 105:5; 110:7; 111:16, 24; 116:4, 9; 119:24; 120:24; 122:21; 124:18; 128:8; 129:8, 21; 130:7, 14; 131:18, 23; 132:13, 17; 136:19, 20, 24; 137:5, 22; 138:15; 143:13, 16; 146:23; 149:5; 151:1, 4; 152:15; 158:4; 159:14; 161:13, 24; 163:7; 164:16; 169:24; 170:15; 179:15; 180:16; 188:11; 192:5; 193:17; 200:23; 202:19; 203:11; 204:5; 206:23; 207:16; 208:8, 20; 209:12, 16; 210:12; 211:19; 212:21; 213:6, 9, 23; 214:20; 215:4, 9, 21; 217:17, 18; 218:16; 219:2, 4; 220:15; 221:7, 14, 17; 222:14; 224:9; 228:8, 13; 229:3, 13, 18; 234:19, 22, 23; 235:4, 24; 236:13, 16, 19; 237:23, 25; 238:12; 239:3, 19, 21; 241:6, 7, 19; 242:3, 5, 13; 243:11, 23; 244:4, 5, 16; 246:2, 3; 247:11; 248:6, 17; 250:16; 252:19; 253:17; 254:18, 24; 256:4; 258:5; 259:4; 260:1, 8, 24; 261:19; 262:9, 20, 21; 263:3, 6, 16, 23; 264:6, 9, 17; 266:8, 25; 267:4, 24; 269:20; 270:8, 9; 271:7; 274:24; 275:9, 23, 25; 276:4, 11, 22; 277:4, 9, 17, 22; 278:8, 17, 24; 279:4, 15, 16, 19, 24; 283:3; 284:8; 286:1; 287:18, 25; 288:14; 289:8, 17; 290:4, 18; 293:20; 294:4; 297:10, 24; 300:10, 25; 302:4 okay [19] 52:14; 99:11; 107:9; 129:4; 134:17; 179:11; 189:9; 204:9; 212:17; 219:17; 223:8; 226:20; 236:13; 241:6; 242:5; 286:18; 290:4, 11 old [3] 43:19; 110:19; 202:9 On-screen [3] 30:6; 126:25;	127:19 on-screen [41] 30:1, 4, 10, 17, 19; 32:11; 36:13; 125:17, 20; 126:6, 23; 127:4, 17; 128:7; 129:2, 12; 130:1; 131:2, 25; 132:6, 16, 19; 139:16, 20; 140:17; 149:2, 9; 152:7; 157:11, 20; 158:1, 8, 16; 159:15, 23; 216:6, 13, 17; 268:21; 269:13; 283:6 one-bit [1] 279:16 ones [5] 43:12; 56:19; 115:1; 208:11; 285:8 ongoing [1] 65:3 operate [3] 29:15; 75:16, 21 operating [7] 50:17, 19; 80:23, 25; 81:5; 158:6; 291:21 operation [16] 32:17, 20, 21; 34:25; 35:5; 79:2, 4, 6; 123:8, 17, 18, 19; 152:9; 288:22, 25; 289:11 operational [1] 279:9 operations [5] 120:15; 121:11; 122:19, 20; 123:24 opinion [6] 24:24; 27:18; 216:23; 217:1; 223:20; 298:22 opportunity [1] 112:21 opposed [3] 72:4; 195:22; 244:18 option [1] 138:19 optional [1] 157:11 options [3] 185:10, 14, 15 ORAL [2] 1:16; 197:16 order [12] 29:15; 47:25; 63:15; 78:10, 11; 81:3; 120:13; 128:11; 179:14; 180:25; 181:15; 219:22 ordinary [3] 280:14, 18, 25 organization [1] 216:3 organized [1] 27:10 original [5] 112:6; 123:21; 143:12; 289:12, 20 ourselves [1] 256:21 outpace [1] 63:22 output [13] 38:24, 25; 39:3; 50:9; 54:15; 80:3; 249:11; 278:5, 19; 279:14, 16; 289:24; 290:7 outputs [3] 77:8, 17, 18 outputting [2] 121:12; 123:23 outside [4] 77:16; 79:5; 133:9; 153:5 overall [1] 301:1 overlay [31] 75:12; 81:12; 106:14; 107:12; 124:21; 133:6; 135:8, 10, 17, 20; 136:5, 8, 16, 22; 137:10, 12, 16, 18, 19, 23; 138:10, 12, 14; 144:18; 145:10; 148:22; 239:18; 243:15; 249:13; 290:12; 292:8 overlayed [1] 42:25 overload [1] 279:23 overnight [1] 290:20 overview [1] 193:16	P.C. [2] 3:12; 199:13 p.m. [1] 198:6 pack [2] 159:4, 21 package [2] 45:23; 150:5 PackJR [5] 132:22; 158:21, 23; 160:17; 161:15 Page [31] 4:3, 6, 7, 8, 9, 10, 11, 12, 13, 14, 15, 16, 17, 18, 19, 20, 21; 5:17, 18, 19, 20, 21; 213:6; 235:3; 239:5, 18, 19; 249:22; 251:23; 266:24; 283:2 page [29] 103:23; 108:12; 124:19; 129:24; 137:8; 145:5; 148:20, 23; 152:2; 157:16, 23; 175:19; 176:9, 13; 184:24; 193:5, 16; 195:4; 214:20; 235:9, 20, 21; 236:23; 237:22; 247:5; 253:13; 268:12; 288:20; 292:22 Pages [1] 212:23 pages [5] 115:2; 212:9, 20; 234:16 paid [4] 8:4; 10:3, 13; 289:10 painting [1] 286:8 pair [1] 57:21 pan [1] 30:25 panel [6] 177:5, 22; 178:7; 293:14; 296:10; 297:1 panels [1] 296:6 paper [2] 62:16; 232:8 Paragraph [13] 236:23; 240:20, 25; 241:1, 4, 6, 7; 242:7, 12; 246:16; 247:5, 10; 248:16 paragraph [9] 133:3, 5; 137:17; 158:19; 241:8, 13; 242:11; 247:8; 267:2 Paragraphs [1] 263:22 parallel [3] 46:5; 177:6; 297:4 Pardon [2] 173:20; 254:21 paren [1] 185:1 Part [2] 153:8; 277:11 part [54] 10:10; 14:23; 21:10; 37:1, 25; 39:13; 45:5; 51:21; 59:10; 64:5; 72:1; 82:24; 84:16; 88:8, 17, 23; 90:11, 23; 91:7, 11, 25; 92:10; 93:20; 94:4; 100:24; 101:6; 108:13; 111:20; 115:23; 116:1; 127:19; 176:24; 184:2; 190:3; 227:16; 228:3, 23; 239:24; 243:24, 25; 245:19; 246:1, 3, 6, 11, 12, 14; 259:10; 276:10; 277:20, 22; 284:24; 287:12 parts [11] 39:7, 10; 63:2; 72:13, 16; 99:15; 113:13; 141:6; 178:7; 252:11, 15 party [2] 13:6; 189:9 Pass [2] 289:24; 290:6 pass [3] 81:3; 84:3; 255:7 passage [2] 227:17, 24 passed [3] 34:20; 81:8, 14 passing [2] 80:4; 125:13 passport [2] 202:5, 7 paste [1] 156:14 pasted [1] 156:19 Patent [2] 95:6; 253:4 patent [134] 15:7, 22; 16:11;
------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

-- P --

P-MUX [2] 278:6, 7

45:6; 95:1, 11, 13, 14, 21; 96:2, 4, 5, 11, 13, 14, 17, 18, 21; 97:1, 24; 98:5, 10; 103:6, 9, 13; 104:10; 105:3, 20; 106:18; 109:6, 7, 8, 22; 130:12; 160:11, 16, 19, 25; 162:1, 24; 203:23, 24; 204:3, 6, 205:23, 25; 206:15, 18, 21, 24, 25; 207:5, 19; 208:1; 210:20; 211:4, 18, 23; 212:6; 213:4; 214:6; 218:22; 221:16, 18; 222:1; 223:18; 224:5, 18; 226:16; 228:9; 229:9, 11; 235:17, 19; 237:16, 17; 238:17; 240:3; 244:17, 19, 22; 245:18; 247:5; 251:10; 252:12, 16; 253:10, 12, 15, 18, 21, 23; 254:1, 16; 255:13, 14; 256:9, 14, 23, 24; 257:6, 15; 258:10, 12; 259:4, 10, 17; 260:3, 7; 261:24, 25; 263:11; 264:22; 265:13, 18, 19; 266:1, 9; 267:7, 281:17, 23; 282:17, 20; 293:9, 11; 294:7, 8, 19; 295:3; 301:7	274:11 physical [3] 30:10; 131:21; 148:7 physically [4] 36:1, 22; 61:5; 133:22 physics [1] 10:25 pick [4] 76:16; 132:9, 10, 11 picked [2] 188:15; 299:23 picture [3] 25:4; 286:6, 7 piece [6] 24:15; 100:14; 129:23; 152:14; 246:4; 272:5 pin [3] 200:24; 202:20; 209:1 ping [1] 33:9 pinpoint [3] 58:18, 25; 88:5 pinpoints [1] 279:18 pipe [2] 120:21; 249:10 pipeline [63] 50:7; 63:9; 78:15, 23; 79:1, 9; 80:2; 82:2, 25; 95:12, 19; 120:17; 121:12; 123:22; 124:3; 133:8, 11, 16, 19; 134:3; 135:22; 144:17; 161:19, 21; 162:3; 219:11, 14, 22; 220:3, 9, 12, 16, 24, 25; 221:1, 12, 19; 222:1, 4, 12, 19, 23, 25; 223:4; 244:8, 10; 245:2, 5, 7; 249:23; 250:13; 285:5, 8; 286:24; 287:16, 17; 288:5, 6; 289:25; 290:3, 7, 11 pipelined [1] 36:24 pipelines [5] 50:16; 82:20; 137:13; 245:1; 250:9 pipes [1] 250:24 pitch [3] 131:15, 16; 280:23 Pixel [20] 43:25; 44:2, 5, 10, 13; 110:19; 141:6; 159:1; 171:22, 23; 172:18, 21, 23; 173:1, 2; 189:14; 235:11; 264:25; 294:8 pixel [18] 34:2, 5, 16; 73:1, 2; 77:23; 86:19; 88:6; 127:9; 128:24; 132:10; 159:8, 21; 220:17; 222:16; 223:11; 284:1, 2 Pixels [4] 34:11; 37:23; 51:8; 86:18 pixels [8] 122:25; 128:25; 132:10; 134:9; 140:20; 159:4; 287:15, 21 place [16] 32:10; 33:19, 21; 60:9; 96:7; 103:17; 123:18; 132:18, 25; 147:2; 151:15, 24; 171:22; 190:9; 200:21; 209:2 placed [2] 33:17; 57:24 places [1] 250:10 plain [1] 269:9 planned [1] 291:13 Plano [5] 117:13; 174:22; 194:25; 195:13, 19 plans [2] 94:4; 217:13 play [5] 23:23; 24:2; 57:11, 12; 150:17 Playback [4] 23:5; 25:16, 17; 136:14 playback [21] 23:5; 24:2, 20; 53:15, 19; 57:8, 12; 113:4; 124:20, 24, 25; 136:14; 150:24, 25; 151:3, 5; 157:10, 15; 185:7, 21; 189:11 played [4] 22:15; 24:3; 167:14	Please [1] 217:11 please [7] 6:7; 13:14; 174:24; 215:6; 217:23; 297:22 point [26] 37:16; 45:20, 23; 47:4; 74:2; 99:14; 119:17; 129:16; 146:4; 148:3; 150:21; 151:18; 156:1; 162:23; 163:9; 165:21; 195:25; 203:22; 204:15; 214:15; 251:24; 279:12; 283:20, 24; 284:5 pointed [1] 105:23 pointer [4] 30:16, 18; 128:8, 15 pointers [1] 30:14 pointing [2] 278:11; 295:17 political [1] 190:5 politics [1] 188:10 pong [1] 33:9 pop [2] 34:1, 2 popped [1] 31:11 Poroky [2] 169:25; 170:1 port [60] 39:22; 45:13, 25; 57:2, 3, 6, 10, 15; 58:9; 63:4; 70:25; 73:5; 78:3; 84:24, 25; 87:2, 3, 6, 9, 15; 119:4, 5, 7, 9, 10, 14, 19; 122:25; 123:9; 125:3; 131:7; 136:16, 18; 227:12, 13; 240:14, 18, 22; 270:20; 271:2, 4, 5, 7, 10, 17; 274:23; 276:2, 12, 14; 277:19, 20; 278:23; 279:10; 280:3; 281:5 portable [3] 46:14; 111:14, 16 portables [3] 168:1, 4, 7 ported [1] 119:4 portion [18] 14:24; 30:7; 33:2; 48:1; 81:17; 108:5; 127:23; 132:25; 139:20; 157:20; 158:2, 8, 17; 159:16, 23; 214:21; 216:7; 226:23 portions [4] 17:7; 161:18; 225:10; 248:25 ports [6] 63:3; 83:24; 84:4; 87:10; 88:11, 12 position [9] 77:6; 80:19; 98:23; 131:14; 279:17, 19; 290:1, 9 positions [3] 55:19; 81:2, 7 positive [1] 141:21 possession [2] 231:12; 247:6 potpourri [2] 155:9, 23 practical [1] 86:4 practice [6] 30:22; 184:2, 7, 21; 223:14, 15 preceded [3] 58:3; 111:8; 112:11 precompressed [1] 22:13 precursor [1] 141:6 predetermined [1] 76:8 prefetch [1] 63:18 preliminary [8] 71:17; 213:24; 214:1, 3, 11, 13, 18; 248:18 prepare [1] 25:21 prepared [4] 49:21; 155:18; 236:11; 238:2 presence [1] 171:20 PRESENT [1] 3:17 Present [1] 199:19	present [8] 50:23; 166:20; 167:22; 168:14, 20; 187:19; 192:25; 285:23 presentation [16] 153:4, 20; 166:2, 4, 5, 15; 173:7; 174:12; 176:22; 177:15; 179:7; 180:20, 24; 181:5, 6; 182:2 presentations [11] 61:22; 62:5, 12; 166:17, 21; 167:20; 180:22; 182:9; 187:15; 189:22; 191:6 presented [9] 71:23; 114:2; 143:12; 166:18, 19; 167:18; 207:14; 244:7; 267:2 presenting [1] 283:15 presents [1] 71:21 press [6] 99:17, 18; 100:5, 17, 19; 192:9 Pretty [3] 118:1; 184:7; 207:19 pretty [13] 16:10; 30:21; 37:5; 39:20; 56:10; 69:13; 118:14; 141:21; 142:25; 164:8; 172:14; 180:12; 220:22 prevents [1] 85:10 previewing [1] 299:9 previously [5] 32:11; 33:3; 96:8; 118:18; 120:1 Primary [2] 126:8, 9 primary [15] 28:10, 12; 126:4, 7, 10, 13, 14; 131:8, 9; 148:10, 21; 149:1, 8; 204:23; 214:6 print [2] 253:21; 282:8 prior [15] 8:23; 71:16; 115:19; 156:25; 213:11; 226:4; 245:20; 246:9; 253:4, 19; 254:1; 255:2, 257:5; 258:11, 17 priorities [1] 184:25 Private [1] 238:4 privilege [5] 89:14, 25; 90:4; 98:18; 99:2 privileged [11] 9:16; 90:13, 25; 98:25; 109:11; 209:8; 213:18; 257:25; 262:4; 266:12; 296:20 pro [1] 41:7 problem [5] 65:4; 114:8; 238:23; 251:8; 288:9 problems [2] 210:4; 296:10 Procedure [1] 2:13 proceeded [1] 203:7 proceeding [1] 7:19 proceedings [2] 249:6; 258:23 process [21] 16:11; 23:3; 24:10; 50:5; 54:20; 69:24; 126:19, 20; 163:10; 204:7; 205:15; 206:16; 207:19; 209:24; 234:4, 13, 15; 261:3; 294:13, 14; 297:2 processed [3] 49:20; 50:11; 270:23 processes [1] 244:13 processing [14] 17:11, 14, 17; 49:17; 50:23; 63:9; 219:11; 220:10; 244:20, 24; 245:11; 285:8; 297:17; 300:3 processor [33] 17:15; 18:8; 19:16; 24:22; 29:8, 11; 32:1, 3; 35:2, 21; 36:6, 11; 39:15, 17;
---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

44:16, 22, 23; 51:19; 52:22; 54:18; 56:14; 65:14, 20; 125:1, 5, 10; 131:2; 136:15; 246:3; 275:14; 300:17 processors [1] 39:23 produce [3] 29:5; 162:18; 201:14 produced [10] 2:2; 61:15; 72:1, 5; 115:23; 162:16; 165:6; 198:2; 208:11, 13 Product [1] 243:6 product [56] 15:16; 21:17, 19; 40:23; 46:12; 56:9; 90:14; 91:1, 21, 22; 97:25; 98:12, 16; 130:5, 15; 140:19; 154:7, 9, 12; 155:6; 156:24; 163:6; 173:13; 175:23; 177:16, 19, 21; 181:8, 9; 182:8; 184:11, 17, 22; 193:7, 20; 194:2, 7, 18; 227:21; 242:25; 243:4; 244:18; 247:7, 15; 248:8, 12, 20; 297:7, 14, 17; 298:11, 14, 23; 299:4, 13; 300:22 PRODUCTS [2] 1:10; 197:10 products [18] 42:23; 46:11; 48:21; 97:20; 101:24; 111:4, 8; 130:13; 177:2, 5; 181:16; 185:6; 290:8; 245:15, 16; 299:9, 19 Professionally [1] 68:16 program [8] 18:20; 55:13, 14; 142:19, 20; 156:2; 170:21; 276:2 programmer [1] 138:20 programmers [2] 34:19; 138:25 programs [1] 111:12 progress [1] 133:15 project [8] 19:14; 41:24; 44:12, 17; 142:15; 178:12; 183:14; 205:13 projects [2] 13:22; 18:22 promoted [1] 72:12 promoting [2] 46:11; 163:6 proof [1] 189:5 proper [1] 99:5 proposal [28] 15:5; 71:21, 23; 112:21; 113:18, 19; 114:2, 3, 6, 12, 17, 19; 115:17, 19; 116:12, 17, 21; 117:23; 118:5, 17; 124:6, 10; 143:12, 14; 144:2; 205:17; 239:25 proposals [1] 204:8 propose [1] 301:14 proposed [5] 70:10; 164:16; 292:2, 4, 6 proprietary [1] 37:6 prosecuting [1] 257:3 prosecution [3] 96:20; 98:20; 259:10 prospect [1] 154:12 prospective [1] 109:24 protected [1] 179:18 protections [1] 47:25 protective [2] 47:25; 179:14 prototype [1] 165:5 prototypes [2] 163:11; 165:7 provide [3] 109:5; 161:10; 188:16	provided [7] 26:21; 29:3; 56:23; 107:21; 109:17; 207:11, 12 provides [1] 224:11 PUBLIC [1] 302:20 public [2] 17:7; 230:1 publishes [1] 16:19 pull [4] 46:12; 79:19; 223:22; 287:23 pulled [1] 78:6 pulling [1] 287:21 pulls [1] 78:6 punch [1] 202:12 punches [1] 35:1 purchase [1] 181:15 purpose [4] 40:13, 15; 205:22; 288:5 purposes [1] 233:5 pursue [1] 42:7 puts [3] 71:21; 155:8; 219:17 putting [7] 41:1; 45:9; 55:7; 125:2, 5; 150:4; 286:5 Pyke [1] 152:22  -- Q -- quarter [1] 229:8 que [1] 112:16 question [53] 9:19; 10:12; 21:12; 32:23; 33:23; 34:9; 41:4; 66:20; 91:2; 104:20; 106:18; 109:21; 123:21; 128:5; 135:25; 136:7, 21; 147:18, 21; 149:6, 7; 151:22; 158:4, 5; 159:12; 170:15; 179:8; 193:23, 24; 209:23; 214:16; 218:24; 221:24; 224:21; 230:4; 233:3; 242:8, 13, 14; 243:4; 251:17; 257:12; 258:6, 15, 25; 260:12; 265:23; 266:16; 267:13; 276:19; 282:11; 283:11; 290:23 questions [7] 13:12; 92:1; 128:4; 299:22; 300:8, 14, 16 quick [1] 252:4 quit [2] 260:12 quotation [1] 193:6 quote [3] 125:17; 195:3; 246:16  -- R -- radar [2] 100:18; 101:10 raised [2] 100:17; 101:19 RAM [12] 39:20; 73:18, 19, 22, 23; 82:9, 14, 22; 86:6, 7, 8; 87:12 RAMDAC [3] 244:3; 245:25; 246:2 ran [5] 18:25; 26:19; 119:3; 227:11, 12 range [6] 34:11; 77:10; 128:11; 145:22; 146:7; 272:9 ranges [1] 275:5 raster [24] 38:24, 25; 55:8; 77:9, 15; 80:11; 86:5; 87:5; 119:22; 120:18, 19, 20, 22; 121:1, 23; 122:8; 128:25; 130:21; 135:19; 282:10; 284:25; 289:25; 290:4, 8 Rastered [1] 283:14 rastered [12] 30:8; 33:14; 38:13; 70:25; 87:23; 120:16; 127:1, 23; 283:7, 12; 284:19; 286:12 Rastering [2] 287:13; 288:13 rastering [35] 38:4; 55:9; 87:9; 120:15; 121:8; 122:11, 12, 13; 123:18; 132:8, 9; 133:8, 11, 16; 135:4, 15; 220:16; 223:8; 282:6; 283:19; 284:10, 25; 285:11, 16; 286:2, 3, 4, 8, 22; 287:4, 12, 14; 288:11, 13, 16 rasterization [1] 119:6 rate [3] 10:6; 286:6 read [22] 66:17; 83:3; 149:6; 183:10; 191:25; 215:5; 225:3; 227:23; 248:16; 249:4; 252:3; 261:6, 13; 267:1; 269:7, 25; 273:4; 286:19; 291:19; 295:25; 296:1 reading [5] 150:20; 151:17; 221:5; 287:1; 292:9 reads [10] 133:6; 151:14, 23; 182:25; 184:25; 224:11; 238:2; 274:12; 283:4; 285:7 real [12] 22:25; 23:9, 24; 45:19; 135:12, 13; 136:18; 175:5; 228:25; 233:3; 252:3, 4 real-time [6] 271:3, 14; 274:14, 23; 276:12; 277:19 reason [9] 85:24; 94:16; 134:7; 139:15; 225:2, 4; 234:23; 284:13; 292:1 reasonably [3] 281:6, 20; 282:12 reasons [2] 91:10; 179:6 recall [135] 8:16; 9:4; 12:4; 13:6, 22; 15:22, 25; 16:22; 18:21; 19:20; 23:23; 24:1; 25:23; 26:15; 28:3, 23; 29:2, 19; 30:12; 31:1, 21, 23; 39:8, 16; 41:23; 43:5; 53:11, 16; 57:23; 59:7; 60:8; 62:7, 11, 15, 17; 64:22; 71:7; 75:3; 81:19; 92:24; 93:16, 17; 94:24; 95:23; 97:6, 11, 17; 100:7, 16; 104:21; 109:20; 113:18; 141:5, 15; 143:18; 148:17; 150:2, 11, 17; 152:3; 155:20; 162:14, 17; 163:2; 165:4, 11, 18; 166:15, 16; 167:17; 168:25; 169:18; 170:22; 173:16; 180:17; 182:2; 184:13; 186:25; 187:11; 192:18, 19, 24; 194:21; 200:10, 12, 14; 202:21; 203:19; 205:12, 21; 206:5, 6, 8, 14; 207:4, 14, 25; 208:5, 20; 210:12, 16; 211:16; 213:10, 19; 214:9, 19; 229:7; 230:10; 231:1; 233:10, 24; 234:6, 20, 21; 257:2, 21; 260:5, 15; 261:22; 263:8, 17, 24; 264:1; 266:9; 270:9, 11; 289:1; 291:21; 292:5; 293:6, 11; 294:10; 295:21, 23; 297:6 receive [3] 52:18; 259:9; 260:2 received [6] 259:20; 268:20; 269:17, 19; 270:21; 295:21 receives [1] 280:2 receiving [4] 270:20; 271:2;	293:6; 295:23 recently [2] 88:19, 20 recess [5] 66:14; 104:18; 142:11; 181:21; 268:7 recognize [2] 235:10, 15 recollection [12] 56:4; 72:14; 161:24; 183:8; 185:5; 186:5; 192:16; 236:10; 248:12; 265:12; 267:6, 15 reconsider [1] 91:16 reconstructed [2] 34:14, 21 record [15] 6:3, 21; 7:10; 17:4; 66:16; 104:17; 142:10; 165:1; 166:12; 181:23; 196:12; 200:3; 268:6, 9; 302:7 recorded [1] 200:24 records [1] 116:2 recover [1] 23:7 rectangle [2] 132:20; 134:10 redesigned [2] 141:11; 242:24 redo [1] 265:3 redundant [1] 217:3 refer [3] 84:20; 157:18; 244:14 reference [8] 24:6; 34:17; 100:1; 113:21; 148:9; 241:13; 266:2; 289:6 references [1] 262:2 referring [6] 108:19; 212:12; 224:24; 247:14; 249:2; 265:15 refers [2] 125:6; 274:17 reflect [2] 17:4; 187:15 reflection [1] 81:20 refresh [10] 183:7; 185:4; 186:4; 192:16; 237:4; 248:11; 265:11; 266:22; 267:5, 16 refreshed [1] 86:11 refreshes [2] 236:10; 267:14 regarding [1] 151:20 Regency [2] 2:9; 198:9 register [20] 30:9; 75:6, 12, 18, 19; 81:12; 82:16; 105:9; 127:8, 13; 129:14, 15, 16, 17, 19; 130:17; 131:4; 145:24 registers [11] 80:13; 82:14, 17, 21; 83:4; 106:20; 131:12, 13; 132:8; 276:2 regularly [1] 10:23 Reimbursed [1] 201:11 reimbursed [1] 201:7 Reinhart [2] 110:10; 172:17 rejected [2] 71:24; 259:17 rejection [2] 259:20, 24 relate [7] 148:5; 176:10; 183:12; 237:12; 241:8; 246:19; 261:10 related [11] 9:22; 48:5, 13; 91:20; 157:25; 172:8, 15; 228:19; 254:25; 258:7; 293:14 relates [5] 28:23; 177:20; 220:19; 237:16; 295:3 relating [5] 22:19; 81:18; 181:15; 256:10, 12 relation [1] 125:22 relationship [4] 89:5, 9; 90:1; 155:4 relative [3] 18:22; 46:6; 299:3
------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

relevant [6] 24:8; 53:1; 201:16; 253:5; 257:5, 17	resolution [4] 139:13; 140:4, 23; 224:11	181:11; 185:3; 196:8; 200:22; 201:6; 203:16; 218:4; 224:8;	139:24
reluctant [1] 292:1	resort [1] 138:4	228:4, 7; 240:11; 243:3; 251:3;	saying [20] 84:12, 15; 88:3;
remainder [3] 176:9; 227:1; 239:8	respect [29] 23:21; 34:23; 41:9; 51:24; 57:14; 75:23; 76:25;	253:11; 270:17; 275:3; 276:18;	107:15; 108:9; 126:21; 130:5;
remains [1] 24:22	80:16; 89:6; 90:22; 99:25; 101:7;	278:24; 291:1; 294:25; 298:7;	156:21, 22; 171:16; 185:13;
remarkably [1] 160:25	106:15; 120:1; 121:16; 125:21;	299:11; 301:6	223:16; 227:15; 246:16; 267:17;
Remember [8] 29:7; 69:21;	146:12; 150:20; 155:4; 184:14;	right [42] 9:2; 16:5; 25:22; 33:4;	271:12; 272:4; 273:14; 276:1;
79:13; 105:11; 119:3; 120:16;	186:13; 200:8; 205:16; 22; 213:4;	37:17; 48:18; 20; 56:14; 60:17;	288:2
121:24; 287:19	243:24; 244:19, 21; 260:14	19; 71:6; 76:18; 91:6; 96:12;	scaling [3] 63:7; 105:13; 107:6
remember [78] 19:25; 20:3;	respective [2] 84:4; 225:16	102:22; 104:25; 111:10; 138:5;	scan [2] 290:1, 8
30:14; 31:12; 33:4; 39:22; 40:2;	responded [2] 26:16; 195:3	139:21; 141:3; 143:19; 144:13;	scanned [1] 252:4
43:13; 47:10; 51:14; 53:12; 56:7;	RESPONDENT [2] 3:15; 199:17	152:10; 183:16; 193:12; 203:10;	Schafer [17] 46:3; 67:23;
9; 60:11; 62:9; 64:17; 18; 68:11;	Respondent [4] 2:3; 6:5, 18;	215:3; 216:25; 220:23; 232:12;	141:24; 142:1, 14, 18; 143:1;
74:16; 75:2, 3; 78:10; 83:4;	Respondent's [1] 96:9	237:18; 246:15; 247:25; 260:17;	150:2; 155:17; 160:21; 163:17;
87:22; 93:3; 94:19, 21; 97:14;	response [5] 8:2; 103:1; 141:17;	263:1; 267:22; 277:1; 280:2, 4,	164:7; 165:13; 174:1; 182:24;
100:20; 101:8, 17; 110:11;	21; 233:20	17; 286:11; 292:6	264:24; 293:4
111:12; 114:6; 115:1; 130:16;	responsibility [2] 14:25; 59:13	rights [1] 103:6	Schafer's [2] 156:2; 162:12
136:14; 138:9, 19; 144:4, 13;	responsible [1] 156:2	rigorously [1] 257:10	schedule [3] 171:9, 11, 14
150:16; 166:18, 22; 167:8, 10,	rest [3] 55:16; 68:5; 288:7	risk [1] 253:9	scheme [15] 33:9, 11; 60:5;
11, 19; 169:8; 170:4, 22; 172:12,	Resterop [3] 97:13, 15; 99:19	risked [1] 66:6	65:2; 66:5; 69:25; 70:10; 77:5;
14; 178:5; 191:1; 192:11, 14, 20;	restrict [1] 128:3	Roach [2] 3:17; 199:19	88:14; 107:8; 273:24; 276:23, 24;
200:19; 203:3, 4; 230:5, 12;	result [1] 20:12	Robert [6] 1:18; 2:1; 4:2; 6:8;	283:19; 296:13
234:14, 25; 236:20; 242:1; 243:9,	resulted [4] 45:6; 124:7; 237:17;	197:18; 198:2	schemes [4] 54:15, 16; 55:7;
10; 255:3, 9; 262:18; 266:6, 18;	261:25	Robert [4] 6:4; 7:11, 23; 234:17	62:13
267:17; 288:12; 291:24; 299:1	results [2] 102:20, 22	rolled [2] 141:25; 142:18	Sciences [1] 11:17
removed [1] 238:7	resyncing [2] 287:7, 8	Room [2] 2:10; 198:11	scope [1] 183:19
renegades [1] 186:8	retrace [1] 86:12	room [1] 61:9	Scott [1] 153:16
renewed [1] 202:8	retracing [1] 86:15	roughly [3] 140:5, 10; 246:24	screen [25] 29:22, 24; 32:6;
rep [3] 169:14, 18; 193:2	retrieval [1] 38:6	Rourke [2] 92:23; 93:6	36:3, 9, 10; 37:23; 38:4; 100:18;
Repeat [6] 78:16; 135:24;	retrieve [1] 135:3	routine [3] 26:8, 15; 99:23	101:10; 126:9, 17; 128:13, 14;
147:21; 158:4; 159:19; 193:22	retrieved [3] 219:12; 220:11;	row [23] 38:9, 22; 39:2, 4; 85:12,	135:13; 158:13, 20; 219:18;
Repeat [1] 154:16	285:10	13, 14, 15; 86:10, 16, 17; 87:4,	220:17; 244:13; 279:19; 282:9;
Repeats [1] 38:16	retrieves [1] 79:13	19; 118:25; 121:24; 122:2, 4, 5,	286:7
report [2] 20:12; 102:20	retrieving [3] 228:17; 283:5;	10, 24; 123:6	search [13] 99:24; 101:24;
Reporter [2] 2:7; 198:8	285:11	rows [3] 85:19, 20, 21	102:8, 9, 11, 13, 18, 21; 191:1;
reporter [12] 6:7, 19; 7:18; 8:8;	reveal [10] 9:17; 97:23; 98:4, 11;	RTV [3] 22:6, 7; 27:13	256:9, 14, 19; 259:4
66:17; 95:5; 104:14; 110:5;	102:3; 209:9; 210:23; 213:17;	RUFFIN [2] 3:10; 199:11	searching [2] 251:11, 18
149:18; 153:24; 174:4; 207:23	258:2; 262:7	Ruffin [1] 6:16	second [25] 18:19; 114:1, 6, 9,
represent [5] 7:2; 89:12; 144:20;	review [15] 91:25; 103:13;	rule [1] 105:25	17; 115:16; 124:19; 133:5;
211:21; 253:18	104:10; 145:1; 209:5, 20, 24;	Rules [1] 2:12	143:14; 184:24; 191:23; 208:21;
representation [1] 238:16	232:5; 236:7; 256:22; 260:20, 22;	run [8] 26:8; 43:19; 61:19; 99:4;	210:13; 215:10, 11; 220:9, 15,
representative [1] 266:1	261:5; 289:2; 290:20	171:15; 244:7; 268:1	25; 224:10; 237:1; 271:2, 5, 10;
representing [6] 7:4, 7; 89:7, 10;	reviewed [12] 92:3, 4; 95:21;	running [4] 140:12, 14; 148:23;	289:17, 21
285:9	209:25; 211:9, 17; 213:3; 236:12;	289:23	secondary [1] 28:17
reprime [1] 134:16	252:1; 260:19; 261:19; 264:3	Russ [2] 7:3; 90:19	section [4] 124:20; 212:10;
request [4] 65:18; 193:6; 195:3;	reviewing [6] 213:10; 257:2;	RUSSELL [2] 3:3; 199:3	268:13; 278:21
201:21	260:15; 263:8, 24; 264:1		sections [1] 32:25
requested [1] 66:18	revise [1] 210:1	-- S --	secure [2] 47:24; 229:9
requesting [2] 65:15, 17	revisit [1] 292:13	S-3 [2] 13:4, 8	security [1] 179:6
requests [1] 38:6	RGB [9] 18:9; 22:7, 8; 24:21;	sacrifice [1] 138:23	seek [1] 162:24
require [3] 139:4; 152:25; 216:2	27:14, 15; 57:13; 151:25; 243:18	safe [1] 187:22	sees [1] 131:2
required [3] 29:5; 70:2; 120:4	Richardson [4] 3:12; 6:17; 7:2;	safely [2] 115:18; 117:23	segment [2] 15:12; 75:1
requirement [5] 86:23; 87:1;	199:13	sale [3] 56:1; 57:24; 72:12	segments [1] 56:17
154:6; 158:18; 273:23	Right [73] 10:2; 17:22; 23:20;	sales [2] 169:14; 170:6	seismic [1] 11:21
requirements [4] 28:25; 53:6;	29:18; 30:20; 33:15, 22; 34:4, 8;	salesperson [1] 192:22	select [2] 185:15; 278:19
120:19; 150:8	35:6, 23; 38:5, 14; 38:15, 19;	sampled [1] 165:10	selected [3] 268:20; 269:12;
requires [5] 146:8; 190:2;	39:5; 40:11, 24; 48:15; 55:20;	samples [2] 165:8, 12	274:13
258:10; 271:21, 24	76:23; 78:21; 80:10; 83:9; 87:17;	sampling [1] 167:12	selective [1] 269:5
research [1] 19:5	89:23; 94:6; 98:21; 100:6, 11, 15;	San [2] 3:7; 199:7	selectively [9] 219:12; 220:10;
researched [1] 96:2	101:22; 113:14; 119:20; 122:15,	sat [1] 210:8	228:17; 268:19; 269:1; 270:10;
reserve [1] 173:25	17; 124:5; 125:19; 132:2; 137:14;	satisfied [2] 81:2, 7	273:3, 10; 283:5
reserved [2] 272:19, 20	139:2; 140:2, 6, 21, 24; 143:3;	Saturday [1] 171:12	selector [3] 133:6; 136:23;
resident [3] 127:4; 275:10, 12	148:12; 164:22; 173:9; 178:15;	Save [2] 113:15, 16	137:10
resides [2] 151:15, 23		save [4] 133:21; 134:24; 138:20;	selects [2] 76:4, 5
			self-employed [1] 174:19
			sell [5] 40:14, 22; 154:23; 181:1;

189:16	significance [2] 179:3; 265:3	284:8	standard [10] 30:22; 41:6; 58:22;
selling [1] 99:19	signing [1] 263:17	source [7] 23:1; 25:9; 200:23;	59:11; 66:6; 87:12; 100:10, 13;
send [5] 23:16; 25:18; 65:14,	Silicone [1] 60:25	265:6; 275:1, 2; 277:10	184:7; 207:19
18; 215:25	similarities [2] 63:1; 88:10	space [12] 18:9; 24:9, 20; 27:14;	standards [1] 61:10
sense [7] 14:9; 82:5; 113:8;	simple [2] 145:24; 220:23	57:4; 63:6; 78:14, 18; 128:10;	standing [3] 217:9; 269:2, 3
125:4; 223:16; 244:24; 291:13	simultaneous [1] 49:25	283:17; 300:13, 16	stands [1] 150:17
sentence [10] 131:24; 133:5;	simultaneously [5] 217:5; 218:6,	spaces [5] 268:21; 269:13;	start [15] 55:14; 63:20; 69:5;
151:14, 22; 183:17; 224:10;	8, 13, 14	270:22; 274:14; 283:7	77:5; 110:5; 121:6; 122:22;
225:3; 237:1; 238:1; 269:10	single [23] 49:10; 83:18, 22;	speak [3] 124:13; 130:2; 207:24	131:5, 14; 134:15; 152:15; 163:5;
separate [2] 21:21; 50:15	84:22; 87:4, 7, 19; 88:6; 119:4,	speaking [2] 41:8; 121:16	284:16; 301:22
September [3] 113:22, 23, 25	14, 18; 123:6; 140:16; 224:12,	speaks [6] 158:11; 161:3;	started [8] 26:13; 45:3; 46:13;
sequence [1] 38:18	17; 225:11, 25; 227:4; 237:3;	175:25; 193:10; 195:15; 266:4	58:5; 150:3, 4; 159:2; 205:12
sequencer [9] 120:5, 6, 9, 12;	249:15, 19; 292:22	spec [10] 46:23; 87:8; 128:2;	starting [3] 55:19; 283:20, 24
121:9; 250:21, 22; 251:1; 287:19	single-page [1] 170:9	147:22; 148:10; 154:6; 155:23;	starts [3] 60:15; 131:22; 268:18
Serial [1] 237:14	sinks [1] 70:22	194:14; 230:15; 289:5	State [4] 2:8, 11; 198:8, 11
serial [7] 39:21; 119:5, 7;	sir [4] 77:19; 147:19; 160:8;	special [1] 240:17	state [2] 48:16; 248:16
122:25; 123:1; 227:13	182:1	specific [3] 129:10; 205:21;	stated [1] 238:20
serializer [5] 219:21; 220:3;	sit [1] 136:5	228:2	Statement [2] 252:22; 264:8
222:23; 223:3, 12	sits [1] 78:4	Specifically [1] 263:19	statement [5] 48:18; 247:12;
serializing [1] 219:16	six [1] 18:20	specifically [2] 200:12; 233:10	251:19; 272:12; 274:3
serially [1] 122:6	size [3] 53:9; 128:17; 225:16	Specification [2] 238:5; 242:21	STATES [2] 1:1; 197:1
series [3] 111:10; 147:11, 23	sizes [2] 157:19; 160:6	specification [27] 45:15; 71:4, 8;	States [3] 95:5; 160:10; 256:24
serves [2] 49:23; 96:23	skill [3] 280:14, 18, 25	83:8; 126:1; 147:10; 149:15;	states [1] 157:25
service [1] 11:12	skipped [1] 213:1	162:15; 163:7; 183:14; 186:21;	status [1] 183:1
SETH [2] 3:4; 199:4	slide [2] 62:16; 175:20	203:14; 205:8; 229:24; 230:14;	stay [2] 42:4; 87:18
Seth [1] 7:6	slides [1] 178:23	247:7; 248:19; 271:8, 11, 24;	steadily [3] 287:21; 288:15, 19
sets [4] 52:7; 56:23; 225:12	sloppy [1] 171:4	272:14, 17, 18, 24; 275:4;	steady [3] 121:8; 286:5, 6
setting [1] 24:4	slowly [1] 186:14	281:24; 289:21	stolen [1] 251:20
shadow [1] 178:24	smack [1] 207:23	Specifications [2] 238:5; 248:22	stop [3] 133:21; 134:13, 23
shakes [2] 205:14; 208:22	so-called [3] 144:2; 240:22;	specifications [7] 71:18; 162:13;	stops [2] 133:16, 18
shape [1] 155:4	296:13	165:2; 272:21; 289:3, 10, 12	storage [6] 78:4, 18; 125:13;
share [1] 300:1	software [20] 26:19, 20; 29:15,	specifics [2] 130:12, 15	157:11; 159:15, 22
Shared [2] 110:3, 10	16; 41:16; 45:16, 20, 24; 130:18,	specified [3] 38:12; 80:13;	store [16] 18:10; 22:2; 63:14,
shared [3] 232:13; 297:19;	25; 131:19; 147:11, 23; 148:2;	271:18	18, 19; 64:13, 14; 151:25;
360:2	150:4, 8; 152:12, 24; 275:8, 9	specify [2] 83:6; 106:22	157:20; 159:7, 8; 215:24; 219:2;
Sharon [1] 198:7	sold [12] 15:15; 45:21, 22;	specs [1] 230:19	298:5; 299:13
sheet [1] 264:23	55:21, 25; 56:5; 57:21; 72:7, 8,	speculate [14] 86:1; 176:2;	Stored [1] 35:12
shelved [1] 296:1	15, 17; 108:23	180:14; 183:24; 194:16; 206:7;	stored [26] 22:5, 7; 25:24; 29:7,
shifted [2] 73:11; 204:23	Somebody [1] 94:17	222:8, 9; 224:6; 231:13; 239:13;	8, 10, 12, 17, 20; 35:10, 11, 24;
shifting [1] 81:19	somebody [11] 10:9; 103:6;	241:15; 293:19; 297:22	36:2, 8; 52:4; 64:7; 125:17;
shipped [3] 157:6, 7, 9	112:11; 116:4; 150:6, 7; 174:13;	Speculating [1] 85:23	131:25; 132:6; 158:7, 16; 224:16;
shop [1] 168:21	175:10; 232:1; 234:1; 262:10	speculation [15] 62:22; 66:24;	244:11; 298:1, 17, 19
Shorthand [2] 2:7; 198:7	Someone [1] 61:25	104:6; 164:5, 12; 178:3; 188:1;	storing [7] 57:3; 135:13; 217:5;
show [13] 20:18; 28:9; 36:18;	someone [7] 9:8; 10:19; 24:14;	190:3; 195:7; 231:14, 18; 239:15,	218:6, 9, 17; 219:1
40:4; 46:24; 62:16; 108:16;	33:25; 201:4; 207:11; 221:6	17; 245:13; 282:1	straightforward [1] 280:21
165:22; 184:3; 229:21; 230:11,	someplace [2] 58:16; 125:12	speed [3] 52:25; 278:10; 279:7	strange [1] 76:9
12; 232:19	somewhat [3] 62:25; 90:1;	spell [1] 170:1	stream [14] 50:4, 6; 76:4, 6, 22;
showing [4] 31:7; 80:8; 161:17;	178:21	spelled [1] 272:11	121:8; 285:12, 18, 20, 24;
248:19	somewhere [3] 28:7; 64:21;	spelling [1] 239:25	286:22; 287:6, 10; 288:2
shows [4] 180:1; 244:19;	131:4	spend [1] 223:25	streaming [1] 288:19
249:10; 279:9	son's [1] 47:16	Spitfire [1] 88:17	streams [6] 49:20; 50:3; 52:19;
shrinkwrap [1] 45:24	sooner [1] 229:9	split [4] 84:21, 23; 225:6, 7	74:1, 5, 6
shut [1] 18:23	sophisticated [1] 120:9	Splitters [1] 21:2	Street [4] 3:6, 13; 199:6, 14
Siann [14] 96:18; 97:1; 103:13;	Sorry [1] 154:8	splitting [4] 218:20; 228:25;	street [1] 179:21
109:6; 221:15, 18, 25; 223:18;	sorry [16] 73:21; 109:7; 110:4;	287:18; 298:16	stricken [1] 282:19
224:18; 265:13, 19; 266:2, 9;	111:5; 117:11; 135:17; 151:8;	spouse [1] 232:2	strictly [2] 21:16; 53:21
267:7	169:5; 192:20; 215:2; 241:2;	spring [4] 20:20; 203:7; 230:9;	Strike [1] 219:8
sideways [1] 103:25	247:15; 266:15; 277:15; 286:19;	266:10	strike [7] 31:22; 51:11; 80:24;
signal [3] 22:10, 12; 137:11	295:11	stack [3] 256:17, 22; 261:23	81:24; 90:8; 111:6; 271:23
signals [4] 70:23; 277:12, 14;	Sort [3] 44:24; 161:20; 299:23	staff [1] 170:19	strikes [1] 105:6
284:20	sort [8] 18:21; 48:4, 21; 55:1;	stage [1] 222:15	stringed [1] 23:14
Signature [1] 302:14	72:25; 168:10; 178:23; 239:1	stages [1] 27:24	struck [1] 290:17
signature [3] 235:5; 263:20;	sounded [2] 94:17; 141:20	stake [4] 65:22; 66:1, 9, 21	structure [5] 30:1; 51:13; 140:1;
266:7	Sounds [1] 175:5	stakes [1] 100:12	220:6; 273:18
signed [2] 8:14; 236:12	sounds [4] 60:19; 70:10; 87:14;	stand [2] 45:12; 269:11	stuff [14] 29:23; 93:10; 99:16,



19; 100:19; 116:7; 128:20; 143:24; 163:4, 5; 179:20; 236:21; 254:15; 266:20 subcommittee [8] 59:20; 60:14; 61:23; 62:5; 67:2, 17; 68:9, 12 subject [3] 9:12; 237:13; 238:4 subjects [1] 292:13 submit [1] 201:4 submitted [1] 204:8 subordinate [1] 59:12 SUBSCRIBED [1] 302:16 subset [1] 279:13 subsystem [2] 246:4, 7 successful [1] 66:4 Suffice [1] 148:4 suffice [3] 170:5; 234:11; 255:11 sufficient [1] 279:10 sufficiently [2] 163:12; 240:9 suggest [5] 50:15; 163:10; 224:15; 291:11; 292:11 suggesting [2] 249:12; 257:22 suggests [2] 225:5; 250:23 suitable [2] 49:3; 56:24 suite [1] 153:8 summarize [1] 154:11 summarizes [1] 158:20 summer [2] 203:22, 25 Sunday [1] 171:12 super [1] 169:9 superduper [1] 41:11 Supermac [3] 99:21, 22; 101:5 supply [1] 153:3 support [6] 49:11; 53:10; 57:7; 58:8; 183:19; 194:5 supposed [1] 255:12 surface [18] 28:10, 11, 17; 26:4, 7, 8, 11, 13, 14; 131:8, 9; 148:6, 10, 22; 149:1, 8, 11 surfaces [1] 148:23 surprise [1] 187:21 surrender [1] 202:10 suspected [2] 90:20; 255:17 suspend [1] 123:8 suspicious [2] 250:15, 17 swapping [1] 33:2 swear [2] 6:7; 260:19 switch [2] 54:20; 135:15 SWORN [1] 302:16 sworn [1] 6:11 sympathize [1] 292:18 sync [3] 274:23; 277:11; 287:6 synch [4] 122:1, 3; 132:15 synchronization [1] 79:14 synchronize [2] 52:18; 63:15 synchs [5] 87:23; 121:4, 5, 25; 132:15 synchs [3] 219:19; 274:21, 24 system [45] 14:13, 15; 15:2; 19:23, 25; 22:5; 23:23; 24:2, 23; 27:20, 22, 25; 28:9; 29:4; 31:9; 36:18; 41:3, 9; 42:18; 43:4, 14; 44:8; 46:1; 74:18; 76:20; 78:20; 79:25; 82:24; 83:7, 16; 95:20; 101:6; 109:1; 120:13; 132:18, 24; 134:22; 135:2; 136:4; 137:1;	138:8; 139:4; 144:12; 287:25; 288:7 systems [8] 42:17, 20; 43:19; 46:17; 50:19; 52:3; 83:10; 221:9  -- T --  Tab [19] 213:21, 23; 229:4; 234:16; 241:5; 252:18; 253:24; 256:5; 260:15; 263:4, 13, 16, 18; 264:5; 265:15, 17; 268:11; 282:21, 23 tab [1] 277:2 table [10] 37:19; 39:21; 73:9, 23; 25; 83:1, 2; 210:7; 219:17; 222:21 tables [1] 81:18 tagged [1] 296:13 takes [4] 86:9; 130:19; 139:8; 219:14 talented [1] 44:6 talk [12] 61:10, 25; 91:23; 93:5; 101:15; 108:1, 4; 128:11; 232:23; 234:13; 272:25; 290:24 talked [10] 9:20; 100:8; 106:21; 175:14; 187:1; 262:16, 18, 24; 294:18; 295:1 Talking [2] 130:14; 132:7 talking [30] 22:11; 37:6; 44:22; 52:9; 68:22; 93:17; 95:14; 129:10; 130:12; 133:4; 135:11; 136:9; 138:11, 12; 200:7; 212:22; 217:12; 224:5; 225:22; 230:14; 17; 269:6, 16; 270:1, 18, 19; 277:8; 286:25; 287:2; 293:12 talks [2] 150:25; 151:2 tangential [1] 90:1 Tannenbaum [2] 254:22; 255:9 Tape [3] 181:23; 200:3; 268:9 tape [3] 26:3; 104:14; 267:25 Tarabaum [3] 169:4, 6, 7 target [3] 173:13, 16, 24 targeted [1] 139:5 tautology [1] 273:16 team [10] 14:22, 23; 19:4, 7; 67:10; 91:8, 12; 163:18; 183:3; 299:18 technical [5] 62:1; 210:4; 229:22; 230:6; 241:10 technically [1] 287:10 technique [1] 38:10 techniques [1] 42:25 technologically [1] 145:18 Technologies [3] 2:4; 6:18; 198:4 technology [6] 40:18; 41:19; 46:15; 75:4; 207:17; 228:1 teleconferencing [3] 49:1, 4; 114:16 telling [4] 52:22; 69:23; 131:21; 272:8 tells [9] 121:5; 128:16; 131:5; 16; 132:9; 163:19; 192:22; 216:13; 267:25 ten [1] 296:23	term [17] 17:11; 24:5; 25:24; 38:20; 86:13; 126:3; 215:10, 15, 20, 22; 216:10, 15, 22; 218:8; 283:12; 284:19; 285:16 terminology [1] 273:13 terms [6] 24:8; 28:24; 39:17; 70:9; 217:13; 268:18 testified [1] 6:11 testimony [18] 24:19; 84:12; 106:2; 107:25; 122:7; 127:2; 164:7; 185:23; 215:18; 222:24; 226:4; 227:19; 240:7; 245:21; 267:20; 281:4; 282:1; 291:15 Texas [7] 2:8, 11; 7:12, 16; 171:25; 198:8, 11 text [2] 49:13; 66:18 TGU19680 [1] 248:14 Thank [1] 284:2 theirs [1] 29:8 theory [1] 223:14 There's [8] 131:11; 162:21; 165:8; 191:20; 245:2; 284:11; 285:25; 295:7 there's [20] 55:6, 8; 78:8; 83:4; 86:2; 108:4; 119:15; 124:20; 127:12; 129:5, 18; 130:7; 155:14; 162:20; 190:5; 226:16; 228:8; 238:1; 250:24; 284:3 thereafter [1] 116:1 they'll [1] 202:12 They're [6] 40:21; 173:1; 244:13; 279:4; 288:3, 4 they're [10] 40:22; 208:12; 212:14; 269:6; 270:1, 4; 272:4; 288:2; 293:14 they've [2] 154:14; 249:15 thick [1] 211:21 thinking [4] 112:10; 137:21; 245:10; 289:8 Third [1] 290:6 third [5] 80:15; 103:23; 208:23; 215:11; 268:12 Thirteen [1] 282:24 Thirteenth [2] 3:13; 199:14 Thirty-five [2] 226:14, 20 thoughts [1] 112:12 thousands [1] 40:11 Three [2] 12:14; 221:23 three [11] 19:8; 103:24; 104:3; 22; 106:13; 107:17, 21; 108:21; 109:7; 181:23; 208:19 threw [1] 256:20 throw [1] 131:6 TI [2] 12:18; 31:7 ticket [1] 301:25 tickets [1] 201:2 Times [1] 141:4 times [9] 13:1, 18; 67:22; 140:22; 141:2; 172:13; 203:4; 260:9; 280:22 timing [2] 65:19; 203:9 tired [4] 286:20; 289:22; 291:1, 13 title [2] 15:25; 204:17 toaster [2] 43:15, 16	toggle [1] 137:12 token [1] 108:23 Tokyo [1] 196:4 tomorrow [2] 301:18; 302:5 Toshiba [7] 166:25; 167:18, 23; 168:3; 169:19; 182:4; 187:16 total [1] 128:9 tough [1] 136:1 town [1] 172:24 track [1] 179:25 tracked [1] 235:23 TRADE [2] 1:1; 197:1 trade [1] 229:21 trademark [1] 41:5 trademarks [1] 20:5 transaction [1] 117:5 transcript [2] 17:5; 48:2 transfer [3] 34:15, 16; 196:4 transferred [4] 35:14, 17; 119:8; 196:1 transparency [2] 134:9; 138:22 transparent [6] 76:3, 4, 5, 7; 80:8; 118:25 travel [4] 61:5; 201:2, 14 treat [1] 243:19 treated [2] 21:23; 22:1 Trident [8] 230:8; 231:11; 233:11; 241:10; 246:20; 247:15; 251:20; 252:8 Trident's [2] 252:11, 15 tries [1] 175:9 trigger [3] 79:23, 24; 122:5 trip [4] 93:3, 25; 299:8 trips [2] 173:8, 11 trouble [3] 47:21; 48:5; 229:2 True [1] 109:4 true [20] 28:14; 64:22; 73:15; 90:21; 91:8, 9; 127:8; 135:2; 145:18; 152:4; 200:16; 219:17; 243:18, 19, 23; 245:9; 272:13; 281:20; 284:18; 298:10 Turning [6] 103:23; 175:19; 176:13; 184:23; 193:5, 15 turning [4] 124:19; 157:23; 247:4; 249:22 turns [2] 51:2; 282:18 Twenty [1] 294:2 Twenty-one [1] 294:3 two-chip [1] 19:25 two-dimensional [2] 283:17; 285:2 two-page [2] 8:8; 182:16 two-part [1] 280:5 type [5] 26:12; 32:14; 156:14; 216:8; 260:23 types [1] 218:2 typical [1] 100:22  -- U --  U.S. [8] 167:2; 168:13; 169:24; 182:4; 195:22, 23; 196:6; 253:4 ugly [2] 76:14, 22 Uh-huh [15] 53:17; 79:15; 88; 128:22; 140:18; 222:17; 237:15;
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	---------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------



238:9, 25; 242:23; 246:18; 257:19; 268:24; 285:6, 14 ultimately [6] 94:4; 124:7, 11; 203:24; 206:9; 211:7 unable [2] 192:21; 279:7 unclear [2] 13:12; 259:23 uncomfortable [1] 47:24 uncompressed [1] 299:14 understand [22] 19:17; 21:12; 33:23; 84:12; 88:2; 126:20; 128:5; 129:2; 136:9; 147:20; 188:9; 210:11; 216:10; 217:19; 226:8; 250:11; 253:8; 254:5; 255:12, 19; 258:25; 285:15 understanding [22] 22:23; 24:5; 28:22; 33:16; 156:18; 181:13; 215:14, 20; 216:20; 218:8; 219:10; 220:9; 228:16; 254:12; 14, 15; 268:17; 270:16; 271:16; 272:16; 274:16; 284:19 Understood [2] 39:12; 287:3 understood [4] 54:25; 163:20, 25; 255:23 undertaken [1] 117:20 underway [1] 206:16 unenforceable [1] 253:10 unique [1] 73:24 UNITED [2] 1:1; 197:1 United [3] 95:5; 160:10; 256:24 University [2] 11:3, 10 university [1] 11:2 unseen [1] 190:6 update [1] 33:13 upper [7] 48:11, 12; 127:9; 156:3; 189:12; 190:16, 18 useful [1] 290:21 user [1] 35:1 utilize [9] 17:13; 21:19; 49:10; 51:10, 12, 25; 297:16, 19; 298:24 utilized [3] 53:24; 83:17; 137:11 utilizing [1] 298:14	VDW1 [1] 144:9 VDW2 [1] 144:9 VEGF [1] 289:16 vendors [1] 43:6 venture [1] 175:4 verb [1] 219:1 version [4] 166:4; 185:17; 194:21; 211:8 versus [2] 53:16; 259:23 vertical [6] 64:12; 121:4, 25; 122:1, 23; 284:12 VESA [13] 59:1, 3, 4, 8, 16; 61:12, 15; 67:7, 9, 13, 24; 71:3; 240:16 VGA [12] 14:3, 18, 19, 24; 15:3; 82:5, 7, 9, 14; 83:8; 237:2; 277:10 VIDEO [2] 1:9; 197:9 Video [5] 22:12; 25:3; 42:24; 45:13; 294:9 video [289] 6:3; 17:23; 18:1, 9; 19:3, 19; 21:8, 14, 22; 22:9, 12, 20, 23, 24, 25; 23:10, 14, 16, 24; 24:2, 5, 16, 18, 22, 25; 25:6, 8, 13; 27:1, 5, 15; 31:11, 19; 32:8, 9; 33:1, 17; 34:1, 23; 35:3, 8; 36:7; 41:11; 42:24; 43:15, 16; 44:16; 45:25; 46:17; 47:7; 49:7, 20; 52:18; 53:14, 16, 19, 21; 54:7, 10; 55:9, 10; 56:20; 57:2, 3, 6, 8, 11, 14, 16; 58:9; 59:6; 60:21; 61:10; 63:4, 16, 18, 21, 24; 64:1, 9; 65:6, 7, 13; 66:15; 67:17; 72:19; 74:6, 25; 76:4, 20; 77:9, 22; 78:15, 19, 22; 79:1, 9, 20; 80:2, 4, 12; 81:3, 8, 13; 82:20; 83:22, 25; 84:3, 9, 14; 85:10, 20; 87:15; 95:11; 104:17; 105:7, 8, 15; 106:12; 107:10, 12, 13; 111:3; 112:3; 113:6; 120:22; 125:24; 127:22; 132:19, 25; 133:9, 10, 17; 134:1, 10, 24; 135:3, 4, 8, 10, 17, 19, 20, 21; 136:5, 8, 16, 17; 137:5, 13, 25; 138:7, 11; 139:16, 20; 140:1; 142:10; 144:3, 6, 17; 145:6, 7, 8, 11; 150:21, 24; 151:6, 11, 15, 23; 152:1, 7; 156:16; 157:10, 11, 15, 20, 24; 158:1, 2, 7, 16, 20; 159:7, 15, 21, 23; 161:20; 169:9; 170:20; 172:11; 176:6; 181:23; 184:8; 185:18, 20; 186:18, 19, 23; 187:2, 10, 12, 24; 188:17; 189:10, 13, 23; 190:8, 17, 19; 192:13; 196:11; 200:3; 217:5, 7; 218:6, 9, 12; 219:3; 220:10; 223:22; 224:11, 17; 227:2; 237:7; 240:22; 243:16, 20; 245:2, 5, 6, 11; 246:3, 4, 7; 249:10, 23; 250:13; 268:6, 9; 270:20, 24; 271:3; 272:1, 10, 17, 19, 21; 274:14, 20, 23; 275:2, 5; 276:1; 277:10, 19, 20; 278:22; 279:10; 280:2; 281:5; 285:4, 7, 9, 18, 20; 286:13, 21, 25; 287:1; 289:25;	290:7; 296:14; 298:1, 19; 299:14; 302:7 VIDEOGRAPHER [10] 6:2, 22; 104:16; 142:9; 181:22; 196:11; 200:2; 268:5, 8; 302:6 Videographer [2] 3:17; 199:19 videographer [1] 267:25 videographic [2] 281:7, 21 VIDEOTAPED [2] 1:15; 197:15 videotaped [1] 6:4 view [1] 119:24 Viewlogic [2] 43:8, 9 Viking [9] 111:18; 297:11, 14, 17; 298:14; 299:4, 19; 300:7, 21 violates [1] 274:3 violating [6] 103:6; 109:22; 179:12; 255:18, 21; 261:7 violation [1] 103:4 visa [3] 202:22, 24; 203:5 visit [1] 192:23 Vlad [4] 178:12, 14; 296:2; 301:2 VLSI [1] 182:25 VOLUME [2] 1:19; 197:19 Volume [2] 196:13; 302:8 vote [1] 71:22 voted [2] 71:11, 17 vouchers [2] 201:2, 15 VP [1] 204:19 VPM [5] 45:10, 12; 46:2, 9, 21 VRAM [22] 38:8, 21; 39:21; 53:8; 85:6, 7, 8, 9, 11; 86:24; 87:11; 88:15; 118:21; 119:4, 7; 122:4; 123:1; 227:9, 22; 228:3, 6, 9  -- W -- W-i-n-t-e-r [1] 82:23 wait [3] 63:19; 138:10; 201:23 waiting [5] 64:2; 122:22; 183:18; 233:13, 15 waiver [1] 209:22 walk [2] 21:25; 106:3 walked [1] 207:16 wanted [10] 85:24; 113:4, 5; 114:12; 163:20; 164:1; 184:4; 188:3; 280:24 wanting [1] 138:21 wants [2] 138:21; 184:11 WASHINGTON [2] 1:2; 197:2 Washington [2] 3:14; 199:15 waste [1] 134:11 WavePort [1] 183:19 ways [5] 50:6; 51:15; 130:8; 231:21; 232:4 We'll [8] 103:20; 104:12; 118:9; 166:8; 173:25; 254:8; 272:25; 297:3 we'll [6] 13:20; 17:6; 110:23; 259:25; 291:5; 302:5 We're [13] 6:2; 66:15; 104:16; 142:9; 179:13; 181:22; 200:2; 268:5, 8; 287:1, 18; 301:11; 302:6 we're [11] 37:6; 91:22; 136:9; 172:16; 217:12; 224:5; 254:11; 270:18, 19; 282:22, 24 we've [23] 8:13; 52:24; 95:10; 96:8, 17; 100:7; 103:14; 146:20; 154:4; 163:8; 174:10; 182:21; 212:2; 221:16; 230:19; 231:2; 239:2; 247:23; 264:16; 282:5; 289:3; 293:2; 294:6 week [1] 88:21 weekly [1] 183:1 weeks [3] 246:17, 23; 294:23 Weineger [1] 172:19 well-known [1] 281:11 weren't [3] 103:4; 153:6; 245:10 West [3] 2:9; 7:11; 198:9 What's [3] 239:20; 250:16; 274:19 what's [9] 73:4; 106:17; 218:11; 239:14; 270:4, 13; 271:16; 280:3; 291:25 whatnot [1] 291:16 whenever [7] 76:19; 77:9, 15; 125:14; 133:8, 23; 285:22 Whereas [1] 107:10 whereas [1] 65:11 whereby [1] 75:7 wherein [7] 52:3; 83:16; 125:7; 158:7; 165:5; 190:6; 273:18 who's [2] 221:6; 300:24 wide [1] 139:9 width [8] 119:3; 123:4, 20; 133:21; 134:11, 24; 138:20, 23 win [1] 70:12 Winchess [1] 114:23 Window [1] 27:17 window [66] 31:11, 19; 32:2, 8, 10; 34:1, 13, 24; 50:11; 54:21, 24, 25; 55:3, 14; 65:7; 70:1, 15, 17, 24; 74:15; 75:8; 76:24; 77:16; 79:4; 80:12, 19, 22; 81:2, 7; 105:7, 8; 106:12, 21, 23; 107:5, 7, 10; 125:23; 126:11, 12, 14; 131:6, 11, 13; 133:9, 17; 134:21, 25; 135:5; 137:6, 24; 138:1, 8; 145:7; 161:17; 187:10, 12; 274:20; 275:5; 290:2, 5, 9; 292:7 windowing [19] 46:1; 50:19; 54:15, 16; 55:6; 77:5, 12; 79:5, 24; 105:10, 13; 106:25; 107:1, 8, 12; 116:14; 222:21; 276:22, 24 Windows [16] 14:7; 15:14; 27:20, 22, 25; 28:14, 17; 82:23; 128:21; 130:22; 147:12, 24; 170:21; 172:12; 294:9 windows [16] 49:7, 11, 25, 50:2, 9; 65:6, 8; 105:16; 107:3, 13; 114:14; 134:15; 144:3, 6; 189:10; 227:2 Winegger [2] 204:14, 20 Winheck [1] 68:19 Wintel [1] 82:23 winter [1] 204:1 WITNESS [74] 4:2; 9:20; 17:8; 27:12; 66:1, 11, 15, 25; 69:10;
----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-----------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------	-------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------------

75:11; 77:4; 90:19; 98:2, 7, 13;  
 102:4; 104:7; 105:5; 106:19;  
 108:1; 109:16; 129:13; 130:14;  
 135:24; 142:4; 145:2; 149:5;  
 152:6; 154:16; 156:13; 158:12;  
 159:19; 160:1; 163:16; 164:13;  
 176:4; 178:4; 179:11, 15, 19;  
 180:16; 184:20; 186:6; 187:4;  
 188:2; 189:8; 190:2, 12; 191:22;  
 192:11; 193:11, 22; 194:4, 11;  
 195:16, 25; 209:12, 16; 215:21;  
 216:21; 217:14, 17; 258:5; 262:9;  
 268:3; 270:17; 278:8; 286:15, 18;  
 293:20; 295:10; 297:24; 301:17,  
 24  
 Witness [8] 201:9; 205:14;  
 207:20; 208:22; 227:3; 229:6;  
 247:3; 302:14  
 witness [19] 2:2; 6:7, 9; 89:6, 9,  
 11, 16, 19; 91:14; 97:23; 102:1;  
 191:6; 198:2; 213:17; 226:6;  
 259:22; 278:11, 15; 301:13  
 wizard [1] 141:1  
 won [2] 70:4; 100:13  
 won't [5] 31:3; 165:1, 25; 222:9;  
 293:21  
 wondering [5] 6:19; 86:2; 94:13;  
 154:19; 286:1  
 word [18] 52:21; 55:11; 218:14;  
 233:12; 268:20; 270:21, 23, 24;  
 273:10, 14, 18; 274:9, 14;  
 289:24; 290:2, 6, 10  
 wording [4] 228:20; 236:15;  
 252:5; 267:8  
 words [25] 9:22; 22:13; 23:2;  
 26:2; 27:14, 25; 45:19; 55:7;  
 58:8; 75:11; 84:8; 87:7; 186:7;  
 219:11; 220:10; 229:1; 243:17;  
 269:6; 271:3, 13; 273:10; 283:6;  
 285:9; 289:22; 291:2  
 work [40] 18:2, 15; 20:8, 11;  
 42:3; 45:3, 9, 11; 46:11, 19, 25;  
 47:2; 85:7; 88:23; 90:14; 91:1,  
 21, 22; 97:25; 98:12, 16; 110:25;  
 131:24; 142:5, 23; 150:7; 163:13,  
 19; 164:10, 21; 165:17, 19;  
 172:17, 20; 174:16; 178:11;  
 229:24; 233:19; 264:25  
 worked [16] 9:21; 13:23; 18:18;  
 37:22; 52:8; 57:18; 97:25; 110:2;  
 117:3; 164:15; 172:18, 21, 23;  
 207:17; 208:4; 240:12  
 working [20] 46:4, 5, 13, 15;  
 94:18; 97:3, 4; 118:21; 150:3;  
 157:5; 164:24; 176:16; 203:23;  
 204:13; 232:2, 3; 293:16; 296:6,  
 25; 299:18  
 works [4] 71:20; 77:13; 130:5;  
 246:6  
 world [3] 14:7; 43:17, 18  
 worried [3] 97:21; 99:9; 261:16  
 worry [2] 119:1, 2  
 Worth [3] 2:10; 6:6; 198:10  
 worth [1] 205:19  
 Wouldn't [1] 300:15

wouldn't [9] 32:19; 67:11;  
 100:23; 106:9; 151:12; 188:25;  
 225:15; 231:20; 273:17  
 Wow [1] 231:19  
 wrapper [2] 103:20; 211:23  
 write [11] 83:3; 112:21; 201:23,  
 24; 210:14, 20; 211:6; 213:14;  
 234:25; 236:4; 282:17  
 write-up [3] 205:24; 206:2;  
 207:12  
 writing [9] 101:13; 234:21;  
 236:20; 268:19; 269:1; 270:10;  
 273:3, 9, 12  
 written [10] 33:19; 113:19;  
 205:22; 206:8; 207:12; 213:8;  
 214:21; 220:18; 270:13; 271:16  
 wrong [2] 144:12; 161:1  
 wrote [9] 113:18; 150:9; 207:5;  
 211:3; 235:1; 236:3, 11, 14;  
 291:5

---

-- X --

---

X-count [1] 280:23  
 X-position [1] 278:2  
 X-screen [1] 279:17  
 XY [7] 77:5, 10; 106:25; 107:1,  
 8; 276:22, 24

---

-- Y --

---

Y-position [2] 278:2; 280:22  
 Y-screen [1] 279:17  
 Yeah [67] 18:11, 23; 20:7; 31:6,  
 17; 32:13; 33:10; 39:19; 42:16;  
 58:18; 63:3, 13; 65:4; 72:21;  
 75:18, 22; 87:5; 101:4, 11;  
 102:16; 103:22; 104:7; 112:20;  
 128:19; 138:15; 147:21; 155:2;  
 162:20; 167:16; 173:10; 183:9;  
 201:1, 20; 202:12; 203:13; 207:2,  
 18; 210:10; 212:25; 214:24;  
 217:14; 220:7; 230:24; 233:9;  
 234:19; 235:21; 236:8, 25;  
 237:20; 242:17; 246:21; 248:3;  
 259:11; 262:25; 263:23; 266:5;  
 268:3; 269:19; 271:9; 281:18;  
 283:1; 284:24; 288:12; 291:10,  
 18; 292:17; 295:20  
 yeah [7] 75:2; 116:6; 128:23;  
 230:24; 237:21; 280:17; 295:10  
 year [13] 8:22; 11:4; 18:19, 24;  
 20:23; 28:4; 47:11; 60:9; 71:10;  
 93:3; 142:17, 18; 205:4  
 years [10] 12:3, 4, 10, 14; 55:23;  
 68:18; 91:15; 175:17; 203:10;  
 266:21  
 yell [1] 180:3  
 you'd [3] 220:2; 253:9; 294:20  
 You'll [1] 235:19  
 you'll [4] 213:7; 226:16; 234:17;  
 279:21  
 You've [7] 172:5; 225:13; 232:8;  
 282:7; 285:1; 287:19, 22  
 you've [26] 9:24; 85:13; 88:1, 22;  
 89:15; 107:1, 2; 120:19; 123:8,

13; 134:18; 136:16; 138:9; 212:3;  
 225:9, 12; 244:12; 250:20, 21;  
 260:11; 269:24; 274:7; 277:8;  
 282:15; 288:16  
 yourself [3] 169:11; 215:6; 267:2  
 YUV [4] 24:15, 18; 57:5; 159:4  
 YUV422 [1] 237:8

---

-- Z --

---

zoom [2] 51:17  
 zoomer [1] 161:15  
 zooming [4] 51:16; 63:17;  
 105:13; 107:5

**This Page is Inserted by IFW Indexing and Scanning  
Operations and is not part of the Official Record**

**BEST AVAILABLE IMAGES**

Defective images within this document are accurate representations of the original documents submitted by the applicant.

Defects in the images include but are not limited to the items checked:

- ☒ **BLACK BORDERS**
- ☒ **IMAGE CUT OFF AT TOP, BOTTOM OR SIDES**
- ☒ **FADED TEXT OR DRAWING**
- ☐ **BLURRED OR ILLEGIBLE TEXT OR DRAWING**
- ☐ **SKEWED/SLANTED IMAGES**
- ☒ **COLOR OR BLACK AND WHITE PHOTOGRAPHS**
- ☐ **GRAY SCALE DOCUMENTS**
- ☐ **LINES OR MARKS ON ORIGINAL DOCUMENT**
- ☐ **REFERENCE(S) OR EXHIBIT(S) SUBMITTED ARE POOR QUALITY**
- ☐ **OTHER:** \_\_\_\_\_

**IMAGES ARE BEST AVAILABLE COPY.**

**As rescanning these documents will not correct the image problems checked, please do not report these problems to the IFW Image Problem Mailbox.**

**This Page Blank (uspto)**